

EXHIBIT 3

Daniel Steven Elliott, M.D.

Page 1

IN THE UNITED STATES DISTRICT COURT
FOR THE SOUTHERN DISTRICT OF WEST VIRGINIA
AT CHARLESTON

IN RE: ETHICON, INC., :Master File No.
PELVIC REPAIR SYSTEM :2:12-MD-0237
PRODUCTS LIABILITY :
LITIGATION :MDL No. 2327

THIS DOCUMENT RELATES TO :JOSEPH R. GOODWIN
THE CASES LISTED BELOW :U.S. DISTRICT JUDGE

Mullins, et al. V.	2:12-cv-02952
Ethicon, Inc., et al.	
Sprout, et al. V.	2:12-cv-07924
Ethicon, Inc., et al.	
Iquinto v. Ethicon,	2:12-cv-09765
Inc., et al.	
Daniel, et al. V.	2:13-cv-02565
Ethicon, Inc., et al.	
Dillon, et al. V.	2:13-cv-02919
Ethicon, Inc., et al.	
Webb, et al. V.	2:13-cv-04517
Ethicon, Inc., et al.	
Martinez v. Ethicon,	2:13-cv-04730
Inc., et al.	
McIntyre, et al. V.	2:13-cv-07283
Ethicon, Inc., et al.	
Oxley v. Ethicon,	2:13-cv-10150
Inc., et al.	
Atkins, et al. V.	2:13-cv-11022
Ethicon, Inc., et al.	
Garcia v. Ethicon,	2:13-cv-14355
Inc., et al.	
Lowe v. Ethicon,	2:13-cv-14718
Inc., et al.	
Dameron, et al. V.	2:13-cv-14799
Ethicon, Inc., et al.	
Vanbuskirk, et al. V.	2:13-cv-16183
Ethicon, Inc., et al.	

SEPTEMBER 26, 2015
DANIEL STEVEN ELLIOTT, M.D.

Daniel Steven Elliott, M.D.

Page 2	Page 4
<p>1 CAPTION CONTINUED:</p> <p>2 Mullens, et al. V. 2:13-cv-16564</p> <p>3 Ethicon, Inc., et al. 2:13-cv-17012</p> <p>4 Shears, et al. V. 2:13-cv-18479</p> <p>5 Ethicon, Inc., et al. 2:13-cv-22606</p> <p>6 Barr, et al. V. 2:13-cv-24393</p> <p>7 Ethicon, Inc., et al. 2:13-cv-29260</p> <p>8 Cook v. Ethicon, Inc. 2:13-cv-29918</p> <p>9 Stevens v. Ethicon, Inc., et al. 2:13-cv-31818</p> <p>10 Harmon v. Ethicon, Inc. 2:13-cv-31881</p> <p>11 Snodgrass v. Ethicon, Inc., et al. 2:13-cv-32627</p> <p>12 Miller v. Ethicon, Inc. 2:14-cv-09195</p> <p>13 Matney, et al. V. 2:14-cv-09517</p> <p>14 Ethicon, Inc., et al. 2:14-cv-10640</p> <p>15 Humbert v. Ethicon, Inc., et al. 2:14-cv-12756</p> <p>16 Gillum, et al. V. 2:14-cv-13023</p> <p>17 Ethicon, Inc., et al. 2:14-cv-14664</p> <p>18 Tomblin v. Ethicon, Inc., et al. 2:14-cv-16061</p> <p>19 Schepleng v. Ethicon, Inc., et al. 2:14-cv-19110</p> <p>20 Tyler, et al. V. 2:14-cv-22079</p> <p>21 Kelly, et al. V. 2:14-cv-24911</p> <p>22 Ethicon, Inc., et al. 2:14-cv-24999</p> <p>23 Cheshire, et al. V. 2:14-cv-28620</p> <p>24 Ethicon, Inc., et al. 2:14-cv-29624</p> <p>25 Bennett, et al., V. Ethicon, Inc., et al.</p>	<p>1 A P P E A R A N C E S</p> <p>2 For the Plaintiffs:</p> <p>3 WAGSTAFF & CARTMELL, LLP</p> <p>4 4740 Grand Avenue</p> <p>5 Suite 300</p> <p>6 Kansas City, Missouri 64112</p> <p>7 816.701.1100</p> <p>8 tcartmell@wccllp.com</p> <p>9 BY: THOMAS P. CARTMELL</p> <p>10 For the Defendants:</p> <p>11 BUTLER SNOW, LLP</p> <p>12 500 Office Center Drive</p> <p>13 Suite 400</p> <p>14 Fort Washington, Pennsylvania 19034</p> <p>15 267.513.1885</p> <p>16 Burt.Snell@butlersnow.com</p> <p>17 BY: NILS B. (BURT) SNELL</p> <p>18 and</p> <p>19 BUTLER SNOW, LLP</p> <p>20 1020 Highland Colony Parkway</p> <p>21 Suite 1400</p> <p>22 Ridgeland, Mississippi 39157</p> <p>23 601.948.5711</p> <p>24 paul.rosenblatt@butlersnow.com</p> <p>25 BY: PAUL S. ROSENBLATT</p>
Page 3	Page 5
<p>1 DEPOSITION OF DANIEL STEVEN ELLIOTT, M.D.,</p> <p>2 produced, sworn and examined on behalf of the</p> <p>3 Defendants, pursuant to Notice and Agreement, on</p> <p>4 Saturday, the 26th day of September, 2015, between the</p> <p>5 hours of 9:41 a.m. and 5:54 p.m. of that day, at the</p> <p>6 law offices of Wagstaff & Cartmell, LLP, 4740 Grand</p> <p>7 Avenue, in the City of Kansas City, in the County of</p> <p>8 Jackson, and the State of Missouri, before me,</p> <p>9 NAOLA C. VAUGHN, CCR No. 1052, CRR, RPR, a Certified</p> <p>10 Court Reporter, within and for the States of Missouri</p> <p>11 and Kansas.</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p>1 I N D E X</p> <p>2 WITNESS: DANIEL STEVEN ELLIOTT, M.D.</p> <p>3 Examination by Mr. Snell 9, 326</p> <p>4 Examination by Mr. Cartmell 323</p> <p>5</p> <p>6 EXHIBITS</p> <p>7 NUMBER DESCRIPTION PAGE</p> <p>8 Exhibit 1 - Amended notice of Deposition of 9</p> <p>9 Daniel Elliott, M.D.</p> <p>10 Exhibit 2 - Updated publication list 11</p> <p>11 Exhibit 3 - International Journal of Urology 11</p> <p>12 Long-term quality of life outcomes</p> <p>13 and retreatment rates after robotic</p> <p>14 sacrocolpopexy</p> <p>15 Exhibit 4 - The Cochrane Collaboration 54</p> <p>16 Mid-urethral sling operations for</p> <p>17 stress urinary incontinence in women</p> <p>18 Exhibit 5 - Oxford Level of Evidence Pyramid 60</p> <p>19 Exhibit 6 - International Urogynecology Journal 66</p> <p>20 Long-Term (10-15 years) Follow-up</p> <p>21 after Burch Colposuspension for</p> <p>22 Urinary Stress Incontinence</p> <p>23 Exhibit 7 - Cochrane Database Syst Rev 2015 89</p> <p>24 (Dr. Elliott's copy)</p> <p>25</p>

2 (Pages 2 to 5)

Daniel Steven Elliott, M.D.

Page 6			Page 8		
1	EXHIBITS (Continued)		1	EXHIBITS (Continued)	
2	NUMBER	PAGE	2	NUMBER	PAGE
3	Exhibit 8 - American Urological Association	116	3	Exhibit 22 - In-Depth Nano-Investigation of	250
4	AUA Position Statement on the Use		4	Vaginal Mesh and Tape Fiber	
5	of Vaginal Mesh for The Surgical		5	Explants in Women	
6	Treatment of Stress Urinary		6	Exhibit 23 - FDA article on Medical Devices,	264
7	Incontinence		7	Considerations about Surgical Mesh	
8	Exhibit 9 - IUGA Position Statement on	134	8	for SUI	
9	Mid-Urethral Slings for Stress		9	Exhibit 24 - Journal of Urology, Time Dependent	289
10	Urinary Incontinence		10	Variations in Biomechanical Properties	
11	Exhibit 10 - AUGS/SUFU Position Statement on	139	11	of Cadaveric Fascia, Porcine Dermis,	
12	Mesh Midurethral Slings for Stress		12	Porcine Small Intestine submucosa,	
13	Urinary Incontinence		13	polypropylene mesh and autologous	
14	Exhibit 11 - AUGS Position Statement on	146	14	fascia in the rabbit model:	
15	Restriction of Surgical Options		15	implications for sling surgery	
16	for Pelvic Floor Disorders		16	Exhibit 25 - Urology, Time-Dependent Variations	293
17	Exhibit 12 - EAU Guidelines on Surgical	151	17	in inflammation and scar formation	
18	Treatment of Urinary Incontinence		18	of six different pubovaginal sling	
19	Exhibit 13 - EAU Guidelines on Urinary	154	19	materials in the rabbit model	
20	Incontinence		20		
21	Exhibit 14 - ICS Fact Sheets	155	21		
22	Exhibit 15 - NICE Urinary Incontinence: The	160	22		
23	management of urinary incontinence		23		
24	in women		24		
25	Exhibit 16 - Mayo Clinic web site information	171	25		

Page 7			Page 9		
1	EXHIBITS (Continued)		1	(Exhibit 1 marked.)	
2	NUMBER	PAGE	2	DANIEL STEVEN ELLIOTT, M.D.,	
3	Exhibit 17 - International Urogynecology Journal	178	3	a witness, being first duly sworn, testified as	
4	Long-term Results of the Tension-Free		4	follows:	
5	Vaginal Tape (TVT) Procedure for		5	EXAMINATION	
6	Surgical Treatment of Female Stress		6	BY MR. SNELL:	
7	Urinary Incontinence		7	Q. Good morning, Dr. Elliott?	
8	Exhibit 18 - Neurourology and Urodynamics	185	8	A. Good morning.	
9	Minimally Invasive Synthetic		9	Q. Can you state your full name for the	
10	Suburethral Sling Operations for		10	record, please.	
11	Stress Urinary Incontinence in Women		11	A. Daniel Steven Elliott, S-t-e-v-e-n.	
12	A Short Version Cochrane Review		12	Q. You and I know each other. I'll just	
13	Exhibit 19 - American Journal of Obstetrics and	204	13	forewarn you. I'm developing a cold and my voice	
14	Gynecology, A histologic and		14	is a little deep and cracky. And I have some	
15	immunohistochemical analysis of		15	water and I'll try to drink so it my speech is not	
16	defective vaginal healing after		16	impeded, but if you don't understand something I	
17	continence taping procedures:		17	say today, please tell me and I'll try to pose a	
18	A prospective case-controlled pilot		18	question that makes coherent sense to you.	
19	study		19	Is that okay?	
20	Exhibit 20 - Hernia Repair Sequelae	213	20	A. That is perfectly fine. Thank you.	
21	Exhibit 21 - International Urogynecologic	242	21	Q. All right. I've given you Exhibit 1,	
22	Journal, polypropylene as a		22	which is the notice for your deposition.	
23	reinforcement in pelvic surgery		23	Have you seen that document before?	
24	is not inert: Comparative		24	A. Yes.	
25	analysis of 100 explants		25	Q. All right. And what, if anything, did	

3 (Pages 6 to 9)

Daniel Steven Elliott, M.D.

Page 10	Page 12
<p>1 you do to comply with the request that you bring</p> <p>2 documents and materials that is attached to that</p> <p>3 request?</p> <p>4 A. I provided up-to-date -- well, you</p> <p>5 have already a copy of my CV. I have -- which I</p> <p>6 can provide to you. There are five new things.</p> <p>7 Just as far as what has been published, which I</p> <p>8 can provide to you there. That's a -- and then</p> <p>9 that is a copy of the manuscript, that number 5,</p> <p>10 because that just came out yesterday. So I didn't</p> <p>11 have that typed up.</p> <p>12 Q. Did you bring your file here today?</p> <p>13 A. The file? I'm sorry.</p> <p>14 Q. I guess, did you bring your expert</p> <p>15 file here today that would contain the documents</p> <p>16 and materials that you reviewed and are relying</p> <p>17 on?</p> <p>18 MR. CARTMELL: We can just -- for the</p> <p>19 record, we can get a thumb drive of everything</p> <p>20 that's on his reliance list, including that</p> <p>21 update. I just need to talk to Kuntz about that.</p> <p>22 I don't have the thumb drive with me today.</p> <p>23 Q. BY MR. SNELL: Do you have the thumb</p> <p>24 drive, Doctor?</p> <p>25 A. No. I don't have that, no. I have my</p>	<p>1 education committee. Minnesota Medical Society.</p> <p>2 Zumbro Valley Medical Society. Olmsted Community</p> <p>3 Medical Society. International Urogynecologic</p> <p>4 Society. Society of Urologic Prosthetic Surgeons.</p> <p>5 Society of Laparoendoscopic Surgeons. Minimally</p> <p>6 Invasive Robotic Association. Minnesota Urologic</p> <p>7 Society. European Association of Urology, which I</p> <p>8 am a member of, an international member, and then</p> <p>9 I'm also a member of the subsection of</p> <p>10 Genitourinary Reconstructive Surgeons, and also a</p> <p>11 member of the section of the Female Urology and</p> <p>12 Functional Urology. And again that's underneath</p> <p>13 the umbrella of the European Urology Association.</p> <p>14 International Urogynecologic Association.</p> <p>15 International Pelvic Pain Society.</p> <p>16 Q. In your role on the education</p> <p>17 committee for SUFU -- and that's the society of</p> <p>18 what?</p> <p>19 A. Good question. They changed the name.</p> <p>20 Society of Urodynamics and Female</p> <p>21 Urology is an acceptable -- but, again, they've</p> <p>22 actually moved around the words a bit there, but</p> <p>23 that's what it means.</p> <p>24 Q. Can I just call it SUFU?</p> <p>25 A. SUFU.</p>
Page 11	Page 13
<p>1 report. I do not have a copy of my reliance list.</p> <p>2 Q. Okay. So we'll mark as Exhibit 2 the</p> <p>3 five new studies that would go on your CV; is that</p> <p>4 correct?</p> <p>5 A. Correct. Those are my published</p> <p>6 studies, yes.</p> <p>7 (Exhibit 2 marked.)</p> <p>8 Q. BY MR. SNELL: We'll mark as Exhibit 3</p> <p>9 article number 5, which the lead author is Linder,</p> <p>10 L-i-n-d-e-r, then Chow, then Elliott. Long-term</p> <p>11 quality of life outcomes and retreatment rates</p> <p>12 after robotic sacrocolpopexy.</p> <p>13 (Exhibit 3 marked.)</p> <p>14 Q. BY MR. SNELL: To what professional</p> <p>15 societies do you currently belong to?</p> <p>16 A. That would be in my CV. Let me see if</p> <p>17 I have a copy of my CV. I might not. Oh, I do</p> <p>18 have one.</p> <p>19 Professional societies are going to be</p> <p>20 listed in the professional membership society on</p> <p>21 page 3 of 25. AMA, American Medical Association.</p> <p>22 American Association of Clinical Urologists.</p> <p>23 American Urologic Association. International</p> <p>24 Incontinent Society. Society of Urodynamics and</p> <p>25 Female Urology, which I am a member and on the</p>	<p>1 Q. Make it easier on the court reporter,</p> <p>2 too.</p> <p>3 A. SUFU is much better. I prefer that.</p> <p>4 Q. SUFU in all caps. Okay. What is your</p> <p>5 role -- strike that.</p> <p>6 What do you do in your role as being</p> <p>7 on the education committee for SUFU?</p> <p>8 A. It is a -- focusing on the education</p> <p>9 not only of the current residents of what we feel</p> <p>10 would be appropriate for training in female</p> <p>11 urology, urinary incontinence and prolapse, but</p> <p>12 also determining goals, objectives of education at</p> <p>13 meetings and lecture topics, things like that.</p> <p>14 Q. You've given testimony in the past;</p> <p>15 correct?</p> <p>16 A. Correct.</p> <p>17 Q. I've deposed you in the past; correct?</p> <p>18 A. Twice, I believe, yes.</p> <p>19 Q. So we can rely on your prior</p> <p>20 testimony. We don't have to ask you those</p> <p>21 questions again; correct?</p> <p>22 A. Well, with the understanding that</p> <p>23 sometimes things have changed, but, yeah, as far</p> <p>24 as data being out, those types of things.</p> <p>25 Q. Okay.</p>

4 (Pages 10 to 13)

Daniel Steven Elliott, M.D.

Page 14	Page 16
<p>1 A. That's a broad question, because those</p> <p>2 are depositions over two or three days -- or two</p> <p>3 days, excuse me. So I'd have to see each specific</p> <p>4 question what you're talking about.</p> <p>5 Q. Okay. As you sit here today, is there</p> <p>6 any testimony that you gave in the Bellew or Gross</p> <p>7 cases that was inaccurate or untruthful?</p> <p>8 A. No. They would all been truthful and</p> <p>9 accurate, but as the -- as data becomes available,</p> <p>10 more research being done, as I read more internal</p> <p>11 documents, certain positions may change. But</p> <p>12 there's nothing dishonest or deceitful.</p> <p>13 Q. In connection with the education</p> <p>14 committee for SUFU, you testified that one of the</p> <p>15 things that you were involved in was looking at</p> <p>16 the training that residents would need in urology,</p> <p>17 female urology?</p> <p>18 A. Looking at the goals or where we want</p> <p>19 residents to be, what criteria or surgeries,</p> <p>20 volumes, types of surgeries, testing,</p> <p>21 credentialing.</p> <p>22 Q. Okay.</p> <p>23 A. All those issues.</p> <p>24 Q. And for the EAU, can I call that the</p> <p>25 European Association of Urology?</p>	<p>1 Q. Not really.</p> <p>2 So just remind me, what section of the</p> <p>3 EAU is focused on assessing the surgical options</p> <p>4 for stress urinary incontinence?</p> <p>5 A. That would be a function of the female</p> <p>6 and functional urology.</p> <p>7 Q. Are you a member of that section?</p> <p>8 A. Correct. And I'm on the board of</p> <p>9 that, yes.</p> <p>10 Q. How long have you been on the board of</p> <p>11 that section that assesses the surgical treatment</p> <p>12 of stress incontinence?</p> <p>13 A. Since April of 2013.</p> <p>14 Q. Okay. What are your fees for your</p> <p>15 work as an expert in this matter?</p> <p>16 A. \$700 an hour.</p> <p>17 Q. And what is your fees for testimony?</p> <p>18 A. Same. \$700 an hour for everything.</p> <p>19 Q. Plus travel expenses and costs?</p> <p>20 A. Correct.</p> <p>21 Q. How many hours have you worked on the</p> <p>22 Mullins case.</p> <p>23 And when I say Mullins, this is the</p> <p>24 MDL design defect case.</p> <p>25 A. As far as specifically on patient</p>
Page 15	Page 17
<p>1 A. EAU's easy, yeah.</p> <p>2 Q. Okay. And you said you were a member</p> <p>3 of the genitourinary section?</p> <p>4 A. Yeah. The genitourinary</p> <p>5 reconstructive. So it's reconstructive surgeons,</p> <p>6 because my training is in female pelvic medicine</p> <p>7 and reconstructive surgery, which are separate and</p> <p>8 overlapping training.</p> <p>9 Q. That would include the surgical</p> <p>10 treatment of stress urinary incontinence?</p> <p>11 A. That would be the other committee.</p> <p>12 That would be the female urology and functional</p> <p>13 urology. Reconstructive would be complications,</p> <p>14 radiation damage, those types of things. Anytime</p> <p>15 you hear of reconstructive, think of fixing</p> <p>16 mistakes or problems.</p> <p>17 Q. Are you a member of the section that</p> <p>18 assesses surgical treatment options for stress</p> <p>19 urinary incontinence for the EAU?</p> <p>20 A. Well, the members of the female</p> <p>21 functional -- we're not necessarily -- unlike the</p> <p>22 SUFU, which is an education section, this is more</p> <p>23 like the research that's being done. It's not</p> <p>24 setting goals or guidelines by any means. I don't</p> <p>25 know if that answers your questions or not.</p>	<p>1 Mullins, I have not reviewed her records. As far</p> <p>2 as TVT and design, I guess I don't know</p> <p>3 specifically -- specifically on the TVT and</p> <p>4 design, it's going to be somewhat difficult to</p> <p>5 ascertain exact time, because obviously the study</p> <p>6 of Prolift factors in.</p> <p>7 But as far as I can determine, roughly</p> <p>8 60 hours have been spent as of August 31st, 2015.</p> <p>9 60 hours.</p> <p>10 Q. How many hours have you spent since</p> <p>11 September 1st on this matter?</p> <p>12 A. It's going to be difficult, because</p> <p>13 there's also travel involved in there. So I don't</p> <p>14 know if you want the total hours, because that's</p> <p>15 not also study on things. But that'd be about</p> <p>16 110 hours.</p> <p>17 Q. Do you bill \$700 an hour when you</p> <p>18 travel?</p> <p>19 A. Correct.</p> <p>20 Q. Do you issue invoices for your time</p> <p>21 spent on this matter?</p> <p>22 A. Correct.</p> <p>23 Q. Do you send those to Ben Anderson?</p> <p>24 A. Correct.</p> <p>25 Q. And would those invoices be specific</p>

5 (Pages 14 to 17)

Daniel Steven Elliott, M.D.

Page 18	Page 20
<p>1 to and reference your work in the Mullins' TVT 2 design defect case? 3 A. It will be specific to Ethicon. 4 Q. Okay. 5 A. So that's why it's difficult to 6 determine exact number of hours, and that data 7 reviewed two years ago is pertinent to now. So 8 that's why it's difficult to know the total 9 number. 10 Q. You're serving as an expert against 11 other mesh manufacturers? 12 A. Yes. Mentor ObTape. 13 Q. Any others? 14 A. There was start in the Cook Surgisis 15 mesh, but last I've heard there's no action going 16 on with that. 17 I have been deposed with Avaulta. 18 But, again, nothing has happened with that in six 19 months, and I don't know where the status of those 20 are. 21 Q. Avaulta, is that a Bard product? 22 A. Correct. 23 Q. That's a prolapse product? 24 A. Prolapse product; correct. 25 Q. Okay. Does the Mayo Clinic know that</p>	<p>1 A. The answer to that probably would be 2 no. I could be involved in the cases, but I am 3 not the one sitting behind the robot. I am the 4 one involved directing traffic as far as the 5 dissection goes. 6 Q. Okay. What surgical options do you 7 currently use for the treatment of stress urinary 8 incontinence in your patients, if any? 9 A. Autologous pubovaginal sling, 10 cadaveric pubovaginal sling, autologous obturator 11 vagina sling, and then in the past since August of 12 2013, there's been one mesh sling. So that is a 13 change from previous testimony. 14 Q. How many autologous transobturator 15 slings do you use on average each year? 16 A. Probably it's around 80 or so. That's 17 a rough -- that's a rough number. It varies from 18 time to time. But in the past two years or -- 19 yeah, two years now, I'd say 80 a year's probably 20 accurate. 21 Q. And that's the autologous 22 transobturator sling? 23 A. Correct. 24 Q. I know you published a feasibility 25 cohort study on very small sample size for the</p>
Page 19	Page 21
<p>1 you're serving as an expert for plaintiffs in the 2 mesh litigation? 3 A. No. This is all done by private time. 4 Q. I know I deposed you in two prolapse 5 cases in the past. So today I'm really focused on 6 stress urinary incontinence; all right? 7 A. Correct. 8 Q. With that said, though, let me just 9 ask you this question. 10 In the Bellew deposition you testified 11 about treatment options you used for prolapse. 12 Do you recall that, in general? 13 A. Correct. 14 Q. Have those changed as we sit here 15 today? 16 A. No. 17 Q. For Exhibit 3, the robotic 18 sacrocolpopexy cohort that you published on -- 19 A. Yes. 20 Q. -- am I correct that you're not the 21 one who runs and operates the robot? 22 A. No. Dr. Chow does that. 23 Q. Okay. Are you credentialed at Mayo 24 Clinic to run the robot for sacrocolpopexy 25 procedures?</p>	<p>1 autologous transobturator pubovaginal sling; 2 correct? 3 A. Correct. 4 Q. That was ten patients; correct? 5 A. I believe so. It was ten patients, 6 yes. 7 Q. There's a 20 percent failure rate at a 8 mean average of four months' follow-up; correct? 9 A. Yeah. That data is now -- we're 10 looking at 60 patients with one year. 11 Q. Has that data been published? 12 A. That's in the process of being 13 gathered right now. All patients are being 14 contacted. 15 Q. How many patients are going to be in 16 that cohort, you said? 17 A. 60. It's a continuation of 18 feasibility study. Looking at safety, efficacy, 19 complications, et cetera. 20 Q. Has that data been presented anywhere 21 in abstract form or oral presentation? 22 A. Yes. I'd have to go back to the CV. 23 It was presented in February of 2015 at SUFU. 24 Again, that was the initial feasibility study. 25 Q. I think my question maybe wasn't</p>

6 (Pages 18 to 21)

Daniel Steven Elliott, M.D.

Page 22	Page 24
<p>1 clear.</p> <p>2 So on this updated cohort of 60</p> <p>3 patients --</p> <p>4 A. Oh, I see.</p> <p>5 Q. -- have you presented on those data</p> <p>6 anywhere?</p> <p>7 A. No. Not in the updated, no.</p> <p>8 Q. And then the small feasibility study</p> <p>9 that you did publish on, you recall the mean</p> <p>10 follow-up time was to four months?</p> <p>11 A. It was short-term, yes.</p> <p>12 Q. What's a feasibility study?</p> <p>13 A. Feasibility is a small cohort of</p> <p>14 patients that understand that they're involved in</p> <p>15 a study to determine whether or not this is a good</p> <p>16 treatment option, where we're doing quality of</p> <p>17 life assessments prior to and afterwards and</p> <p>18 following very closely, looking at complications</p> <p>19 and efficacy with 24-hour PAD tests.</p> <p>20 Q. How many cadaver slings do you use on</p> <p>21 average each year? And if that's changed year to</p> <p>22 year, you can tell me that.</p> <p>23 A. Yeah. The numbers are so -- quite</p> <p>24 variable. So it's difficult to give you a number</p> <p>25 I would say autologous slings are probably going</p>	<p>1 their tissue. Because mostly what I'm seeing in</p> <p>2 my practice is somebody that's been operated on</p> <p>3 multiple times. I'm not seeing usually the</p> <p>4 first-time patient. So, again, there's multiple</p> <p>5 patient variables.</p> <p>6 Q. Do you have patients for whom you</p> <p>7 offer the autologous pubovaginal sling and who</p> <p>8 decline that operation?</p> <p>9 A. I suppose that could occur, but</p> <p>10 usually those individuals are declining surgery</p> <p>11 period, not declining the autologous sling. So we</p> <p>12 have to be very careful how we're phrasing that.</p> <p>13 They are not a surgical candidate or they're</p> <p>14 choosing not to undergo surgery for their</p> <p>15 treatment. They're not saying, I do not want a</p> <p>16 autologous sling.</p> <p>17 Q. Are there patients for whom you've</p> <p>18 treated that do not want a cadaveric sling?</p> <p>19 A. I have not encountered that, no.</p> <p>20 Q. Is the autologous transobturator sling</p> <p>21 the primary -- sounds like it's the primary stress</p> <p>22 urinary incontinence surgery you're doing?</p> <p>23 A. Primary being the most common?</p> <p>24 Q. Yes, sir.</p> <p>25 A. That would be correct, sir, at this</p>
Page 23	Page 25
<p>1 to be around, let's say, 30 or so. And then</p> <p>2 cadaverics are probably going to be probably less</p> <p>3 than that. Probably 10 or so a year.</p> <p>4 Q. You do about 30 or so autologous</p> <p>5 pubovaginal slings; correct?</p> <p>6 A. About 30 a year, yes. And that will</p> <p>7 vary dramatically, yes.</p> <p>8 Q. And that's the traditional pubovaginal</p> <p>9 sling procedure that's been referenced in the</p> <p>10 literature for decades?</p> <p>11 A. Yes. With the understanding that the</p> <p>12 term "pubovaginal" is not necessarily a specific</p> <p>13 way of doing it, but in general, you are correct.</p> <p>14 Q. And that's the sling that's -- where</p> <p>15 the tissue is harvested from the patient herself;</p> <p>16 correct?</p> <p>17 A. Correct.</p> <p>18 Q. Okay. And the autologous pubovaginal</p> <p>19 sling is not a medical device; is it?</p> <p>20 A. Correct. It is not.</p> <p>21 Q. Why do you only use 10 or so cadaveric</p> <p>22 slings a year?</p> <p>23 A. It's going to be dependent upon the</p> <p>24 patients, the specific patient, the criteria they</p> <p>25 have, multiple different surgeries, the quality of</p>	<p>1 point. But, again, we're going to analyze the</p> <p>2 data.</p> <p>3 Q. And the autologous transobturator</p> <p>4 sling is not a medical device; is that correct?</p> <p>5 A. That's correct.</p> <p>6 Q. The cadaveric sling is not a medical</p> <p>7 device; correct?</p> <p>8 A. Well, it's -- it's a device -- it's a</p> <p>9 product that is purchased from the company</p> <p>10 Coloplast. So I don't think it qualifies. It's</p> <p>11 not a man-made device.</p> <p>12 Q. It's harvested from a dead person;</p> <p>13 correct?</p> <p>14 A. Correct.</p> <p>15 Q. And the one mesh sling you used, I</p> <p>16 think you said in August of 2013?</p> <p>17 A. Correct.</p> <p>18 Q. What type of mesh sling was that?</p> <p>19 A. That was a Coloplast product, the</p> <p>20 Supris.</p> <p>21 Q. Why did you only use that Coloplast</p> <p>22 Supris on one occasion?</p> <p>23 A. That was -- I can't recall the exact</p> <p>24 patient issues with that one. There was some</p> <p>25 reason why we did not -- and that's one -- it</p>

7 (Pages 22 to 25)

Daniel Steven Elliott, M.D.

Page 26	Page 28
<p>1 wasn't in August of 2013. It's since August of 2 2013 there's only been one. So it's a major shift 3 in my practice. And I don't recall the reasons 4 why we chose it, but there was a medically 5 necessary reason, in my opinion, to do it. 6 Q. What type of material is the Coloplast 7 material made of? 8 A. It is a polypropylene mesh. 9 Q. And what route is the Coloplast Supris 10 sling placed? 11 A. It's a suprapubic approach. 12 Transvaginal suprapubic. 13 Q. Can you explain that to me? I'm 14 familiar with retropubic and transobturator. 15 A. Well, retropubic, all that means is 16 behind the pubic bone. So it doesn't describe to 17 a surgeon -- it doesn't describe -- it just 18 describes an anatomical location. The TVT is 19 bottom up. Supris or Sparc is top-down. That's 20 probably -- that's the easier way to -- 21 Q. So the Colopress -- strike that. 22 The Coloplast Supris polypropylene 23 mesh sling uses a top-to-bottom approach? 24 A. Correct. 25 Q. And just so I'm clear, you've used</p>	<p>1 Q. In the past 10 years, have you used 2 the Birch colposuspension? 3 A. No, I have not. 4 Q. In the past 10 years, have you used 5 the Marshall-Marchetti-Krantz colposuspension 6 procedure? 7 A. No, I have not. I have not 8 personally. I've been involved in cases -- I 9 should take that back or strike it whatever your 10 legal terminology is. 11 I have been involved with GYN cases 12 who have done the Burch. I was not the surgeon 13 doing the Burch. I was doing something else. But 14 I have not personally done the Burch or the MMK 15 since fellowship, which was in '99 to 2000. 16 Q. How many Burch procedures have you 17 personally done in your career? 18 A. Probably two. 19 Q. How many MMK procedures have you 20 personally done in your career? 21 A. Zero. 22 Q. The Burch colposuspension is not a 23 medical device; correct? 24 A. Correct. 25 Q. Besides the Supris Coloplast sling,</p>
Page 27	Page 29
<p>1 that sling on one occasion only? 2 A. No. No. I've used that once since 3 August of 2013. Prior to that, I probably placed 4 1200 or so. For a while there I was doing 100 to 5 150 slings a year. Those were synthetic slings. 6 Those were the Coloplast, and that started in 2004 7 or so. So whatever the math is on that. So prior 8 to that I used another product. So what I'm 9 saying is I've stopped using polypropylene as a 10 first line treatment. 11 Q. So from 2004 up to around the midpoint 12 of 2013, August 2013 -- 13 A. Correct. 14 Q. -- you used Coloplast polypropylene 15 mesh slings as your primary surgical option for 16 the treatment of stress urinary incontinence? 17 A. That's correct. At some point in 18 time -- I cannot recall the exact dates -- I 19 changed from using the AMS product, because of the 20 problems I was having with it, to the Coloplast 21 product. Again, we have to take with a grain of 22 salt, it was 2004, 2005, in that time frame. And 23 then it was exclusively the Coloplast product. No 24 other product. No other polypropylene mesh was 25 used.</p>	<p>1 what other Coloplast slings did you use? 2 A. The Aris. A-i -- excuse me, A-r-i-s. 3 That is the transobturator. Same mesh, just a 4 different route. 5 Q. So I take it you would have began 6 using the Coloplast Supris before the Coloplast 7 Aris sling? 8 A. I don't recall the sequence of how 9 they were introduced. So it would have been about 10 the same time, because in that time frame, 11 transobturator route was available and suprapubic 12 route, or top-down was available. I would think I 13 probably started using both at the same time, if 14 they were available. I don't recall exactly. 15 Q. Okay. You mentioned you had some 16 problems with AMS slings. 17 A. Correct. 18 Q. Were those AMS polypropylene slings? 19 A. Correct. The Sparc, S-p-a-r-c, and 20 the Monarc, M-o-n-a-r-c. Because of those 21 problems, I stopped using the product. 22 Q. Sparc is a retropubic sling? 23 A. Correct. Top-down. 24 Q. Top-down. And Monarc, as I understand 25 it, is an outside and transobturator sling?</p>

8 (Pages 26 to 29)

Daniel Steven Elliott, M.D.

<p style="text-align: right;">Page 30</p> <p>1 A. Correct.</p> <p>2 Q. How many AMS slings do you think you</p> <p>3 placed in your career made of polypropylene?</p> <p>4 A. Yeah. I initially started -- I'll</p> <p>5 answer your question. This is complicated. I</p> <p>6 initially started using the ObTape, which was a</p> <p>7 transobturator Mentor product. Had a horrible</p> <p>8 amount of complications.</p> <p>9 So around in 2004 -- excuse me,</p> <p>10 2003 -- again, I don't recall the exact dates -- I</p> <p>11 changed over to the AMS product. And so I</p> <p>12 probably placed in a period of a year or two until</p> <p>13 the Coloplast product became available -- so you</p> <p>14 have to understand this is a guesstimate -- 100 to</p> <p>15 150 a year. So we can say 2 to 300, maybe.</p> <p>16 Q. Okay. So am I correct that the ObTape</p> <p>17 was the first synthetic sling you placed for the</p> <p>18 surgical treatment of stress urinary incontinence?</p> <p>19 A. Okay. We're going back 13, 14,</p> <p>20 15 years now. That was a transobturator route.</p> <p>21 So I was doing suprapubic prior to that. I was</p> <p>22 the first in the state of Minnesota and possibly</p> <p>23 the first in the United States to use the ObTape.</p> <p>24 At least that's what the company told me. So I</p> <p>25 was actually using the Sparc prior to that. And,</p>	<p style="text-align: right;">Page 32</p> <p>1 with the AMS Sparc and Monarc problems? Strike</p> <p>2 that. That was a bad question. I need water.</p> <p>3 When do you recall first using the</p> <p>4 ObTape?</p> <p>5 A. I'd be able to search my records and</p> <p>6 give you a pretty close to accurate date, but it</p> <p>7 would have been about in 2003, about in October or</p> <p>8 so.</p> <p>9 Q. You did a fellowship; right?</p> <p>10 A. Correct.</p> <p>11 Q. What surgeries did you learn to do to</p> <p>12 treat stress urinary incontinence during your</p> <p>13 fellowship?</p> <p>14 A. Well, that's where we did a Burch. So</p> <p>15 I'd never done Burch in residency. We only did</p> <p>16 one or two.</p> <p>17 Q. Okay.</p> <p>18 A. Where I was the surgeon or under the</p> <p>19 leadership of a staff.</p> <p>20 I had already done autologous slings.</p> <p>21 So I improved my skills. I wouldn't say I was</p> <p>22 learning something new.</p> <p>23 And then the cadaveric sling I learned</p> <p>24 there.</p> <p>25 Q. Okay.</p>
<p style="text-align: right;">Page 31</p> <p>1 again, I know it's going to be difficult. I'm not</p> <p>2 trying to be difficult. I just can't recall the</p> <p>3 exact -- so I was definitively using suprapubic</p> <p>4 prior to that time. And then transobturator came</p> <p>5 out. The Mentor at the time had the patent, two</p> <p>6 transobturators. They were the first ones to do</p> <p>7 it. So I would have used a suprapubic route</p> <p>8 first. Then transobturator with Mentor. Had</p> <p>9 problems. Then swapped over to the AMS Monarc</p> <p>10 would probably be the sequence of things.</p> <p>11 Q. What kind of problems did you have</p> <p>12 with the ObTape sling?</p> <p>13 A. You name it. It was a terrible</p> <p>14 device. It was problems of buttock abscess.</p> <p>15 Extrusion rate. Pushing out. Pain. I did it in</p> <p>16 110 patients, and we had 9 come back within a year</p> <p>17 or so with obturator fossa abscess, buttock</p> <p>18 abscess, extrusion. And then I had one patient</p> <p>19 come back in 2013. So what's that? Eight years</p> <p>20 after I implanted it with another extrusion.</p> <p>21 Q. So you had a total of 10 patients who</p> <p>22 came back with some type of complication out of</p> <p>23 110 for the ObTape?</p> <p>24 A. Correct. That I know of.</p> <p>25 Q. What type of problems did you have</p>	<p style="text-align: right;">Page 33</p> <p>1 A. Or first did there. I knew about it,</p> <p>2 but had first performed the procedure.</p> <p>3 Q. In your residency, what stress urinary</p> <p>4 incontinence surgeries did you learn about?</p> <p>5 A. Only pubovaginal, autologous</p> <p>6 pubovaginal sling.</p> <p>7 Q. Is it correct that in your fellowship</p> <p>8 you did not learn -- strike that.</p> <p>9 Is it correct in your fellowship you</p> <p>10 did not perform any synthetic slings to treat</p> <p>11 stress urinary incontinence?</p> <p>12 A. That is correct. At that point in</p> <p>13 time, only the TVT was available. My staff and</p> <p>14 residency and then my fellowship staff both did</p> <p>15 not feel it was safe; so did not do it. So my</p> <p>16 first synthetic came afterwards when the Sparc</p> <p>17 came out.</p> <p>18 Q. Is the retropubic mid-urethral sling</p> <p>19 taught in Mayo Clinic in residencies?</p> <p>20 A. It is not taught in the urology</p> <p>21 department. I cannot speak for the urogynecology</p> <p>22 department.</p> <p>23 Q. Is the retropubic mid-urethral</p> <p>24 polypropylene sling taught in fellowship at Mayo</p> <p>25 Clinic?</p>

Daniel Steven Elliott, M.D.

Page 34	Page 36
<p>1 A. Well, that would just be in the</p> <p>2 urogynecology department. We do not have a</p> <p>3 fellowship. So I don't know what they learn</p> <p>4 there.</p> <p>5 Q. So circling back around to the AMS</p> <p>6 sling problems that you had, what were those with</p> <p>7 the Sparc and the Monarc?</p> <p>8 A. We'd have to divide it up into each</p> <p>9 one, if you want. Kind of a -- because suprapubic</p> <p>10 approach, the Sparc, had different complications</p> <p>11 than the transobturator route.</p> <p>12 Q. Okay. Let's go with Sparc first, and</p> <p>13 thanks for that clarification.</p> <p>14 A. Sparc --</p> <p>15 Q. Let me just get a good question. That</p> <p>16 was a bad question on the record.</p> <p>17 Can you tell me the problems you saw</p> <p>18 with the AMS Sparc device?</p> <p>19 A. Yeah. With the Sparc, it was the</p> <p>20 top-down route. We had the problem with about a</p> <p>21 10 percent bladder perforation rate. And then</p> <p>22 also we had the problem the connector of the</p> <p>23 trochar to the mesh was bulky.</p> <p>24 So per our routine, after we would</p> <p>25 place our trochar we would perform a cystoscopic</p>	<p>1 A. I'm going to have to clarify that</p> <p>2 statement. Actually, that's incorrect, because on</p> <p>3 my CV that I turned in, we have written up the</p> <p>4 largest series of bladder outlet obstruction</p> <p>5 requiring urethrolisis. So in that series would</p> <p>6 be some of those Sparcs that were obstructed. So</p> <p>7 I don't -- I can't give you an exact number. So</p> <p>8 that has been published on, yes.</p> <p>9 Q. Okay. What was the rate of bladder</p> <p>10 outlet obstruction with the Sparc device in your</p> <p>11 hands?</p> <p>12 A. I don't recall me personally having</p> <p>13 one. The other -- my colleague had a few, about a</p> <p>14 1 to 5 percent rate of obstruction.</p> <p>15 Q. Who is your colleague?</p> <p>16 A. Dr. Deborah Lightner.</p> <p>17 Q. And what was your rate of mesh</p> <p>18 extrusion with the Sparc?</p> <p>19 A. I can just, off the top of my head,</p> <p>20 remember a few. I did not keep accurate records</p> <p>21 of the exact number of those.</p> <p>22 Q. What was the rate of pain with the</p> <p>23 Sparc?</p> <p>24 A. When we closely -- you know, when we</p> <p>25 asked patients to see them back, there was</p>
Page 35	Page 37
<p>1 exam, and we were discovering, after we had</p> <p>2 attached the mesh and pull it through, we're</p> <p>3 tearing the bladder. So we developed these bad</p> <p>4 tears in the bladder, when we would unequivocally</p> <p>5 confirmed there was no bladder hole there to start</p> <p>6 off with. So that was an unacceptable</p> <p>7 complication right there.</p> <p>8 And then we were having a problem as</p> <p>9 far as mesh extrusion and pain. Now, that's the</p> <p>10 Sparc complications.</p> <p>11 Q. What rate of mesh extrusion did you</p> <p>12 have with the Sparc device?</p> <p>13 A. It was around -- that's going to be</p> <p>14 very difficult to say, because it's looking back</p> <p>15 so far now.</p> <p>16 Q. Let me withdraw and ask you a question</p> <p>17 that I think is easier to answer, a least it may</p> <p>18 lead me to where I may want to go.</p> <p>19 Did you or anyone else ever publish on</p> <p>20 these problems with the AMS Sparc device?</p> <p>21 A. We never published. We spoke about --</p> <p>22 I spoke about it. But I never had any</p> <p>23 publications on it.</p> <p>24 Q. When you say you spoke about it, what</p> <p>25 do you mean by that?</p>	<p>1 probably about a 5 percent risk, roughly, of</p> <p>2 suprapubic pain or vaginal discomfort with it.</p> <p>3 Q. It would be routine to have the</p> <p>4 patients come back following stress incontinence</p> <p>5 surgery with a mid-urethral sling?</p> <p>6 A. Yes or no. It depends if we're doing</p> <p>7 a study looking at something specifically. So we</p> <p>8 do not have a standard protocol to follow-up with</p> <p>9 them.</p> <p>10 Q. So when you put in a trans -- strike</p> <p>11 that.</p> <p>12 When you put in a Sparc sling in a</p> <p>13 patient, am I correct you did not have a specific</p> <p>14 follow-up plan for the patient?</p> <p>15 A. We had a -- based upon efficacy only</p> <p>16 at that point in time. I remember, this is back</p> <p>17 in 2002 or 2003. We were -- and if the patients</p> <p>18 were happy, they were continent, then we did not</p> <p>19 have a scheduled follow-up for them.</p> <p>20 Q. For the autologous pubovaginal sling</p> <p>21 that you would perform around that time, did you</p> <p>22 have scheduled follow-ups for your patients?</p> <p>23 A. During that time frame I performed</p> <p>24 very few, almost down to zero a year. There may</p> <p>25 be an occasional one for a complicated</p>

10 (Pages 34 to 37)

Daniel Steven Elliott, M.D.

Page 38	Page 40
<p>1 reconstruction. So for a period of, what, seven, 2 eight years my numbers of autologous slings was 3 negligible.</p> <p>4 Q. The Aris sling is the one made by 5 Coloplast, which is a transobturator approach; 6 correct?</p> <p>7 A. Correct.</p> <p>8 Q. When you began using the Coloplast 9 Supris sling, how many randomized control trials, 10 if any, were there on that device?</p> <p>11 A. I don't recall.</p> <p>12 Q. As you sit here today, do you know if 13 there are any randomized control trials on the 14 Coloplast Supris device?</p> <p>15 A. I don't recall.</p> <p>16 Q. Do you know or do you -- you say you 17 don't recall. Do you know?</p> <p>18 A. I don't know. I have not searched the 19 literature if there is or isn't.</p> <p>20 Q. When you began using the Coloplast 21 Aris transobturator sling, were there any 22 randomized control trials that existed at that 23 time?</p> <p>24 A. Again, I don't recall back then, no.</p> <p>25 Q. As you sit here the today, do you know</p>	<p>1 There was no data. I recall trusting the company 2 that there had been data, but there apparently was 3 not.</p> <p>4 Same answer for the Sparc that I 5 believe that was already on the market when I 6 began using it.</p> <p>7 Q. But my question was for the Monarc. 8 When you began using the AMS Monarc transobturator 9 device, did you begin using it when it was 10 introduced to the market or sometime later?</p> <p>11 A. It most likely would have been 12 sometime later. Again, I don't recall the exact 13 dates.</p> <p>14 Q. When you began using the AMS Sparc 15 device, did you sit down and do a literature 16 search to ascertain what literature, if any, 17 existed on that device before using it?</p> <p>18 A. The product was brand-new to the 19 market. So there was no independent research on 20 it and definitely no long-term studies on it.</p> <p>21 Q. When you began using either the 22 Coloplast sling products, the Supris or the Aris 23 devices, did you sit down and do a literature 24 search to assess what information and data were 25 available on those products, if any, before using</p>
Page 39	Page 41
<p>1 if there are any randomized control trials on the 2 Aris Coloplast sling?</p> <p>3 A. I don't know. I don't recall if there 4 are or are not.</p> <p>5 Q. When you began using the AMS Sparc 6 polypropylene sling, were there any randomized 7 control trials that existed on that device at the 8 time?</p> <p>9 A. I would have to theorize there were 10 not because it was a brand-new product on the 11 market.</p> <p>12 Q. When you began using the AMS Monarc 13 device, were there any randomized control trials 14 on that device?</p> <p>15 A. Same answer as before. I don't recall 16 if there were or were not.</p> <p>17 Q. Did you began doing the AMS Monarc 18 transobturator sling when it was introduced to the 19 market or did you wait some time?</p> <p>20 A. No. As I recall, I used the Mentor 21 ObTape first for transobturator route. Again, as 22 I was told by the company, I was the first in the 23 state of Minnesota and possibly first in the 24 United States to do transobturator because it was 25 brand-new. So that answers a lot of questions.</p>	<p>1 those products?</p> <p>2 A. I don't recall what I did at that 3 point in time, but there definitely were no 4 long-term studies because it was new to the 5 market.</p> <p>6 Q. Now, when you began doing the AMS 7 Monarc procedure, did you do a literature search 8 to see if there was any data on that particular 9 device before using it in women?</p> <p>10 A. Again, same answer as -- there was no 11 long-term studies. I don't recall if I did any 12 literature searches on it or not. I was provided 13 literature by the company, but, again, there was 14 no long-term studies.</p> <p>15 Q. What literature were you provided by 16 the company on the AMS Monarc sling?</p> <p>17 A. Their IFU and then their product 18 publicity statement, so to speak, that has the 19 blurbs on the product and how it's to be used and 20 things like that, with, you know, criteria, those 21 type things.</p> <p>22 Q. Did AMS give you any published 23 clinical studies or abstracts of clinical studies 24 at the time they gave you the IFU or the publicity 25 statement for the Monarc device?</p>

11 (Pages 38 to 41)

Daniel Steven Elliott, M.D.

Page 42	Page 44
<p>1 A. I cannot recall exactly what happened.</p> <p>2 The -- it is part of the routine of most of these</p> <p>3 reps to provide you with papers. And I don't</p> <p>4 recall that specifically with this one, no.</p> <p>5 Q. What was your mesh exposure rate, if</p> <p>6 anything, with the Coloplast Supris device?</p> <p>7 A. That I am aware of, I've had two.</p> <p>8 Q. How many mesh exposures did you have</p> <p>9 with the Coloplast Aris device?</p> <p>10 A. Oh, I'm sorry. I misspoke. Of all</p> <p>11 the -- of all the Coloplast products combined, I</p> <p>12 know of two that I've had so far. I don't know</p> <p>13 which one was which, though.</p> <p>14 Q. Okay. So it would be fair to say for</p> <p>15 the Coloplast stress incontinence polypropylene</p> <p>16 mid-urethral slings you used, those being the</p> <p>17 Supris and the Aris, you're aware of two mesh</p> <p>18 exposures?</p> <p>19 A. Correct.</p> <p>20 Q. Okay. When was the last time you used</p> <p>21 a polypropylene mid-urethral sling to treat stress</p> <p>22 urinary incontinence that utilized a top-down</p> <p>23 approach?</p> <p>24 A. That would have been the one that I</p> <p>25 did between August of 2013 to the present, and it</p>	<p>1 recall ever seeing one of my patients who was</p> <p>2 obstructed afterwards.</p> <p>3 Q. Okay. What was your rate of obturator</p> <p>4 pain you saw with the Monarc device?</p> <p>5 A. Initially was essentially 100 percent.</p> <p>6 Markedly more than the ObTape. The ObTape when</p> <p>7 you placed it, the patient initially did not</p> <p>8 complain of any obturator foramen pain. The</p> <p>9 Monarc, they complained of it significantly</p> <p>10 immediately postop. We had to give a lot more</p> <p>11 analgesic, keep patients in the hospital, those</p> <p>12 types of things. So it was unacceptable problem</p> <p>13 with the device from my perspective.</p> <p>14 Q. What was the rate of obturator pain in</p> <p>15 your Monarc patients at six months or greater?</p> <p>16 A. I don't recall. And I don't know if</p> <p>17 we ever looked at that.</p> <p>18 Q. What was the rate of dyspareunia in</p> <p>19 your Monarc patients?</p> <p>20 A. Same answer as before. I don't</p> <p>21 recall. We never did a formal study on that. So</p> <p>22 I don't know.</p> <p>23 Q. Why did you have -- strike that.</p> <p>24 Did you find that the rate of the</p> <p>25 abscesses in your use of ObTape was unacceptable?</p>
Page 43	Page 45
<p>1 would have been -- I can't recall exactly. It may</p> <p>2 have been in 2013 or early 2014.</p> <p>3 Q. Have you ever placed a mid-urethral</p> <p>4 sling utilizing a retropubic approach from the</p> <p>5 bottom to the top like is employed with the TVT</p> <p>6 retropubic device?</p> <p>7 A. Never. I've seen it. But I have not</p> <p>8 performed it myself.</p> <p>9 Q. Okay. As between the -- so just so</p> <p>10 I'm clear. You've done transobturator</p> <p>11 mid-urethral polypropylene slings, and you've used</p> <p>12 suprapubic top to bottom polypropylene slings to</p> <p>13 treat stress urinary incontinence in your career?</p> <p>14 A. Correct.</p> <p>15 Q. What problems did you have with the</p> <p>16 AMS Monarc device, the transobturator device?</p> <p>17 A. Similar problems as with the</p> <p>18 suprapubic, the Sparc, in that the adaptor was</p> <p>19 very large. So as you pulled it through the</p> <p>20 obturator foramen, you had to pull very hard, tug</p> <p>21 on it, stretching the mesh, and then it'd come</p> <p>22 through forcefully. So obturator pain, patient</p> <p>23 discomfort with it. We had dyspareunia. And then</p> <p>24 you had some vaginal extrusions. I do not</p> <p>25 recall -- not that it didn't happen, I do not</p>	<p>1 A. Absolutely unacceptable.</p> <p>2 Q. Why did you have an unacceptable rate</p> <p>3 of abscesses in the ObTape?</p> <p>4 A. That was with the design of the</p> <p>5 product. It was a heavy weight, essentially zero</p> <p>6 pore mesh, polypropylene mesh that transmitted</p> <p>7 infection through the obturator foramen to the</p> <p>8 buttock region.</p> <p>9 Q. For your Coloplast polypropylene</p> <p>10 slings, what type of efficacy did you see?</p> <p>11 A. Well, there's -- again, there's the</p> <p>12 suprapubic and the obturator route. We did</p> <p>13 never -- we never looked at our rate. So I can't</p> <p>14 tell you that. Though efficacy overall was</p> <p>15 acceptable.</p> <p>16 Q. With the AMS Sparc and Monarc devices,</p> <p>17 was your efficacy with those devices acceptable?</p> <p>18 A. Yes.</p> <p>19 Q. With the Coloplast polypropylene</p> <p>20 slings, did tissue integration occur with those</p> <p>21 devices?</p> <p>22 MR. SNELL: Object to form.</p> <p>23 A. The only way to know if there was</p> <p>24 tissue integration is to do a revision surgery on</p> <p>25 them. So we never did that.</p>

12 (Pages 42 to 45)

Daniel Steven Elliott, M.D.

Page 46	Page 48
<p>1 Q. BY MR. SNELL: Did any of your</p> <p>2 patients with the Coloplast slings made of</p> <p>3 polypropylene placed at the mid-urethral come back</p> <p>4 to you with their slings falling out?</p> <p>5 A. Well, yeah, we had two that I</p> <p>6 mentioned that I know of came out. So you could</p> <p>7 say those two had poor integration, but I cannot</p> <p>8 speak to the others, because we did not have a</p> <p>9 routine follow-up scheduled for them.</p> <p>10 Q. For the two patients I thought you</p> <p>11 told me they had mesh exposures.</p> <p>12 A. They did. So that's poor tissue</p> <p>13 integration.</p> <p>14 Q. What size were those exposures?</p> <p>15 A. I don't recall. They're probably</p> <p>16 around the range of a centimeter or so. It was</p> <p>17 not just a mild exposure. These required</p> <p>18 treatment.</p> <p>19 Q. And was the tissue integrated in the</p> <p>20 area beyond the mesh exposure in those two cases?</p> <p>21 A. Again, I can't recall going back that</p> <p>22 far. I know it was not at the location of the</p> <p>23 extrusion, though.</p> <p>24 Q. What was the pore size of the</p> <p>25 Coloplast polypropylene mesh?</p>	<p>1 Q. BY MR. SNELL: What was the weight of</p> <p>2 the Coloplast slings you used for stress urinary</p> <p>3 incontinence treatment?</p> <p>4 A. 70 grams per meter squared.</p> <p>5 Q. For the AMS Sparc and Monarc slings,</p> <p>6 what was the pore size of those products?</p> <p>7 A. Well, it depends if it's coming out of</p> <p>8 the box or once you've implanted it. And so the</p> <p>9 answer is, I don't know because it was quite</p> <p>10 variable. When you placed it in the patient and</p> <p>11 then pulled on the trochars, pulled the sheath</p> <p>12 around it, it would elongate and pull and roll up.</p> <p>13 And so you'd get this rope look appearance to it,</p> <p>14 which the pore size was zero, essentially --</p> <p>15 excuse me, not zero. It was negligible.</p> <p>16 Q. How many Sparc and Monarc slings did</p> <p>17 you place in your career?</p> <p>18 A. And that's in a period of probably</p> <p>19 two, maybe three years, a rate of 100 to 150 a</p> <p>20 year.</p> <p>21 Q. And when did you first see this roping</p> <p>22 and elongation of the Sparc and Monarc slings?</p> <p>23 A. As soon as we started putting it in.</p> <p>24 Q. So you began -- just so I understand,</p> <p>25 as soon as you began seeing -- strike that.</p>
Page 47	Page 49
<p>1 A. I don't know.</p> <p>2 Q. Was the Coloplast polypropylene</p> <p>3 mid-urethral sling mesh that you used mechanical</p> <p>4 cut or laser cut?</p> <p>5 A. It's actually different. It's hemmed.</p> <p>6 So the border of it looks completely different</p> <p>7 than the TVT or the Sparc. So you don't have the</p> <p>8 roping, the fraying particle loss with it or</p> <p>9 elongation. That's why I liked it over the Sparc</p> <p>10 procedure.</p> <p>11 Q. Did the Coloplast IFU for their sling</p> <p>12 products you used provide the frequency, severity,</p> <p>13 and duration of complications?</p> <p>14 A. I don't recall what the IFU said.</p> <p>15 Q. Did you read it?</p> <p>16 A. Yes, I read it.</p> <p>17 Q. As you sit here today, do you know</p> <p>18 whether those IFUs on the Coloplast mid-urethral</p> <p>19 slings ever reported frequency, severity, or</p> <p>20 duration of complications?</p> <p>21 MR. CARTMELL: Objection. Asked and</p> <p>22 answered. He just said he didn't recall.</p> <p>23 A. I don't recall, sir. It's been a long</p> <p>24 time. I know I'm required to review it, but I</p> <p>25 don't recall what they stated.</p>	<p>1 As soon as you began using the AMS</p> <p>2 polypropylene mid-urethral sling, you began seeing</p> <p>3 the roping and elongation?</p> <p>4 A. Correct.</p> <p>5 Q. Yet you continued to place 100 to 150</p> <p>6 of those a year?</p> <p>7 A. That is correct, because I didn't know</p> <p>8 the significance of it at the time.</p> <p>9 Q. Is the Sparc polypropylene sling</p> <p>10 mechanical cut or laser cut?</p> <p>11 A. I believe it is mechanical cut. In</p> <p>12 appearance it is identical to the TVT.</p> <p>13 Q. Does it have blue striping as well?</p> <p>14 A. Has a blue thread through it.</p> <p>15 Prolene -- or I believe it's Prolene suture. I'm</p> <p>16 not sure. And that was placed there not</p> <p>17 initially. That was placed afterwards to prevent</p> <p>18 the problem of it rolling, because when you'd</p> <p>19 tension it, it'd roll up.</p> <p>20 Q. And for the Monarc sling, is that</p> <p>21 mechanically cut or laser cut?</p> <p>22 A. Same answer as the Sparc. It appears</p> <p>23 to be mechanical cut. I can't speak for the cut.</p> <p>24 I've not reviewed those documents, but it appears</p> <p>25 to be mechanical cut.</p>

13 (Pages 46 to 49)

Daniel Steven Elliott, M.D.

<p style="text-align: right;">Page 50</p> <p>1 Q. Did you ever see particles falling off 2 of that mesh?</p> <p>3 A. When you would pull on it, either the 4 Monarc or the Sparc, they're the same mesh, you 5 would pull and then you would get these little 6 tiny fibers, like just little things that you 7 could actually see on your glove. And so the 8 answer to that question is yes.</p> <p>9 Q. And that did not deter you from using 10 those products?</p> <p>11 A. I was unaware of the significance at 12 the time.</p> <p>13 Q. Well, you knew you were implanting 14 polypropylene into the body; right?</p> <p>15 A. Correct.</p> <p>16 Q. And those little particles you would 17 see on your glove were made of what?</p> <p>18 A. Polypropylene.</p> <p>19 Q. Does the Monarc have the blue striping 20 as well?</p> <p>21 A. Yeah. It has a blue Prolene -- well, 22 I assume Prolene -- suture going through end to 23 end. That's for tensioning purposes. That was 24 added later.</p> <p>25 Q. Have you ever looked at the MSDS</p>	<p style="text-align: right;">Page 52</p> <p>1 A. I don't --</p> <p>2 MR. CARTMELL: Let me object to the 3 form.</p> <p>4 MR. SNELL: Okay.</p> <p>5 MR. CARTMELL: I'm not sure what 6 you're talking about, frankly, and I'm not sure he 7 will either. So it may call for speculation.</p> <p>8 A. I've reviewed a lot of documents, some 9 coming from Judge Goodwin. I don't recall the 10 nomenclature you're using.</p> <p>11 Q. BY MR. SNELL: Okay. Have you seen 12 any orders by Judge Goodwin in the Mullins case?</p> <p>13 A. Again, same answer as before. I 14 don't -- I've seen a lot of stuff coming from 15 Judge Goodwin with his signature or whatever on 16 it. I just don't recall the nomenclature you're 17 talking about.</p> <p>18 Q. I looked through your report, and your 19 footnotes begin on page 11; correct?</p> <p>20 A. That is correct.</p> <p>21 Q. Actually, if you turn to page 9, you 22 have a footnote at the top, but there's no 23 citation to it.</p> <p>24 A. Yeah. That is correct. That's a 25 typographical error, it looks, appears.</p>
<p style="text-align: right;">Page 51</p> <p>1 sheets that pertain to the Sparc or Monarc 2 products?</p> <p>3 A. No, I have not.</p> <p>4 Q. Have you ever looked at the MSDS 5 sheets that pertain to the Coloplast sling 6 products?</p> <p>7 A. I have not.</p> <p>8 Q. Why not?</p> <p>9 A. Because I don't know how to find them.</p> <p>10 Q. Am I correct; you never used the TVT 11 retropubic device?</p> <p>12 A. Correct. Correct. You're right.</p> <p>13 Q. And when I say TVT retropubic, I mean 14 the original, still-on-the-market-today Ethicon 15 manufactured TVT retropubic device.</p> <p>16 A. Correct. The bottom up. They also 17 have a top-down. But bottom line, I have not used 18 any Ethicon product for stress urinary 19 incontinence.</p> <p>20 Q. Okay. So that makes it fast. Great.</p> <p>21 Before writing your report in this 22 case, did you review the order issued by the judge 23 regarding the design defect claim in Mullins, and 24 what the judge expected the parties to focus on in 25 this matter?</p>	<p style="text-align: right;">Page 53</p> <p>1 Q. Okay.</p> <p>2 A. That's my comment. Yeah, there's no 3 reason to reference that.</p> <p>4 Q. Okay.</p> <p>5 A. That's my comment.</p> <p>6 Q. Okay. So looking at your report, 7 beginning on page 11 where you have Footnotes, the 8 majority of what you cite -- that way we can just 9 see if we can agree to this.</p> <p>10 In your expert report -- strike that.</p> <p>11 The majority of things that you cite 12 in your expert report in footnotes are either 13 Ethicon company documents, testimony by company 14 witnesses, or papers concerning hernia mesh or 15 prolapse.</p> <p>16 Is that a fair statement?</p> <p>17 MR. CARTMELL: Object to the form.</p> <p>18 A. Well, the majority -- you're correct. 19 There's internal documentation. Many depositions. 20 There's the significant amount of medical 21 literature in the canine model, rabbit model, 22 human, and then there's TVT references in there, 23 too. So I can't say that -- there's a lot of 24 different references from a lot of different 25 sources.</p>

14 (Pages 50 to 53)

Daniel Steven Elliott, M.D.

<p style="text-align: right;">Page 54</p> <p>1 Q. BY MR. SNELL: Well, for the medical</p> <p>2 literature, it's correct, isn't it, that you cited</p> <p>3 to a lot more hernia literature than you did TVT</p> <p>4 literature?</p> <p>5 A. That is --</p> <p>6 MR. SNELL: Object to the form.</p> <p>7 A. That is correct, because TVT is a</p> <p>8 hernia mesh.</p> <p>9 Q. BY MR. SNELL: And if we go to the</p> <p>10 back of your report, on page 32, you cite to the</p> <p>11 recent Cochrane Review by Ford, et al.?</p> <p>12 A. Page 32? I'm sorry.</p> <p>13 Q. Yes. Footnote 97, I see.</p> <p>14 A. That is correct.</p> <p>15 Q. What is a Cochrane Review?</p> <p>16 A. Cochrane Review -- well, I actually</p> <p>17 have a copy of it here. A Cochrane Review -- I</p> <p>18 can give you the exact nomenclature that they use.</p> <p>19 Yes. The Cochrane database, which is a -- I</p> <p>20 believe it's government sponsored, that is in</p> <p>21 charge of analyzing studies and a combination of</p> <p>22 studies to hopefully be able to come up with</p> <p>23 analysts -- analysis of papers and their efficacy,</p> <p>24 their quality, et cetera.</p> <p>25 (Exhibit 4 marked.)</p>	<p style="text-align: right;">Page 56</p> <p>1 large study. It's one of the bits of evidence. I</p> <p>2 try to look at all evidence out there, whether it</p> <p>3 be pro or con for mesh so I can get a balanced</p> <p>4 opinion on this. And this is one of the</p> <p>5 documents. And it's an updated one. 2015.</p> <p>6 Q. Okay. Under the background, they</p> <p>7 state that the mid-urethral sling operations are a</p> <p>8 recognized minimally invasive surgical treatment</p> <p>9 for stress urinary incontinence.</p> <p>10 You see that?</p> <p>11 A. That's what they state, yes.</p> <p>12 Q. You would agree that the mid-urethral</p> <p>13 sling is minimally invasive compared to the</p> <p>14 autologous pubovaginal sling which requires</p> <p>15 harvesting of tissue from the woman?</p> <p>16 MR. CARTMELL: Object to the form.</p> <p>17 A. I would agree, minimally invasive is</p> <p>18 always a statement, has to be with qualifiers or a</p> <p>19 comparison to. And I think it would be ligament</p> <p>20 to say the mid-urethral sling is less invasive</p> <p>21 than the autologous sling.</p> <p>22 Q. BY MR. SNELL: Would you agree that</p> <p>23 the mid-urethral sling, particularly the TVT</p> <p>24 retropubic is less invasive than the Burch</p> <p>25 colposuspension?</p>
<p style="text-align: right;">Page 55</p> <p>1 Q. BY MR. SNELL: I've handed you</p> <p>2 Exhibit 4. This is the intervention review of</p> <p>3 mid-urethral sling operations for stress urinary</p> <p>4 incontinence in women by Dr. Ford and others;</p> <p>5 correct?</p> <p>6 A. Well, this is the abbreviated form of</p> <p>7 it, the summary.</p> <p>8 Q. Right.</p> <p>9 A. The real document is -- I don't know</p> <p>10 how many pages, but is a very big document.</p> <p>11 Q. Right.</p> <p>12 A. But, yes, this is the summary, as you</p> <p>13 have stated.</p> <p>14 Q. And this is the same Cochrane Review</p> <p>15 you cited; correct?</p> <p>16 A. Correct. One by Ford, et al., in</p> <p>17 2015.</p> <p>18 Q. And it looks like -- the publication</p> <p>19 status and date, this actually -- Cochrane Review</p> <p>20 was published this summer; correct?</p> <p>21 A. July. Correct.</p> <p>22 Q. And if you look in the abstract -- let</p> <p>23 me ask you this: Why did you cite to the Cochrane</p> <p>24 Review?</p> <p>25 A. Multiple different reasons. It's a</p>	<p style="text-align: right;">Page 57</p> <p>1 MR. CARTMELL: Same objection.</p> <p>2 A. You know, possibly. But, again,</p> <p>3 depends how you do it. Some people can do it with</p> <p>4 a very small incision, and it's -- but it depends</p> <p>5 upon -- again, it's very difficult because you</p> <p>6 have to pass those trochars blind. So that's an</p> <p>7 invasive thing. It's a stab wound to a patient.</p> <p>8 What's the difference in making an incision and</p> <p>9 putting your stitches in. But you could say, yes,</p> <p>10 it is going to be less -- the TVT is going to be</p> <p>11 less invasive somewhat than the Burch.</p> <p>12 Q. BY MR. SNELL: Would you agree that</p> <p>13 the TVT retropubic device is less invasive than</p> <p>14 doing an MMK?</p> <p>15 A. I think, again, same as the Burch</p> <p>16 answer. The MMK requires more lateral dissection.</p> <p>17 So I think that's a fair statement.</p> <p>18 Q. The MMK, as I understand it, has about</p> <p>19 a 2.4 percent risk of the osteopubitis. Am I</p> <p>20 saying that correctly?</p> <p>21 A. Correct. It should not be that high</p> <p>22 of a percentage, but that is a risk of it,</p> <p>23 correct.</p> <p>24 Q. But you've read literature summarizing</p> <p>25 that risk is 2.4 percent by authors Drews and</p>

15 (Pages 54 to 57)

Daniel Steven Elliott, M.D.

Page 58	Page 60
<p>1 others?</p> <p>2 A. I've read literature from other people</p> <p>3 saying it is less than 1 percent. But I'm not</p> <p>4 going to deny it. Yes, there is a risk of that,</p> <p>5 and that's probably one of the reasons it's not</p> <p>6 done very much.</p> <p>7 Q. And how did patients in the MMK --</p> <p>8 strike that.</p> <p>9 The MMK is a open procedure?</p> <p>10 A. Correct. I don't recall anybody doing</p> <p>11 it laparoscopically, but it's a procedure not done</p> <p>12 very often anymore.</p> <p>13 Q. How does osteopubis occur in open</p> <p>14 procedure like the MMK?</p> <p>15 A. They're thinking it's irritation to</p> <p>16 the bone with the sutures.</p> <p>17 Q. The main results of this Cochrane</p> <p>18 Review -- I want to go down a little bit.</p> <p>19 First of all, they included 81 trials;</p> <p>20 correct? I'm on this page here, Doc.</p> <p>21 A. Oh, I'm sorry. Yes.</p> <p>22 Q. That evaluated 12,113 women; correct?</p> <p>23 A. Correct.</p> <p>24 Q. The quality of most outcomes was</p> <p>25 moderate; correct?</p>	<p>1 Q. And what is the importance, if any, of</p> <p>2 Oxford Levels of Evidence?</p> <p>3 A. It is trying to quantify or</p> <p>4 demonstrate or show individuals the data that is</p> <p>5 gathered from various different studies. It does</p> <p>6 not mean that other studies are invaluable, such</p> <p>7 as case reports. But when you're trying to</p> <p>8 compare apples to oranges or different types of</p> <p>9 apples to each other, you need to compare them</p> <p>10 directly to each other. And you get arguably the</p> <p>11 better data from that type of a study.</p> <p>12 Q. Level 1 you said was an RCT?</p> <p>13 A. Correct.</p> <p>14 Q. What is level 2?</p> <p>15 A. Level 2 is a case controlled trial.</p> <p>16 Comparisons are made, but they're not randomized.</p> <p>17 Q. You pulled out a document. Could we</p> <p>18 mark that as Exhibit 5? Thank you. Oh, okay.</p> <p>19 (Exhibit 5 marked.)</p> <p>20 Q. BY MR. SNELL: I just want to look at</p> <p>21 it real quick, and then I'll give it right back to</p> <p>22 you.</p> <p>23 So where would the Cochrane Review</p> <p>24 that you cited in your expert report rate on that</p> <p>25 level of evidence pyramid?</p>
Page 59	Page 61
<p>1 A. Yes. It reads, "moderate, mainly due</p> <p>2 to bias or risk of imprecision."</p> <p>3 Q. And the vast majority of these studies</p> <p>4 that were included in the Ford Cochrane Review</p> <p>5 that you cited are what are called randomized</p> <p>6 control trials; correct?</p> <p>7 A. I'm sorry. I don't understand your</p> <p>8 question. Can you -- there's misspellings on</p> <p>9 that. So can you -- I'm sorry.</p> <p>10 Q. Do you know what a randomized control</p> <p>11 trial is?</p> <p>12 A. Yes, I do.</p> <p>13 Q. Of course you do. What is a</p> <p>14 randomized control trial?</p> <p>15 A. Randomized control trial would be a</p> <p>16 level 1 trial on the Oxford education levels,</p> <p>17 where there are two different groups that are</p> <p>18 equally randomized to two separate treatment arms.</p> <p>19 And then you do the same evaluations and the same</p> <p>20 pre and postop description of patients and</p> <p>21 outcomes.</p> <p>22 Q. Okay. You mentioned the Oxford. I've</p> <p>23 heard of the Oxford Levels of Evidence.</p> <p>24 Is that what you're referring to?</p> <p>25 A. Yes. That's fine.</p>	<p>1 A. Cochrane Review is really not on it.</p> <p>2 Cochrane Review is an analysis of all the data out</p> <p>3 there. It's like a meta-analysis. Meta-analysis</p> <p>4 which are used extensively don't fall into these</p> <p>5 categories. These are smaller studies. Cochrane</p> <p>6 or meta-analysis are a combination. Like they</p> <p>7 mentioned, 81 trials that evaluated 1200 patients.</p> <p>8 Hence the reason why there'll be weaknesses or</p> <p>9 errors within those studies because they're</p> <p>10 analyzing potentially bad studies.</p> <p>11 Q. I've seen a similar evidence pyramid</p> <p>12 that has on top, above an individual randomized</p> <p>13 control trial, something called systematic reviews</p> <p>14 in meta-analyses.</p> <p>15 A. Yeah. That's why I mentioned</p> <p>16 meta-analysis. I'm not familiar with that.</p> <p>17 Q. Okay.</p> <p>18 A. But, again, as I mentioned,</p> <p>19 meta-analysis, if you take bunches of poor quality</p> <p>20 studies, you're not going to get out of that</p> <p>21 magically a good quality study. If you take dog</p> <p>22 doo and make a lot of dog doo, you still have dog</p> <p>23 doo. So you have to be careful on those types of</p> <p>24 analyses. And that's why they mention here in</p> <p>25 this Cochrane one, the quality, at most, was</p>

16 (Pages 58 to 61)

Daniel Steven Elliott, M.D.

Page 62	Page 64
<p>1 moderate, and they indicate the reason why.</p> <p>2 Q. Do you rely on meta-analyses?</p> <p>3 A. I look -- I'm a reviewer for</p> <p>4 15 different journals, and twice been awarded the</p> <p>5 best reviewer in Journal of Urology. I look at</p> <p>6 them with skepticism, because it's just -- again,</p> <p>7 as I mentioned, you have to know what goes on on</p> <p>8 each and every study to know if it's a good</p> <p>9 quality study. If you take a lot of good quality</p> <p>10 studies and put them together, that's quality.</p> <p>11 And that's why there's going to be selection, and</p> <p>12 that's why certain studies won't meet criteria.</p> <p>13 But if you just take everything and analyze it,</p> <p>14 again, it's the -- a lot of dog doo. You got a</p> <p>15 big dog doo at the end.</p> <p>16 Q. So you are aware there's a Cochrane</p> <p>17 Review for the pubovaginal sling published by</p> <p>18 Remmen.</p> <p>19 A. I don't recall that title. I'd like</p> <p>20 to see that one. I don't recall that one.</p> <p>21 Q. Let me ask you this: Do you know if</p> <p>22 there's a Cochrane Review that analyzes the</p> <p>23 pubovaginal sling?</p> <p>24 A. Yes. By Remmen.</p> <p>25 Q. So if I mispronounce a name, you can</p>	<p>1 A. There's a paper by Chaken, et al.</p> <p>2 There's another one by McGuire's group at</p> <p>3 University of Michigan, both of which had</p> <p>4 100 percent patient involvement. Some up to --</p> <p>5 involvement. Contact. So zero dropout rate</p> <p>6 except for a death, and up to 10 years of</p> <p>7 follow-up.</p> <p>8 Q. Neither one of those studies are</p> <p>9 randomized control trials; correct?</p> <p>10 A. Correct.</p> <p>11 Q. They were both retrospective cohort</p> <p>12 studies; correct?</p> <p>13 A. Yeah. The data was prospectively</p> <p>14 gathered, retrospectively reviewed.</p> <p>15 Q. And they were single center studies;</p> <p>16 correct?</p> <p>17 A. Correct.</p> <p>18 Q. And Ed McGuire is the surgeon you're</p> <p>19 referring to out of Michigan; correct?</p> <p>20 A. Well, he was actually down in Houston</p> <p>21 at the time that he wrote it, but he had been in</p> <p>22 Michigan.</p> <p>23 Q. For the Burch colposuspension, are</p> <p>24 there any high quality studies that you're aware</p> <p>25 of?</p>
Page 63	Page 65
<p>1 answer yes and correct me. I'm okay with that.</p> <p>2 And the quality of evidence on the</p> <p>3 pubovaginal slings by Remmen was noted to be poor;</p> <p>4 correct?</p> <p>5 A. I don't recall. I'd have to see that.</p> <p>6 I have no reason to think -- I have no reason to</p> <p>7 think that you would be wrong with that, though.</p> <p>8 I'm going to see if I have that the study. Yeah.</p> <p>9 I don't -- without knowing how to spell it, I</p> <p>10 don't know how to find it. Okay.</p> <p>11 Q. You would agree that overall the</p> <p>12 quality of studies on pubovaginal slings is poor?</p> <p>13 A. I would say the overall studies on</p> <p>14 incontinence, in general, are moderate to poor.</p> <p>15 There are very few high quality studies out there.</p> <p>16 Q. But my question is specific to the</p> <p>17 autologous pubovaginal sling. You would agree for</p> <p>18 the autologous pubovaginal sling, the quality of</p> <p>19 evidence on that procedure is poor?</p> <p>20 A. As with all the other treatments, I</p> <p>21 would agree with you, yes.</p> <p>22 Q. You mentioned there were a few high</p> <p>23 quality studies. What would those be?</p> <p>24 A. For which procedure?</p> <p>25 Q. For the autologous pubovaginal sling.</p>	<p>1 A. Yeah, there are several. I have a</p> <p>2 Langer, et al., 10 to 15 years of follow-up, Burch</p> <p>3 colposuspension, from internal -- International</p> <p>4 Urogyn Journal.</p> <p>5 Q. Do you recall what the loss to</p> <p>6 follow-up was in the Langer Burch paper?</p> <p>7 A. Of the 156 patients, 29 were admitted</p> <p>8 for not completing a 10-year follow-up. 8</p> <p>9 patients died. Can't blame them for that. 21</p> <p>10 could not be located. So actually -- so they</p> <p>11 had -- death would not factor into it. So you</p> <p>12 have 21 out of 1156 were lost to follow-up.</p> <p>13 Q. The 29 patients, what happened with</p> <p>14 them?</p> <p>15 A. Well, that's what I'm saying. 29</p> <p>16 patients were not studied. 8 died.</p> <p>17 Q. Okay.</p> <p>18 A. And 21 could not be located. So that</p> <p>19 equals a percentage of 13 percent lost to</p> <p>20 follow-up.</p> <p>21 Q. And one of the issues or problems with</p> <p>22 longer term studies is that patients can die,</p> <p>23 succumb to mortality, as you follow over a decade</p> <p>24 or more; right?</p> <p>25 A. Correct.</p>

17 (Pages 62 to 65)

Daniel Steven Elliott, M.D.

Page 66	Page 68
<p>1 Q. And that's recognized in the field as</p> <p>2 an issue when looking at randomized -- strike</p> <p>3 that.</p> <p>4 When looking at longer term studies?</p> <p>5 A. Yes and no with that. Death is looked</p> <p>6 at differently than loss -- than a true loss to</p> <p>7 follow-up. They had the 21 patients that were not</p> <p>8 able to be located. Those are important. The 8</p> <p>9 that died are still important. It's sad they</p> <p>10 died, but you look at that data differently. And</p> <p>11 statistically it's different. And that's a</p> <p>12 follow-up over 12.4 years, median follow-up.</p> <p>13 And you also asked the question about</p> <p>14 other studies. There's also Herbertsson, et al.,</p> <p>15 H-e-r-b-e-r-t-s-o-n, and then I'll spell the next</p> <p>16 one, K-j-o-e-h-e-d-e, which had 14-year follow-up,</p> <p>17 and those are specifically on Burch. So here's</p> <p>18 three studies with greater than 10 years of</p> <p>19 follow-up.</p> <p>20 Q. Can I see the paper you were looking</p> <p>21 at real quick. Can we mark this, Doctor, as an</p> <p>22 exhibit?</p> <p>23 A. Sure.</p> <p>24 MR. SNELL: What number.</p> <p>25 (Exhibit 6 marked.)</p>	<p>1 to search for that.</p> <p>2 Q. Isn't 3.9 percent rate of dyspareunia</p> <p>3 with the Burch acceptable?</p> <p>4 A. Well, I think ideally you want a zero</p> <p>5 percent dyspareunia, but you'd have to know and</p> <p>6 which this study does not have, which I would</p> <p>7 critique if I were reviewing it, is a qualifier of</p> <p>8 how bad that dyspareunia is. Is it dryness or is</p> <p>9 it a complete inability to have intercourse due to</p> <p>10 pain, but it says 3.9 percent.</p> <p>11 Q. Right. And my question is: Is that</p> <p>12 3.9 percent rate of dyspareunia with the Burch in</p> <p>13 the paper review reference acceptable?</p> <p>14 MR. CARTMELL: Object to the form.</p> <p>15 A. Again, I need to know if it was</p> <p>16 de novo or not.</p> <p>17 Q. BY MR. SNELL: So you can't answer my</p> <p>18 question?</p> <p>19 A. I would, if I can find dyspareunia in</p> <p>20 here, where they discuss it. Yeah. I don't see</p> <p>21 it. We can take a long time. I can search for</p> <p>22 it. But I would need to see how they're</p> <p>23 describing it in those things.</p> <p>24 Q. I didn't see it either.</p> <p>25 A. That is an issue with many studies.</p>
Page 67	Page 69
<p>1 Q. BY MR. SNELL: Look at table 5,</p> <p>2 Doctor.</p> <p>3 A. I'm there.</p> <p>4 Q. There's a 22 percent rate of detrusor</p> <p>5 instability; correct?</p> <p>6 A. That is what they quote, yes.</p> <p>7 Q. And what is that?</p> <p>8 A. That -- I'd have to see how they</p> <p>9 define it. De novo detrusor instability was found</p> <p>10 in 17 patients. So that means, following the</p> <p>11 procedure, it caused de novo overactive bladder</p> <p>12 symptoms. So their overall rate they state is 29.</p> <p>13 But only 17 of those were caused by the procedure.</p> <p>14 Q. Okay. So about two-thirds were caused</p> <p>15 by the procedure?</p> <p>16 A. Yeah. 58 percent. So 17 out of 127</p> <p>17 had de novo. 13 percent. So when you look at</p> <p>18 graphs and tables, that's why it's difficult to be</p> <p>19 a good reviewer. You have to look at the whole</p> <p>20 big picture. Not just one graph.</p> <p>21 Q. All right. The rate of dyspareunia</p> <p>22 was 3.9 percent in this Burch study?</p> <p>23 A. That is what they quote. Again, I'd</p> <p>24 have to look at the study exactly, if that's</p> <p>25 de novo or if that's preexisting or not. I'd have</p>	<p>1 It is not included. That's why we keep saying</p> <p>2 moderate quality. No. There's only -- in the</p> <p>3 document there's only one time they mention</p> <p>4 dyspareunia, and it's in that graph. So there's</p> <p>5 no qualifiers to it.</p> <p>6 Q. But it's still a paper you pointed me</p> <p>7 to as important with regard to the Burch</p> <p>8 colposuspension; correct?</p> <p>9 A. That is correct.</p> <p>10 Q. Back to the Cochrane Review. We were</p> <p>11 looking at the Results section in the fourth</p> <p>12 paragraph. It says, "The overall rate of vaginal</p> <p>13 tape erosion/extrusion/exposure was low in both</p> <p>14 groups." It was 21 out of 1,000 for retropubic</p> <p>15 mid-urethral sling.</p> <p>16 Do you see that?</p> <p>17 A. That is what they state for the study,</p> <p>18 yes.</p> <p>19 Q. That's 2.1 percent; correct?</p> <p>20 A. That is -- that is what they state,</p> <p>21 yes.</p> <p>22 Q. The 2.1 percent would be the incidents</p> <p>23 of the mesh exposure; correct?</p> <p>24 A. Well, that's what they state with the</p> <p>25 understanding that these are short-term, moderate</p>

18 (Pages 66 to 69)

Daniel Steven Elliott, M.D.

Page 70	Page 72
<p>1 quality studies, within the hands of high-quality 2 large volume surgeons. 3 Q. So these 31 trials that they assess, 4 did all of those trials involve short-term 5 follow-up? 6 A. Well, in the situation of meshes, this 7 is an implantable permanent medical device. 8 Anything short-term -- or short of lifelong 9 follow-up is going to be inadequate, from my 10 perspective. So this is going to be short-term. 11 I doubt any of these are over 10 years, and even 12 that, in my opinion, is inadequate. But you'd 13 have to look at each individual study to find out 14 what follow-up duration was. 15 MR. SNELL: Move to strike as 16 nonresponsive. 17 Q. BY MR. SNELL: The 31 trials that were 18 assessed, is it your testimony that all of those 19 trials are short-term trials? 20 MR. CARTMELL: Object to the form. 21 A. I would have to see this complete 22 document to see each of those follow-ups to see if 23 they're adequate or not. 24 Q. BY MR. SNELL: Is there any lifelong 25 follow-up data on the Burch colposuspension,</p>	<p>1 Q. BY MR. SNELL: Let me reask the 2 question. 3 For the Burch colposuspension, are 4 there any studies that have lifelong follow-up of 5 the patients? 6 A. As I stated, the Burch is not a 7 medical device. So, no, there are no long-term 8 studies, but there don't need to be because 9 there's no permanent implantable product in the 10 patient. 11 Q. But the Burch can lead to dyspareunia, 12 just like the paper you showed me; right? 13 A. No. I disagree with that. As I 14 stated, dyspareunia was recorded, but I have no 15 idea the preoperative incidence of dyspareunia. 16 Q. So it's not important to track 17 dyspareunia with the Burch colposuspension? 18 A. No. You are spinning my words. 19 That's incorrect. I stated, in that paper there's 20 one word of dyspareunia. I don't know; did 21 10 percent have dyspareunia preop? They don't 22 mention it. Hence the quality of the paper goes 23 down. 24 So from your argument, the 10 percent 25 could have been preop, now it's down 3.9. So they</p>
Page 71	Page 73
<p>1 reporting a mean follow-up of 30, 40, 50, 60 years 2 in women? 3 A. Well, as you've pointed out, it's not 4 a medical device. There doesn't need to be. 5 There can be for efficacy, but for safety and 6 complications, that's going to be all 7 perioperative. So there does not need to be. 8 You're comparing apples to oranges. 9 MR. SNELL: Move to strike as 10 nonresponsive. 11 Q. BY MR. SNELL: For the Burch 12 colposuspension, are there any lifelong follow-up 13 studies? 14 MR. CARTMELL: Objection. Asked and 15 answered. He just answered your question. 16 MR. SNELL: I don't care whether he 17 thinks it's necessary or not. I'm asking him is 18 it -- all right. Do those exist. That's a yes or 19 no or he doesn't know. 20 MR. CARTMELL: Well, he said no and 21 explained why it's not important. 22 MR. SNELL: I don't think he said no, 23 Tom. He gave me a speech. 24 MR. CARTMELL: Well, you can say no, 25 and explain again why it's not important.</p>	<p>1 did a good job. 2 Q. Do you know which way it went? 3 A. As I stated, the paper does not 4 mention that. 5 Q. Is it important to track dyspareunia 6 with the Burch colposuspension? 7 MR. CARTMELL: Object to the form. 8 A. Dyspareunia and safety of the device 9 is always important to track. It's going to be 10 different for different products. If you have a 11 permanent implantable device, you have to follow 12 it lifelong. If you have a device that's 13 absorbed, gone away, it's not as important to 14 follow. 15 Q. BY MR. SNELL: So it's not as 16 important to follow dyspareunia with the Burch 17 colposuspension; is that what you're saying? 18 A. For as long a duration. 19 Q. Is it important to follow and assess 20 dyspareunia with the Burch colposuspension out to 21 10 years? 22 A. It would be an interesting fact. 23 However, again, there's no permanent devices 24 placed in a woman. So I am more concerned about 25 the shorter term, five years, those type things.</p>

19 (Pages 70 to 73)

Daniel Steven Elliott, M.D.

<p style="text-align: right;">Page 74</p> <p>1 But even that, the suture's absorbed. It's healed 2 up. So really you can't compare TVT mesh, or any 3 mesh for that matter, and the Burch or autologous 4 fascia for that matter. 5 Q. There's scarring when you do a Burch 6 colposuspension; correct? 7 A. Yes. By six weeks it's healed up. 8 Q. And it's not important to assess 9 whether there's any painful scarring in a Burch? 10 A. Absolutely there is, but the duration 11 of the follow-up, the perioperative morbidity is 12 extremely important. But after you've done the 13 surgery, and there's healing that's happened, 14 which 98 percent happens at six weeks, one, two, 15 five-year data is important to look at. But it's 16 not as important because you don't have the 17 progressive scarring, et cetera, that you see with 18 the polypropylenes. 19 Q. How would one go about assessing the 20 lifelong -- give me a second. 21 Can I see the exhibits. 1, 2, 3. You 22 can hold on to this one. The Burch study we 23 marked a minute ago. 24 A. Oh, I'm sorry. I took that back. 25 There you go.</p>	<p style="text-align: right;">Page 76</p> <p>1 sling, as you described. 2 MR. SNELL: Move to strike everything 3 before "it has not been done." 4 Q. BY MR. SNELL: A registry being 5 mandatory with monitoring yearly until the death 6 of all women has never been performed for the 7 Burch colposuspension; correct? 8 A. As I've mentioned already, because 9 there's no permanent device implanted in the 10 woman, it is not necessary, but to answer your 11 question, yes. 12 MR. SNELL: Move to strike everything 13 before "to answer your question, yes" as 14 nonresponsive. 15 Q. BY MR. SNELL: For any stress urinary 16 incontinence surgery that's ever been performed 17 that you are aware of, has there ever been a 18 registry conducted that was mandatory that 19 monitored every woman yearly until her death? 20 A. Unfortunately, no. And that's why 21 we're in the situation we're in now. 22 Q. Looking back at the Cochrane Review 23 you cited in your expert report -- 24 A. Yes, sir. 25 Q. -- it says in the next paragraph, "A</p>
<p style="text-align: right;">Page 75</p> <p>1 Q. Okay. That way she has it. 2 A. Okay. 3 Q. You have 5 over there? 4 A. Oh, I'm sorry. I'm taking those. 5 Q. That's okay. 6 All right. You can hold on to that 7 one. I still have some questions. 8 How would one go about conducting a 9 lifelong study on the Burch colposuspension? 10 A. A registry would be mandatory where 11 these individuals are followed. And you can't 12 have a 30 or 40 or 50 percent fallout rate. And 13 they have to be monitored on a yearly basis until 14 death. And then the true complication rate in 15 those highly experienced surgeons' hands would 16 then be known. 17 Q. And a registry being mandatory 18 monitored yearly until a woman's death has never 19 been performed for the autologous pubovaginal 20 sling; correct? 21 A. Again, for the same mentioned -- as 22 the reasons I mentioned for the Burch. There's no 23 permanent implantable device placed in that woman. 24 So the perioperative morbidity is very important, 25 but it has not been done for the pubovaginal</p>	<p style="text-align: right;">Page 77</p> <p>1 retropubic bottom-to-top route was more effective 2 than top-to-bottom route for subjective cure." 3 Do you see that? 4 A. That is what is stated, yes. 5 Q. And the TVT is the retropubic 6 bottom-to-top route; correct? 7 A. As far as I know, that is the only 8 bottom -- with the understanding -- let me back 9 up. 10 With the understanding that from my 11 understanding at this point right now, TVT is the 12 only one on the market bottom-up. So I don't know 13 if there's another one on the market. 14 Q. You have looked at the -- you looked 15 at the entire Cochrane Review from 4/2015 over -- 16 I think it's over 200 pages? 17 A. Very long document, yes. 18 Q. Right. Right. Right. And you saw 19 that the retropubic bottom-to-top studies were 20 studies that assessed the TVT retropubic device; 21 correct? 22 A. I don't recall that. Again, I have no 23 reason to doubt that. I'm just saying, there are 24 a lot of companies that used to make slings, 25 Boston Scientific, Bard, et cetera. I just don't</p>

20 (Pages 74 to 77)

Daniel Steven Elliott, M.D.

Page 78	Page 80
<p>1 know of another one. If that study says there's</p> <p>2 only one bottom-up and it's the TVT, I can't</p> <p>3 disagree with that. I just don't know right now.</p> <p>4 Q. You certainly know that the TVT</p> <p>5 retropubic device has been studied in more</p> <p>6 randomized control trials than any other stress</p> <p>7 urinary incontinence surgical device; correct?</p> <p>8 MR. CARTMELL: Object to the form.</p> <p>9 A. I have -- I have heard a lot of facts</p> <p>10 like that. I have never independently verified</p> <p>11 that to be true, but I don't doubt its existence.</p> <p>12 Q. BY MR. SNELL: It says the retropubic</p> <p>13 bottom-to-top route also "incurred significantly</p> <p>14 less voiding dysfunction and led to fewer bladder</p> <p>15 perforations and vaginal tape erosions"; correct?</p> <p>16 A. That is what they state, yes.</p> <p>17 Q. And those would be benefits of using a</p> <p>18 retropubic bottom-to-top route like the TVT</p> <p>19 retropubic employs as compared to a top-to-bottom</p> <p>20 route; correct?</p> <p>21 MR. CARTMELL: Object to the form.</p> <p>22 A. Well, correct except that Ethicon</p> <p>23 makes a TVT-AA, which is top-to-bottom. So based</p> <p>24 upon what they're saying here, TVT-AA would be</p> <p>25 included in the top-to-bottom. So this would be</p>	<p>1 Q. It wouldn't surprise you to learn that</p> <p>2 there were no randomized control trials on the</p> <p>3 Supris; correct?</p> <p>4 A. As I stated earlier, I was unaware of</p> <p>5 any, and hence the reason why sling data is bad.</p> <p>6 Or poor quality, let's put it that way.</p> <p>7 Q. Have you conducted an analysis of the</p> <p>8 literature regarding slings to see whether any of</p> <p>9 the other manufacturers' polypropylene slings have</p> <p>10 been subjected to more randomized control trials</p> <p>11 than the Ethicon TVT retropubic device?</p> <p>12 A. I have not done any independent</p> <p>13 research on that.</p> <p>14 Q. Have you done any PubMed searches to</p> <p>15 assess how many hundreds or thousands of studies</p> <p>16 there are on the TVT retropubic? And when I say</p> <p>17 TVT -- strike that.</p> <p>18 When I say studies, I'm not limiting</p> <p>19 it just to randomized control trials.</p> <p>20 A. I understand.</p> <p>21 Q. I mean cohort studies, studies that</p> <p>22 would comport with the level of evidence pyramid,</p> <p>23 levels 2 and 3 that you identified.</p> <p>24 MR. CARTMELL: Object to the form.</p> <p>25 A. My methodology that I use when I</p>
Page 79	Page 81
<p>1 very worrisome that perhaps that TVT product</p> <p>2 employed in that fashion is actually more</p> <p>3 dangerous.</p> <p>4 Q. BY MR. SNELL: Have you ever assessed</p> <p>5 the literature on the TVT-AA device?</p> <p>6 A. There's limited data out there.</p> <p>7 Q. But have you assessed it?</p> <p>8 A. Yes, I have assessed it, and there's</p> <p>9 limited data on it.</p> <p>10 Q. And how does the voiding rates compare</p> <p>11 between the TVT retropubic and then the top-down</p> <p>12 TVT?</p> <p>13 A. The data overall with all sling</p> <p>14 products is very poor. With TVT-AA it's even</p> <p>15 worse. So I don't know. I cannot quote you a</p> <p>16 study looking at that, but I'm just saying the</p> <p>17 Cochrane analysis possibly raises the issue of a</p> <p>18 TVT-AA.</p> <p>19 Q. As you sit here today, you don't know,</p> <p>20 though whether the TVT-AA was assessed in</p> <p>21 top-to-bottom in the Cochrane Review?</p> <p>22 A. That's what I'm saying.</p> <p>23 Q. Do you know whether the Supris was</p> <p>24 assessed in this Cochrane Review?</p> <p>25 A. I don't know.</p>	<p>1 approach any of these projects is going to involve</p> <p>2 multiple different facets, but one of them is</p> <p>3 using the PubMed search engine, which is -- as far</p> <p>4 as I know, the largest search engine available,</p> <p>5 funded by the NIH. And when I search just TVT,</p> <p>6 only TVT, it comes up with about 1300 papers. But</p> <p>7 that's going to be TVT-Secur, TVT-AA, TVT -- all</p> <p>8 the TVTs.</p> <p>9 Q. BY MR. SNELL: Did you do any other</p> <p>10 search string modifiers like "tension-free vaginal</p> <p>11 tape"?</p> <p>12 A. I don't recall that --</p> <p>13 Q. TVT retropubic?</p> <p>14 A. I don't -- well, TVT is going to</p> <p>15 capture all TVTs. Tension-free vaginal tape -- I</p> <p>16 don't recall if I used that, I may have. But I</p> <p>17 searched multiple different factors looking at,</p> <p>18 you know, mesh complications associated with those</p> <p>19 things.</p> <p>20 Q. How many studies on TVT did you locate</p> <p>21 on PubMed?</p> <p>22 A. I found roughly 1300 on all TVT</p> <p>23 products, the entire product line.</p> <p>24 On just TVT retropubic or TVT classic,</p> <p>25 I can't give you a number.</p>

21 (Pages 78 to 81)

Daniel Steven Elliott, M.D.

Page 82	Page 84
<p>1 Q. Okay. How would the TVT retropubic 2 have less voiding dysfunction than a top-to-bottom 3 device like the Sparc that you used? 4 A. With my training in neurophysiology, 5 neuroanatomy and bladder dysfunction, it does not 6 make any intuitive sense why that difference would 7 be. You're passing a trochar up -- from bottom up 8 or top down, you should be -- there's -- the 9 voiding dysfunction should be identical. 10 There's going to be variables, such as 11 the mesh, the experience of the surgeon, the 12 amount of tension placed on it, the patient 13 factors in there. That's where the Cochrane 14 analysis -- we don't know; were the patients 15 morbidly obese; were they diabetics; their 16 previously existing bladder dysfunction. All 17 those factors I don't know. 18 Q. So I guess the answer to my question 19 then would be, you do not know how there would be 20 less voiding dysfunction seen with the TVT 21 retropubic as compared to a top-to-bottom device 22 like the Sparc; correct? 23 MR. CARTMELL: Object to the form. 24 Asked and answered. 25 A. Well, the statement, quote/unquote, I</p>	<p>1 incision you did when you used the Sparc? 2 A. Be 1 to 1.5 centimeters. 3 Q. And what was the other top-to-bottom 4 device you used? 5 A. The Supris. 6 Q. Supris. What was the size of the 7 vaginal incision you used with the Supris? 8 A. Same thing. 1 to 1.5 centimeters, 9 mid-urethral. 10 Q. And did you do blind passage of the 11 trochars with any of those devices? 12 A. Correct. With the Supris and the 13 Sparc, that is the identical length of blind 14 passage as with the TVT. 15 Q. And did you do blind passage with any 16 of the transobturator slings you performed? 17 A. Yes. But it's a degree -- significant 18 degree less, because you have your finger in the 19 obturator foramen. So you're passing that around 20 the obturator foramen, which is about 21 1 centimeter, but that would be blind. 22 Q. All right. You would use your finger 23 and that's known as haptic or tactile feedback; 24 correct? 25 A. I suppose. It is tactile. It's</p>
Page 83	Page 85
<p>1 don't know, implies I haven't thought about it. 2 I've thought a lot about it. It does not -- I 3 cannot come up, to answer your question, with a 4 logical explanation why that's occurring. There's 5 a variable we don't know. Is it poor quality 6 studies? Patient variables? Those issues. As I 7 mentioned earlier in the previous question. 8 Q. Okay. How is it that the TVT 9 retropubic would have less vaginal tape erosions 10 than a top-to-bottom route, such as the Sparc that 11 you use? 12 A. Well, I do not use the Sparc and 13 haven't used it for 10 years or so. Or less than 14 that. Excuse me. 15 But, again, we have to include in 16 there -- unless you can show me in the Cochrane 17 study does not include the TVT-AA, that there can 18 be some of the Ethicon product in there. 19 But to answer your question, it does 20 not make logical sense, based upon the anatomical 21 approach, to have more or less or vaginal 22 extrusions. That's why there's going to be some 23 of a variable in there that we don't know in these 24 studies. 25 Q. What was the size of the vaginal</p>	<p>1 feedback. Yes, you're right. 2 Q. And that's commonly done in pelvic 3 surgery? 4 A. Pelvic surgery does a lot of surgery 5 by proprioception. Yes, by feel. 6 Q. And for the autologous transobturator 7 pubovaginal sling, part of that procedure is 8 blind; correct? 9 A. No. I disagree with that because when 10 you do a different dissection, you dissect through 11 the endopelvic fascia bilaterally. You dissect 12 along the pubic bone up to the rectus muscle. 13 Then you're able to palpate from your incision in 14 the abdomen, feel right where your finger is. So 15 you pass it through the rectus muscle and then on 16 to your finger. So there's no blind passage of 5 17 to 10 centimeters like with the Sparc or the TVT. 18 Q. But there is a blind package in that 19 procedure. It's just shorter; correct? 20 A. A significant -- well, no, there's no 21 organs that can get away. That's why there's no 22 bladder perforation, or extremely rare. In my 23 experience, I've never perforated the bladder with 24 it. Where I had a 10 percent Sparc bladder 25 perforation. And you're passing it right onto</p>

22 (Pages 82 to 85)

Daniel Steven Elliott, M.D.

Page 86	Page 88
<p>1 your finger. So there's -- you know, we can</p> <p>2 splice and say, yes, there is some blind passage,</p> <p>3 but it's right onto your finger. So you're</p> <p>4 passing it through the rectus muscle. So you're</p> <p>5 talking a centimeter.</p> <p>6 Q. In the autologous pubovaginal sling</p> <p>7 placement there's blind passage performed;</p> <p>8 correct?</p> <p>9 A. I've already answered that. That's</p> <p>10 what I just stated.</p> <p>11 Q. I'm not talking about the</p> <p>12 transobturator.</p> <p>13 A. Oh, I'm sorry. You said</p> <p>14 transobturator?</p> <p>15 Q. In the autologous pubovaginal sling</p> <p>16 that you do.</p> <p>17 A. Isn't that what I just answered</p> <p>18 already?</p> <p>19 Okay. I mean, that's the same answer</p> <p>20 as what I just stated. That your finger's right</p> <p>21 up there against the rectus muscle. The needle</p> <p>22 goes right through the rectus muscle onto your</p> <p>23 finger. So there's no blind passage, like the 5</p> <p>24 to 10 centimeters like with the TVT or the Sparc.</p> <p>25 Q. I may have got confused or maybe you</p>	<p>1 in the Langer paper; correct?</p> <p>2 A. Correct.</p> <p>3 Q. And then the Kjoehede. And I'm not</p> <p>4 sure if I'm pronouncing that correct.</p> <p>5 Do you know if that's right?</p> <p>6 A. Yeah. My Swedish is not very good.</p> <p>7 But that would be reference number 9.</p> <p>8 Q. Okay.</p> <p>9 A. Correct.</p> <p>10 Q. And do you know what percent of the</p> <p>11 women were dry in follow-up in the Kjoehede study?</p> <p>12 A. I do not. I'd have to look at the</p> <p>13 study.</p> <p>14 Q. Do you know what percentage of the</p> <p>15 women were dry in follow-up of the Herbertsson</p> <p>16 study?</p> <p>17 A. No, I'd have to look at the study.</p> <p>18 Q. And I think that's spelled can</p> <p>19 H-e-r-b-e-r-t-s-s-o-n, published in Acta, A-c-t-a,</p> <p>20 Obstet Gynecol Scand, 1993, volume 72, pages 298</p> <p>21 to 301.</p> <p>22 Correct?</p> <p>23 A. That is correct, yes.</p> <p>24 Q. And looking back at the Cochrane</p> <p>25 Review that we were discussing, under the author's</p>
Page 87	Page 89
<p>1 didn't hear my earlier question right.</p> <p>2 For the autologous transobturator</p> <p>3 pubovaginal sling, that was my initial set of</p> <p>4 questions.</p> <p>5 Those involve blind passage; correct?</p> <p>6 A. That would be the same -- actually,</p> <p>7 less than with the mesh slings because we dissect</p> <p>8 deeper right underneath the muscle. So the same</p> <p>9 answer would be for the abdomen as with this.</p> <p>10 We're passing it through the obturator foramen</p> <p>11 onto your finger. So it has no chance of getting</p> <p>12 into the bladder. So if you want to define that</p> <p>13 as blind, I'll give that to you, but it's a --</p> <p>14 it's a safe passage. It's right on your finger.</p> <p>15 I'm sorry. I misunderstood your first question.</p> <p>16 MR. SNELL: It's okay. Let's take a</p> <p>17 break. We've been going for a bit. I want to use</p> <p>18 the restroom, if that's okay.</p> <p>19 MR. CARTMELL: Sure.</p> <p>20 (Recessed from 11:22 a.m. to</p> <p>21 11:41 a.m.)</p> <p>22 Q. BY MR. SNELL: Back on the record.</p> <p>23 Two of the studies you mentioned in</p> <p>24 addition to this study by Langer, L-a-n-g-e-r,</p> <p>25 were studied by Herbertsson, which is reference 8</p>	<p>1 conclusions.</p> <p>2 A. Yes, sir. Sorry.</p> <p>3 Q. You have it there?</p> <p>4 A. Yes, I do. I have both. I have my</p> <p>5 copies and then your copy.</p> <p>6 Q. Great. For the record, can we mark</p> <p>7 your copy, too, then?</p> <p>8 A. Sure.</p> <p>9 Q. Just so I can look at it at some</p> <p>10 point.</p> <p>11 (Exhibit 7 marked.)</p> <p>12 Q. BY MR. SNELL: So Exhibit 7 is your</p> <p>13 copy of this Cochrane Review by Ford, et al. we've</p> <p>14 been discussing?</p> <p>15 A. That is correct. This is the abstract</p> <p>16 off of PubMed.</p> <p>17 Q. Okay. And under the author's</p> <p>18 conclusions, it says, "mid-urethral-urethral sling</p> <p>19 operations have been the most extensively</p> <p>20 researched surgical treatment for stress urinary</p> <p>21 incontinence."</p> <p>22 You see that?</p> <p>23 A. Yes, I do.</p> <p>24 Q. And you will agree with that; correct?</p> <p>25 MR. CARTMELL: Object to the form.</p>

23 (Pages 86 to 89)

Daniel Steven Elliott, M.D.

Page 90	Page 92
<p>1 A. Again, I have no reason to doubt it. 2 But I've not done independent research on that 3 knowledge. 4 Q. BY MR. SNELL: Okay. And also it 5 says, "and have a good safety profile." 6 You would agree with that; correct? 7 MR. CARTMELL: Object to the form. 8 A. That statement needs to be taken in 9 the entirety of the paragraph, where they say 10 longer term studies are needed. But that is what 11 they state. 12 Q. BY MR. SNELL: And you agree with 13 that; correct? 14 MR. CARTMELL: Object to the form. 15 You just asked him the question. And he answered 16 it. 17 A. I agree that's what they state. And 18 then it has to be looked at in the entirety of the 19 paragraph where they say longer studies are 20 needed. 21 Q. BY MR. SNELL: And my question to you 22 is: You agree with that conclusion; correct? 23 MR. CARTMELL: Object to the form. 24 Asked and answered. 25 A. I disagree with the conclusion because</p>	<p>1 MR. SNELL: Stop it. Knock it off, 2 Tom. 3 MR. CARTMELL: No, I'm not. 4 MR. SNELL: Knock it off, Tom. 5 MR. CARTMELL: He answered your 6 question no. 7 MR. SNELL: No. 8 MR. CARTMELL: And I'm not going to 9 let you do this again. We're not going to sit in 10 here for seven hours where you ask the same 11 question five times because you don't like his 12 answer. 13 MR. SNELL: It's not about whether I 14 like his answer. 15 MR. CARTMELL: He told you he 16 disagrees with the conclusion. So move on. 17 MR. SNELL: No, he didn't. You're 18 misstating, Tom. 19 MR. CARTMELL: Tell him again. 20 MR. SNELL: You're giving speaking 21 objections on the record. 22 MR. CARTMELL: We're going to do this 23 once. 24 MR. SNELL: This is my question. 25 MR. CARTMELL: We're not going to do</p>
Page 91	Page 93
<p>1 longer studies have not been done. 2 Q. BY MR. SNELL: Well, you agree that 3 mid-urethral sling operations have a good safety 4 profile with the caveat that you would like to see 5 more long-term studies done; correct? 6 MR. CARTMELL: Object to the form. 7 That misstates his testimony. And I'm not going 8 to let you do this thing where you do -- you ask 9 four different times the same question, like we 10 did the last time. 11 MR. SNELL: That's fine. 12 MR. CARTMELL: He's asked -- don't 13 answer that. You've answered it three times. 14 MR. SNELL: No, he hasn't. No, he 15 hasn't. 16 MR. CARTMELL: Yes, he has. 17 MR. SNELL: No. 18 MR. CARTMELL: He answered your 19 question. You asked if he agreed with the 20 conclusion. He said no. 21 MR. SNELL: You're wrong, Tom. He 22 said not because of the caveat that it needs more 23 long-term study. So there's my follow-up 24 question, Tom. You're playing games with me. 25 MR. CARTMELL: No, I'm not.</p>	<p>1 it again. 2 MR. SNELL: Just knock it off. This 3 is my question. You're wasting my time. This is 4 your time you're burning here, not mine. 5 Q. BY MR. SNELL: You would agree 6 mid-urethral sling have a good safety profile with 7 the caveat that you, Dr. Elliott, would like to 8 see more long-term data on those procedures; 9 correct? 10 MR. CARTMELL: Object to the form. It 11 misstates his testimony. He's already answered 12 it. 13 A. I disagree with that. 14 Q. BY MR. SNELL: Very well. Would you 15 like to see more long-term data on the autologous 16 pubovaginal sling? 17 A. Long-term studies are always going to 18 be important. However, when we're talking about 19 safety and complications, it's comparing apples to 20 oranges because there is no medical device placed 21 in those patients that's permanent. 22 Q. Can you answer it yes or no? 23 Would you like to see more long-term 24 data on the autologous pubovaginal sling? 25 MR. CARTMELL: Objection.</p>

24 (Pages 90 to 93)

Daniel Steven Elliott, M.D.

Page 94	Page 96
<p>1 Q. BY MR. SNELL: A procedure that you</p> <p>2 perform.</p> <p>3 MR. CARTMELL: Objection. Asked and</p> <p>4 answered.</p> <p>5 A. I don't necessarily know if it is</p> <p>6 actually needed. On efficacy, I would agree with</p> <p>7 you. On safety, I disagree.</p> <p>8 Q. BY MR. SNELL: This paper you gave me</p> <p>9 by Langer on the Burch says that more longer term</p> <p>10 studies are needed on the Burch because of safety;</p> <p>11 doesn't it?</p> <p>12 A. I'd have to look at the study.</p> <p>13 Q. Here. How about we look at the very</p> <p>14 last sentence. "The most significant</p> <p>15 complications are de novo detrusor instability</p> <p>16 (16.6 percent) and anatomical defects</p> <p>17 (18.9 percent), half of which appeared only 5</p> <p>18 years postoperatively, stressing the need for</p> <p>19 long-term follow-up."</p> <p>20 A. I never denied --</p> <p>21 Q. Did I read that correctly?</p> <p>22 A. I have no reason to doubt that you --</p> <p>23 that's the editorial comment. You said the</p> <p>24 author's conclusion. So you read the editorial</p> <p>25 comment. I have it highlighted there.</p>	<p>1 which can occur, but it's not an issue of safety.</p> <p>2 Q. Those authors categorized those two</p> <p>3 issues as complications; didn't they?</p> <p>4 A. They record them as complications;</p> <p>5 that's correct.</p> <p>6 Q. Back to the Cochrane Review that you</p> <p>7 cite in your report. It says that "The</p> <p>8 mid-urethral sling-urethral slings are highly</p> <p>9 effective in the short and medium term, and</p> <p>10 accruing evidence demonstrates their effectiveness</p> <p>11 in the long-term; correct?</p> <p>12 A. That's what they state, yes.</p> <p>13 Q. And you would agree with this paper</p> <p>14 you cited in your report that mid-urethral slings</p> <p>15 are highly effective in the short and medium term?</p> <p>16 MR. CARTMELL: Object to the form.</p> <p>17 A. I will never say that the -- I will</p> <p>18 not -- I agree with you as far as effectiveness.</p> <p>19 I'm never going to be challenging the</p> <p>20 effectiveness of the TVT as far as causing -- or</p> <p>21 in treating urinary incontinence. The question is</p> <p>22 always going to be at what cost.</p> <p>23 Q. BY MR. SNELL: We can agree that the</p> <p>24 TVT retropubic device is effective in the</p> <p>25 treatment of stress urinary incontinence in women?</p>
Page 95	Page 97
<p>1 Q. That's not what I read. I read this.</p> <p>2 A. Okay. Now, number one, you didn't</p> <p>3 show this what you were reading so I don't know</p> <p>4 what you're reading. I go down here, and they say</p> <p>5 longer term studies.</p> <p>6 Q. I'm not reading your highlights. I'm</p> <p>7 reading what I stated.</p> <p>8 A. Okay. That's what the author states.</p> <p>9 I'm not disagreeing with that at all.</p> <p>10 Q. So there is long-term follow-up needed</p> <p>11 on the Burch to assess safety considerations;</p> <p>12 correct?</p> <p>13 MR. CARTMELL: Objection. Asked and</p> <p>14 answered.</p> <p>15 A. They never say safety. They're</p> <p>16 talking about de novo instability and anatomical</p> <p>17 defects, which anatomical defects can occur in any</p> <p>18 woman with any type of -- as long as they have a</p> <p>19 vagina there could be prolapse happening. They're</p> <p>20 not talking safety. They're talking contraction,</p> <p>21 roping, those type of things.</p> <p>22 Q. BY MR. SNELL: They're talking safety;</p> <p>23 aren't they?</p> <p>24 A. They're talking de novo instability.</p> <p>25 Okay. That's new afterwards. Anatomical defects,</p>	<p>1 MR. CARTMELL: Object to the form.</p> <p>2 A. Correct. With the caveat, at what</p> <p>3 cost.</p> <p>4 Q. BY MR. SNELL: All right. There is no</p> <p>5 stress urinary incontinence surgery that is</p> <p>6 performed in women that is more effective than the</p> <p>7 TVT retropubic; correct?</p> <p>8 MR. CARTMELL: Object to the form.</p> <p>9 A. More effective? I would have to look</p> <p>10 at all the literature out there on pubovaginal</p> <p>11 slings, including the Burch. I would say it's</p> <p>12 safe to say that the TVT, as far as efficacy, on</p> <p>13 the average, is going to be -- specifically</p> <p>14 dealing with stress urinary incontinence</p> <p>15 recurrence, is going to be as efficacious as</p> <p>16 pubovaginal and Burch, in properly trained hands.</p> <p>17 Q. BY MR. SNELL: And you've seen a</p> <p>18 conclusion very similar to that which you stated</p> <p>19 about TVT being efficacious in the treatment of</p> <p>20 stress urinary incontinence, as compared to</p> <p>21 pubovaginal slings and the Burch in the</p> <p>22 Ogah/Cochrane Review; correct?</p> <p>23 A. That's correct. Yeah.</p> <p>24 Q. That's a paper --</p> <p>25 A. They state that that -- yeah.</p>

25 (Pages 94 to 97)

Daniel Steven Elliott, M.D.

Page 98	Page 100
<p>1 Q. That's a paper you reviewed; correct?</p> <p>2 A. Correct. Yes.</p> <p>3 Q. You didn't cite the Ogah review in</p> <p>4 your report. Why not?</p> <p>5 A. Because I stayed the Ford one, which</p> <p>6 is an update. So I'm not going to go back to</p> <p>7 Ogah. I'm going to go to the most updated</p> <p>8 literature.</p> <p>9 Q. Ogah compared TVT to the Burch and</p> <p>10 pubovaginal slings, though?</p> <p>11 A. Okay.</p> <p>12 Q. You're aware of that; right?</p> <p>13 A. Yeah.</p> <p>14 Q. Any reason you didn't cite that</p> <p>15 comparative data by Cochrane?</p> <p>16 A. Because that's going to be a Cochrane</p> <p>17 analysis of compiling a meta-analysis, so to</p> <p>18 speak.</p> <p>19 Q. Okay.</p> <p>20 A. So using my methodology there's going</p> <p>21 to be some papers that are not going to included</p> <p>22 and others are going to be included.</p> <p>23 Q. You would agree that there's accruing</p> <p>24 evidence that -- demonstrating the efficacy of TVT</p> <p>25 retropubic in the long-term?</p>	<p>1 A. You'd have to show me that study.</p> <p>2 Q. Well, it's not just one study. I'm</p> <p>3 just saying from your general awareness, are you</p> <p>4 aware that for the original TVT retropubic device</p> <p>5 it has the largest volume of longer term data</p> <p>6 compared to other manufacturers' stress</p> <p>7 incontinence mid-urethral sling devices?</p> <p>8 A. I think that's probably a fair</p> <p>9 statement, yes.</p> <p>10 Q. Have you assessed the literature to</p> <p>11 ascertain how many studies with 10 years follow-up</p> <p>12 or more exist on the TVT retropubic device?</p> <p>13 A. Have I -- I'm sorry. I'm not really</p> <p>14 following your question.</p> <p>15 Have I assessed how many 10-year</p> <p>16 studies there are?</p> <p>17 Q. 10-year or more. Yes, sir.</p> <p>18 A. I looked at the literature. I</p> <p>19 reviewed it. There are studies out there. I</p> <p>20 can't give you a number, though.</p> <p>21 Q. Are you aware if studies that look at</p> <p>22 10 years duration or more specific to the TVT</p> <p>23 retropubic device assess safety issues, such as</p> <p>24 mesh exposure or dyspareunia?</p> <p>25 A. I am unaware of any study that the</p>
Page 99	Page 101
<p>1 MR. CARTMELL: Object to the form.</p> <p>2 Are you talking just efficacy?</p> <p>3 A. Well, again, I'd have to see what</p> <p>4 you're talking about as far as which papers you're</p> <p>5 referring to. But since the product has been in a</p> <p>6 long time, naturally there's going to be longer --</p> <p>7 or hopefully there's going to be longer term</p> <p>8 studies.</p> <p>9 Q. BY MR. SNELL: You're aware there are</p> <p>10 several studies that have a duration of follow-up</p> <p>11 of seven years or more with the TVT retropubic</p> <p>12 device?</p> <p>13 A. Correct.</p> <p>14 Q. I'm not talking about other</p> <p>15 manufacturers' devices.</p> <p>16 A. Yes. There are studies out there,</p> <p>17 yes.</p> <p>18 Q. Due to your -- let me back up.</p> <p>19 I don't know if I asked you this</p> <p>20 question. If I did, I apologize.</p> <p>21 You and I can agree that with regard</p> <p>22 to long-term studies following up on a</p> <p>23 mid-urethral sling that the original TVT</p> <p>24 retropubic has the most long-term data of any of</p> <p>25 those devices?</p>	<p>1 primary end point is on safety with the TVT.</p> <p>2 There can be a paper here and there with large</p> <p>3 amounts of follow-up -- with large amounts of lost</p> <p>4 follow-up that can refer to an erosion or</p> <p>5 exposure.</p> <p>6 Q. So you are aware that in the longer</p> <p>7 term studies with TVT they do assess safety?</p> <p>8 A. You'd have to show me those studies.</p> <p>9 I'm sorry. Because I have to look at those</p> <p>10 studies very carefully. As I mentioned, I am not</p> <p>11 aware of any with the primary end point being on</p> <p>12 safety.</p> <p>13 Q. I didn't ask you about primary end</p> <p>14 point. I asked you about assessing safety, okay?</p> <p>15 Are you aware of TVT retropubic device</p> <p>16 studies looking at it long-term that assess</p> <p>17 safety?</p> <p>18 MR. CARTMELL: Object to the form.</p> <p>19 It's vague and ambiguous as to what you mean by</p> <p>20 assess.</p> <p>21 A. There can be random --</p> <p>22 Q. BY MR. SNELL: They look on and report</p> <p>23 about whether there were mesh erosions, mesh</p> <p>24 exposures, dyspareunia, detrusor instability.</p> <p>25 Are you aware of that?</p>

26 (Pages 98 to 101)

Daniel Steven Elliott, M.D.

Page 102	Page 104
<p>1 A. They can mention -- there are studies 2 out there that mention those various different 3 facts. They also, you know, very rarely talk 4 about contraction because it's not -- those 5 patients aren't examine. They're telephone 6 follow-ups. So, again, I'd have to look at those 7 specific studies and we can analyze that. I'm all 8 for that. But otherwise you're talking somewhat 9 vague for me.</p> <p>10 Q. What studies, long-term studies on TVT 11 are you referencing where patients were not 12 assessed?</p> <p>13 A. Well, no. I'm saying that we'd have 14 to pull out a study and look at it, how many of 15 those patients came back and had a physical exam. 16 How many of them did quality of life surveys. How 17 many of them did global bother index. And those 18 studies are very few. Hence, the reason why all 19 these different societies, the AUA, for example, 20 keep talking about moderate to low quality of 21 studies.</p> <p>22 MR. SNELL: Move to strike as 23 nonresponsive.</p> <p>24 Q. BY MR. SNELL: Admit your primary end 25 point on safety.</p>	<p>1 we're comparing apples to oranges.</p> <p>2 MR. SNELL: Move to strike everything 3 before "But to answer your question."</p> <p>4 Q. BY MR. SNELL: On the Cochrane Review 5 that you cite in your report, the last page they 6 say, referencing mid-urethral sling operations, 7 are suitable for women who have -- who are having 8 their first operation to prevent incontinence and 9 also women who have had unsuccessful surgery 10 previously.</p> <p>11 A. I'm sorry. I don't know where you 12 are.</p> <p>13 Q. Back --</p> <p>14 A. You're in the Author's conclusions?</p> <p>15 Q. Background information.</p> <p>16 A. Oh, Background.</p> <p>17 Q. It's the next page, if you flip it 18 over. Are you with me now?</p> <p>19 A. Yeah. Which paragraph are you on on 20 Background?</p> <p>21 Q. Second paragraph.</p> <p>22 A. Second paragraph starting with, "Over 23 the years"?</p> <p>24 Q. Second sentence.</p> <p>25 A. It starts, "Over the years"?</p>
Page 103	Page 105
<p>1 How many Burch or pubovaginal sling 2 studies are you aware of that have long-term 3 follow-up that have a primary end point of safety?</p> <p>4 A. And you -- with -- oh, Burch or 5 pubovaginal.</p> <p>6 I'm aware of pubovaginal because 7 that's the procedure I'm doing. So I'm going to 8 be more focused on that. That have 8 to 10-year 9 follow-up where global bother index and distress 10 inventories have been obtained.</p> <p>11 Q. Right. But how many of those had a 12 primary end point of safety?</p> <p>13 A. It was part of the study. It was not 14 the primary end point.</p> <p>15 Q. Just like the TVT studies; right? It 16 was part of the study?</p> <p>17 MR. CARTMELL: Object to the form.</p> <p>18 A. Incorrect. As I've mentioned before, 19 pubovaginal slings and Burch are not a permanent 20 medical device that's implanted in a woman. 21 Therefore, the bar is changed for the pubovaginal 22 and Burch, okay.</p> <p>23 But to answer your question, I am 24 aware -- I am not aware of any primary end point 25 on safety with those other ones. But, again,</p>	<p>1 Q. Yes.</p> <p>2 A. And second sentence, "These operations 3 are suitable for women...."</p> <p>4 Okay. Yes, I see that statement. 5 Yes.</p> <p>6 Q. Would you agree that the TVT 7 retropubic device is suitable for women who are 8 having their first operation to prevent 9 incontinence?</p> <p>10 A. I disagree strongly with that unless 11 the caveat is that the woman and the physician 12 have been fully warned of all the complications 13 known.</p> <p>14 Q. A little bit further down, we were 15 talking about long-term studies. And they talk 16 about the main findings of this review.</p> <p>17 A. Under Author's conclusions?</p> <p>18 Q. Right here. We were here.</p> <p>19 A. Yeah.</p> <p>20 Q. So Main findings.</p> <p>21 A. Yes, sir.</p> <p>22 Q. So under the Main findings of the 23 review, they stated that the trial showed over 24 80 percent of women with stress urinary 25 incontinence are cured or have significant</p>

27 (Pages 102 to 105)

Daniel Steven Elliott, M.D.

Page 106	Page 108
<p>1 improvement in their symptoms with either 2 operation for up to five years after surgery. 3 A. Yes, I see that statement. 4 Q. Is that an accurate statement? 5 A. That is the findings of their studies. 6 Q. Do you -- 7 A. And I have never -- and as you look at 8 my expert report, ever challenged TVT's efficacy. 9 That's not an issue with me. It's at what cost. 10 Q. At the end of that paragraph it says, 11 "The evidence that we have been able to assess 12 indicates that the positive effects persist." 13 Do you see that? 14 A. Yes, I see it. 15 Q. You did not challenge that statement 16 either; correct? 17 MR. CARTMELL: Object to the form. 18 A. The evidence that they're saying is 19 they're talking about the durability of the 20 treatment for stress urinary incontinence. As I 21 mentioned, I'm not challenging that. The question 22 is at what cost. 23 Q. BY MR. SNELL: Yeah. We can agree TVT 24 retropubic -- that that device has durability for 25 treating stress urinary incontinence in women?</p>	<p>1 also talk about main findings pertaining to 2 adverse effects; correct? 3 A. Correct. 4 Q. And it says, "Tapes passing behind the 5 pubic bone (retropubic) seem to carry a greater 6 risk of injuring the bladder"; correct? 7 A. Oh, that is correct. 8 Q. All right. And that's been reported 9 in the literature; correct? 10 A. Yes. And that's pertaining to either 11 bottom-up, top-down. 12 Q. But even for the TVT retropubic, going 13 bottom-up, it's been known that there's a risk of 14 hitting the bladder with the trochars. That's why 15 a cystoscopy is done; correct? 16 A. That is correct. And the big question 17 then becomes the ramifications of that 18 perforation, long-term erosions and those 19 things -- erosions and extrusions, yes. 20 Q. When you did your top-down passage 21 with the mid-urethral sling, I take it you also 22 did cystoscopies as well? 23 A. Always, yes. 24 Q. I know the AUA recommends cystoscopies 25 for all incontinence procedures, surgeries, as I</p>
Page 107	Page 109
<p>1 A. Yes, I believe that the data, in my 2 clinical experience, would agree with that 3 statement. 4 Q. And that is a utility of the TVT 5 retropubic device; correct? 6 MR. CARTMELL: Object to the form. 7 It's vague and ambiguous with respect to what you 8 mean by "utility." 9 A. The device is designed specifically to 10 treat female stress urinary incontinence. 11 Q. BY MR. SNELL: Okay. 12 A. And so to answer your question then, 13 it has durable results in the long-term, but the 14 question is at what cost. 15 Q. Okay. The TVT retropubic device is 16 useful in treating female stress urinary 17 incontinence; correct? 18 MR. CARTMELL: Object to the form. 19 It's vague and ambiguous with respect to what you 20 mean by "useful." 21 A. It has been shown to be efficacious. 22 The question is at what cost. 23 Q. BY MR. SNELL: In this study -- strike 24 that. 25 In this Cochrane Review you cite, they</p>	<p>1 understand it. 2 Is that consistent with your 3 understanding, based upon their updated stress 4 incontinence guidelines published by Dmochowski, 5 et al.? 6 A. Dmochowski. Yeah. I don't even know 7 how to spell his name, but I know how to say it. 8 It's no problem. 9 I'd have to look at the specific 10 guidelines. For retropubic procedures, whether 11 they're top-up, bottom-down, mandatory cystoscopy. 12 Transobturator tends to be -- they say 13 they suggest it's strongly supported, but it can 14 be at the discretion of the treating physician. 15 Q. Do you do any cystoscopy when you do 16 any transobturator procedures? 17 A. I do not, no. 18 Q. You don't? 19 A. No. 20 Q. Why is that? 21 A. Because in having done 400, 500 or 22 more of those, I've never once hit the bladder, 23 because I'm dissecting right onto my finger, and I 24 bring it right out. I don't use the helical 25 trochar. Now, I've seen and taken care of a lot</p>

28 (Pages 106 to 109)

Daniel Steven Elliott, M.D.

Page 110	Page 112
<p>1 of patients with it, but I've never caused it.</p> <p>2 Q. Okay. A little further down in that</p> <p>3 paragraph in the Cochrane Review, under Adverse</p> <p>4 effects, it says, "There is moderate quality</p> <p>5 evidence that overall reported rates of</p> <p>6 tape-related complications are low, such as</p> <p>7 erosion of the tape into the vagina at about</p> <p>8 2 percent for both routes of tape insertion."</p> <p>9 Did I read that correctly?</p> <p>10 A. Yes, you did.</p> <p>11 Q. And do you agree with that?</p> <p>12 A. Disagree.</p> <p>13 Q. I didn't see in your expert report</p> <p>14 where you identify what the rate of mesh exposure</p> <p>15 was with the TVT device.</p> <p>16 A. That's because the true rate is not</p> <p>17 known.</p> <p>18 Q. I didn't see where you reported any</p> <p>19 rates of mesh exposure based on any studies for</p> <p>20 the TVT retropubic device.</p> <p>21 MR. CARTMELL: Is that a question or</p> <p>22 statement?</p> <p>23 Q. BY MR. SNELL: Am I correct, Doctor?</p> <p>24 MR. CARTMELL: We'll stipulate that</p> <p>25 that's not in there.</p>	<p>1 Q. You say these studies are done by</p> <p>2 expert high-volume surgeons.</p> <p>3 First of all, how do you define an</p> <p>4 expert high-volume surgeon?</p> <p>5 A. Well, Kuuva, et al., defined it as</p> <p>6 anybody doing -- they said the learning curve on</p> <p>7 the TVT is 15 or greater.</p> <p>8 Okay. So any -- most surgeons in the</p> <p>9 United States, based upon people sitting for the</p> <p>10 oral boards for urology, are doing 1 to 2 slings a</p> <p>11 year. Those people are not experts, but those are</p> <p>12 the people putting in the majority of slings.</p> <p>13 Okay. Now, to answer your question,</p> <p>14 how do we define an expert, it's going to be tough</p> <p>15 to say, but they're going to be doing more than</p> <p>16 that number.</p> <p>17 Q. Do you have a definition or a number</p> <p>18 in your mind, when you keep mentioning expert</p> <p>19 high-volume surgeons, what that is to you?</p> <p>20 A. It also -- because there's not a</p> <p>21 specific answer to that because it depends upon</p> <p>22 their level of training coming into the procedure</p> <p>23 or did they do a fellowship. Did they learn from</p> <p>24 an expert. Did they have Ulmsten or Nilsson come</p> <p>25 in and teach them how to do it. Those numbers are</p>
Page 111	Page 113
<p>1 A. I don't believe and I don't recall</p> <p>2 stating a specific number, no.</p> <p>3 Q. BY MR. SNELL: And this Cochrane</p> <p>4 Review you cite to in your report does say that</p> <p>5 "The reported occurrence of problems with sexual</p> <p>6 intercourse including pain was low"; correct?</p> <p>7 A. That's what they state, yes.</p> <p>8 Q. And you didn't acknowledge that point</p> <p>9 in your report; did you?</p> <p>10 A. I talk about dyspareunia in there.</p> <p>11 Q. Did you acknowledge that the Cochrane</p> <p>12 Review that you cite to states that problems with</p> <p>13 sexual intercourse, including pain, were low in</p> <p>14 your report?</p> <p>15 A. I don't recall using those specific</p> <p>16 words, no.</p> <p>17 Q. Why not?</p> <p>18 A. Because, again, this is a</p> <p>19 meta-analysis of poor quality or moderate quality</p> <p>20 studies that do not focus on dyspareunia. And</p> <p>21 specifically they're short-term studies. It does</p> <p>22 not tell -- also, these are in the hands of</p> <p>23 experts, high-volume surgeons. Does not tell us</p> <p>24 the rate of the true average surgeon out there,</p> <p>25 which is known to be much higher.</p>	<p>1 going to be different than an average person who</p> <p>2 goes and has a three-hour Ethicon meeting and then</p> <p>3 goes back out in the middle of nowhere USA and</p> <p>4 puts them in. For me, I would have to say if</p> <p>5 they're not doing at least 25 or greater slings --</p> <p>6 specific sling a year, they are going to possibly</p> <p>7 be putting that patient at risk for complications.</p> <p>8 Q. Well, this study -- strike that.</p> <p>9 This Cochrane Review included 81</p> <p>10 trials. So of all the investigators in all of</p> <p>11 those 81 trials, how many of them performed at</p> <p>12 least 25 or more TVT slings in a given year?</p> <p>13 MR. CARTMELL: Do you want him to look</p> <p>14 at the underlying data and tell you that?</p> <p>15 MR. SNELL: I want him to answer my</p> <p>16 question, Tom.</p> <p>17 MR. CARTMELL: Well, but you know --</p> <p>18 A. Let's get the Cochrane analysis out</p> <p>19 and I'll look at that.</p> <p>20 MR. CARTMELL: Yeah.</p> <p>21 Q. BY MR. SNELL: Well, did you bring it</p> <p>22 here?</p> <p>23 A. No, I don't have that.</p> <p>24 Q. BY MR. SNELL: So you can't answer my</p> <p>25 question?</p>

29 (Pages 110 to 113)

Daniel Steven Elliott, M.D.

Page 114	Page 116
<p>1 A. Well, no, but you brought up the 2 issue. And so you have a question that I can't 3 answer based upon -- we have two pieces of paper, 4 81 studies. That should be roughly, what, 150 5 pages of data. I'd have to go through and look at 6 that.</p> <p>7 Q. So as you sit here today, you can't 8 answer that?</p> <p>9 A. I just answered -- I just already 10 answered that because you have not provided me 11 with the information I need.</p> <p>12 Q. I asked that you bring your file to 13 this deposition. You didn't bring it.</p> <p>14 A. Because with this study --</p> <p>15 MR. CARTMELL: Wait. For the record. 16 Let me just say this. You have been provided his 17 reliance list that has every single document on it 18 he reviewed and relied on. It has this 19 document that you only -- the full document. You 20 only provided a summary document. So if you 21 wanted to ask him questions about the full 22 document, you knew he reviewed it and relied on 23 it. You could have brought it.</p> <p>24 MR. SNELL: Here's why, Tom, I'd like 25 him to bring his file. The document he did</p>	<p>1 (Exhibit 8 marked.)</p> <p>2 Q BY MR. SNELL: So, Doctor, I've handed 3 you the American Urological Association's position 4 statement on the use of vaginal mesh for the 5 surgical treatment of stress urinary incontinence 6 from October 2013.</p> <p>7 You're aware of this; correct?</p> <p>8 A. Yes.</p> <p>9 Q. And this is the same association 10 you're a member of; correct?</p> <p>11 A. Yes.</p> <p>12 Q. And the AUA says suburethral synthetic 13 polypropylene mesh sling placement is the most 14 common surgery currently performed for stress 15 urinary incontinence"; correct?</p> <p>16 A. Yes.</p> <p>17 Q. Do you know whether that statement is 18 accurate or not?</p> <p>19 A. I don't know if it's accurate or not. 20 I have no reason to doubt its validity, though.</p> <p>21 Q. I think you're familiar with the paper 22 by Chughtai, et al., that reports on the different 23 types of stress urinary incontinence surgeries 24 performed by urologists certifying or recertifying 25 for their boards that found the mid-urethral sling</p>
Page 115	Page 117
<p>1 produce has notes on every single page of the 2 studies. So whatever I could pull off the 3 internet or elsewhere, will not be the version 4 that he has that has his notes on it.</p> <p>5 MR. CARTMELL: Okay. Now, he didn't 6 have to provide you that today. He brought it 7 with him today. I mean all you -- the rules say 8 that we got to give you is the reliance list and 9 the materials. And I've told you, I'll give you 10 the materials on a -- what do you call it?</p> <p>11 MR. SNELL: Thumb drive.</p> <p>12 MR. CARTMELL: Thumb drive. But you 13 have it all. You have it all.</p> <p>14 MR. SNELL: I would like those with 15 his notes on them. Not your version of them. I 16 want Dr. Elliott's file.</p> <p>17 MR. CARTMELL: He gave you a study 18 that has his notes on it. I don't know what he 19 has that has notes on it or not, okay? But the 20 bottom line is you have the reliance materials and 21 you know every single study and paper and internal 22 document he's relied on.</p> <p>23 MR. SNELL: I don't think I know that.</p> <p>24 MR. CARTMELL: Yes, you do.</p> <p>25 MR. SNELL: All right. So move on.</p>	<p>1 to be the dominantly used procedure?</p> <p>2 A. I recall the name of that study. I 3 don't recall the data. But, again, I have no 4 reason to doubt that it's the most common. But I 5 have not done independent research to verify that.</p> <p>6 Q. Okay. The AUA statement says, 7 "Extensive data exist to support the use of 8 synthetic polypropylene mesh suburethral slings 9 for the treatment of female SUI."</p> <p>10 A. That's what they state, yes.</p> <p>11 Q. And that's an accurate statement; 12 correct?</p> <p>13 MR. CARTMELL: Object to the form.</p> <p>14 A. No. That's what they state.</p> <p>15 Q BY MR. SNELL: I know that's what they 16 state, but that is an accurate statement; correct?</p> <p>17 MR. CARTMELL: Well, is that a 18 statement by you, or are you asking him if he 19 agrees that's accurate?</p> <p>20 Q. BY MR. SNELL: I'm asking you if you 21 agree that's accurate. What I just read to you.</p> <p>22 MR. CARTMELL: Object to the form. He 23 just answered that question.</p> <p>24 MR. SNELL: He said that's what they 25 say. I know that.</p>

30 (Pages 114 to 117)

Daniel Steven Elliott, M.D.

Page 118	Page 120
<p>1 A. The document, as it says now, 2 Extensive data exist to support the use of 3 synthetic polypropylene mesh suburethral slings 4 for the treatment of SUI." 5 As we've stated before, it is 6 effective, along with pubovaginal slings and 7 Burch, to treat SUI. So I agree with that. 8 Q BY MR. SNELL: Okay. 9 A. Minimal morbidity compared to the 10 alternatives, I disagree with. So I guess, I 11 can't -- 12 Q. Okay. 13 A. It's a complicated or -- not a 14 compound sentence, whatever the -- multiple 15 aspects of the sentence. 16 Q. What Cochrane reviews or meta-analyses 17 or randomized control trials report that the TVT 18 retropubic has -- strike that. 19 When you say you disagree that the 20 mid-urethral sling have minimal morbidity compared 21 with alternative surgeries, why do you say that? 22 A. Because there have been very few 23 randomized control trials, none which are 24 long-term, comparing head-to-head autologous 25 pubovaginal slings versus TVT. The only one I can</p>	<p>1 When you do the autologous pubovaginal 2 slings, you do general anesthesia? 3 A. That is correct. Or spinal. 4 Q. Or spinal. And that's because that's 5 a painful procedure when you have to harvest that 6 tissue from the lady; correct? 7 A. No. You don't want them moving during 8 the procedure. 9 Q. It wouldn't be painful if that was 10 under local anesthesia? 11 A. You could do it under local. It's 12 been done under local. 13 Q. Is the autologous pubovaginal sling 14 commonly done under local anesthesia? 15 A. No, I would say it is not, no. 16 Q. Why not? 17 A. Just as I mentioned, patient's going 18 to be moving. And you'd have to inject local 19 underneath the rectus fascia. It could be done. 20 But for patient comfort, most patients don't want 21 to be awake for it. You just don't do it that 22 way. 23 Q. So when the AUA says, "Advantages 24 include, and they say anesthetic need, what do 25 they mean by that?"</p>
Page 119	Page 121
<p>1 think of off the top of my head is Amaro, et al., 2 from International Journal of Urology, I believe. 3 Q. Do you agree that with regard to the 4 TVT retropubic as compared to the pubovaginal 5 sling and the Burch that it has an advantage, 6 including shorter operative time? 7 A. It is shorter. Whether that's an 8 advantage or not -- surgeons get too caught up in 9 doing something in, say, 15 minutes. So it is 10 shorter. I'll give that to you. 11 Q. Okay. 12 A. Is it an advantage? That's debatable. 13 Q. Okay. Is it an advantage of the TVT 14 retropubic device that it can be done, if chosen, 15 locally, as compared to the Burch and the 16 pubovaginal slings? 17 A. Well, that's a difficult question. Is 18 that an advantage? I suppose in some highly 19 select patients. In all my years of doing this at 20 a high-volume tertiary center, I've never once had 21 to do a procedure under a local, as far as a 22 sling. I mean, so that's a theoretical potential 23 advantage. 24 Q. I'm not even going to ask you about 25 Burch.</p>	<p>1 MR. CARTMELL: Object to the form. 2 A. I suspect they're probably meaning 3 postop analgesia. 4 Q BY MR. SNELL: Is that a benefit of 5 the TVT retropubic compared to Burch and 6 pubovaginal sling? 7 A. Well, the statement they say 8 "Advantages include shorter operative time and 9 anesthetic need." 10 Q. Um-hum. 11 A. Somewhat ambiguous. I don't know if 12 they mean intraop or postop. But if you're 13 looking just at the short-term, just at the time 14 of the perioperative period, that would 15 theoretically be an advantage. But, again, it's 16 at what cost long-term. 17 Q. When you say perioperative period, 18 what are you referring to? 19 A. Meaning right before surgery, meaning 20 10 minutes before surgery, the surgery, and then 21 immediately postoperative. Like the first few 22 weeks. 23 Q. They also say, "Another advantage 24 would reduce surgical pain." 25 Do you agree that TVT retropubic has</p>

31 (Pages 118 to 121)

Daniel Steven Elliott, M.D.

Page 122	Page 124
<p>1 reduced surgical pain, and that that is an 2 advantage?</p> <p>3 A. Well, but, again, we have to go back 4 to the lack of studies. Again, I'm always aware 5 of Amaro, et al., TVT randomized versus 6 pubovaginal. In that study, hospital duration was 7 the same. And so that is debatable. But, again, 8 let's look at the short-term. I got to look at 9 long-term. As a surgeon, I got to look at 10 long-term, 10 years on down the road. So I can 11 give that to you with the caveats I mentioned.</p> <p>12 Q. So in the short-term you'd agree TVT 13 retropubic has the potential for reduced surgical 14 pain versus the Burch or the autologous 15 pubovaginal sling?</p> <p>16 MR. CARTMELL: Object to the form.</p> <p>17 A. I agree, in the immediate 18 postoperative period, let's say within the 19 first -- define that as the first six weeks of 20 surgery --</p> <p>21 Q BY MR. SNELL: Okay.</p> <p>22 A. -- especially the first week, I think 23 it's acceptable to say that the TVT would have 24 less perioperative pain than the Burch or the 25 pubovaginal sling.</p>	<p>1 RCT. So for the practice of stress urinary 2 incontinence surgery in the United States, over 3 the time period TVT retropubic device has been 4 available, would you agree that there is reduced 5 hospitalization with it compared to the autologous 6 pubovaginal sling and the Burch?</p> <p>7 A. I think there's going to be data out 8 there that supports it's a faster, quicker, and 9 less hospital stay on the average. But, again, we 10 have to look at the randomized control studies. 11 But, again, that's not an issue I'm debating. 12 It's the long-term risks that I'm talking about.</p> <p>13 Q. It says another advantage is reduced 14 voiding dysfunction.</p> <p>15 Do you believe that's a potential 16 advantage for the TVT retropubic versus the 17 autologous pubovaginal slings?</p> <p>18 MR. CARTMELL: Object to the form. 19 It's vague and ambiguous with respect to what you 20 mean by voiding dysfunction.</p> <p>21 A. Well, no, I disagree with that. I'd 22 have to say show me the -- that one very 23 specifically, you're going to need level 1 data to 24 support that. You cannot take cohort studies and 25 compare cohort to cohort. And so that one is</p>
Page 123	Page 125
<p>1 Q. When you do your pubovaginal slings, 2 do you give your patients pain medicines?</p> <p>3 A. Yes.</p> <p>4 Q. Why?</p> <p>5 A. To reduce the perioperative pain.</p> <p>6 Q. How long do you give them pain 7 medications?</p> <p>8 A. We give them 10 to 15 tablets of a 9 narcotic, and they take it if they need it. They 10 stop it if they don't. So I don't know how long 11 they take it.</p> <p>12 Q. Do you agree that an advantage of the 13 TVT retropubic device is reduced hospitalization?</p> <p>14 A. Disagree.</p> <p>15 Q. Why is that?</p> <p>16 A. Based upon Amaro, et al., that 17 hospital duration was the same for the TVT and the 18 autologous pubovaginal sling.</p> <p>19 Q. Do you know of other TVT versus 20 autologous pubovaginal sling randomized control 21 trials?</p> <p>22 A. As I sit here right now, I'm not 23 aware. I'd have to go back and look at the 24 literature.</p> <p>25 Q. In general, not isolated to a single</p>	<p>1 highly debatable.</p> <p>2 Q BY MR. SNELL: When you see "voiding 3 dysfunction" -- and this is written by the 4 organization that you belong to; right?</p> <p>5 A. Oh, yeah, and I know the people who 6 wrote it. One's on staff with me.</p> <p>7 Q. When you see the term "voiding 8 dysfunction" -- Mr. Cartmell objected as vague. 9 What did the AUA mean by "voiding 10 dysfunction" in this position statement.</p> <p>11 MR. CARTMELL: Object to the form.</p> <p>12 A. Yeah, when these guys and women get 13 together, this is a big argument, because, again, 14 I know the people on this board and I'm at the 15 meetings. I don't go -- I'm not a member of this 16 and the guidelines.</p> <p>17 But voiding dysfunction can be 18 anything. Stress incontinence, overactive 19 bladder, urgency frequency, nocturnal enuresis, 20 bladder pain with urination. Voiding dysfunction 21 is very vague. And hence, the reason why Rovner, 22 et al., wrote up a follow-up article in this in 23 the AUA newsletter.</p> <p>24 Q BY MR. SNELL: Actually, Rovner's 25 follow-up was before this was reissued. You know</p>

Daniel Steven Elliott, M.D.

Page 126	Page 128
<p>1 that; right?</p> <p>2 A. This was were the --</p> <p>3 Q. October 2013.</p> <p>4 A. 2013 is the one I'm referring to.</p> <p>5 Q. This paper was issued after Rovner's</p> <p>6 commentary?</p> <p>7 A. Well, no, this is a revision of the</p> <p>8 original; wasn't it? I'd have to look at when the</p> <p>9 first one came out, and it's a revision of it.</p> <p>10 Update.</p> <p>11 Q. On the very back page, October 2013,</p> <p>12 revised. Correct?</p> <p>13 A. Yeah.</p> <p>14 Q. They state that "mesh-related</p> <p>15 complications can occur following polypropylene</p> <p>16 sling placement, but the rate of these</p> <p>17 complications is acceptably low."</p> <p>18 Do you see that?</p> <p>19 A. Yes, I do.</p> <p>20 Q. "It is the AUA's opinion that any</p> <p>21 restriction on the use of synthetic polypropylene</p> <p>22 mesh suburethral slings would be a disservice to</p> <p>23 women who choose surgical correction of SUI."</p> <p>24 Do you see that?</p> <p>25 A. Yes, I do.</p>	<p>1 you have used it?</p> <p>2 A. It's going to depend upon the</p> <p>3 procedure we are discussing, but when specifically</p> <p>4 in TVT, from my perspective, based upon the</p> <p>5 literature and what's out there, as far as</p> <p>6 degradation, et cetera, anything short of lifelong</p> <p>7 is going to be insufficient.</p> <p>8 MR. SNELL: I don't think -- move to</p> <p>9 strike as nonresponsive.</p> <p>10 Q BY MR. SNELL: I'm trying to get a</p> <p>11 definition from you. So when you use the term</p> <p>12 "short-term," what do you mean by that?</p> <p>13 A. Short-term specifically relative to</p> <p>14 polypropylene meshes --</p> <p>15 Q. Okay.</p> <p>16 A. -- because it is a permanent</p> <p>17 implantable device, shown to have degradation in</p> <p>18 Klinge, et al., up to 15 years, Ethicon's</p> <p>19 statement showing that degradation continues,</p> <p>20 contraction, et cetera. Anything less than</p> <p>21 lifelong, to me, is short-term and insufficient.</p> <p>22 Q. And you like to apply a different bar</p> <p>23 to the Burch colposuspension; correct?</p> <p>24 A. Burch and also the autologous</p> <p>25 because -- specifically because those are no</p>
Page 127	Page 129
<p>1 Q. "Multiple case series and randomized</p> <p>2 control trials attest to the efficacy of synthetic</p> <p>3 polypropylene mesh slings at 5 to 10 years."</p> <p>4 Do you see that?</p> <p>5 A. Yes, I do.</p> <p>6 Q. "The efficacy is equivalent or</p> <p>7 superior to other surgical techniques." Correct?</p> <p>8 A. That's what it states, yes.</p> <p>9 Q. And you've seen literature and data</p> <p>10 that supports that statement?</p> <p>11 A. As it pertains to efficacy, I agree.</p> <p>12 I mean, equivalent, I think is fine. And superior</p> <p>13 is debatable, and you have to look at those</p> <p>14 specific studies, but I'm not going to argue that.</p> <p>15 Q. "There is no significant increase in</p> <p>16 adverse events observed over this period of</p> <p>17 follow-up"; correct?</p> <p>18 A. Yeah. And that's the actual key right</p> <p>19 there, "over this period of follow-up," which is</p> <p>20 short-term.</p> <p>21 Q. How do you define -- did I ask you how</p> <p>22 you define "short-term"? I know you've mentioned</p> <p>23 that term.</p> <p>24 A. Yeah.</p> <p>25 Q. Can you define "short-term" for me as</p>	<p>1 permanent implantable device. With that said, for</p> <p>2 example, when the ProteGen sling was used in the</p> <p>3 past, the Gortex sling was used in the past, then</p> <p>4 I would say for those, you need to have lifelong</p> <p>5 follow-up.</p> <p>6 Okay. But, again, when we're talking</p> <p>7 about autologous tissue, the patient's own, or</p> <p>8 Burch, where there's no tissue used, the</p> <p>9 products -- there's no product in there to have</p> <p>10 lifelong problems with.</p> <p>11 Q. So how do you define short-term as to</p> <p>12 the autologous and the Burch?</p> <p>13 A. Well, a minimum study criteria</p> <p>14 established about four, five years ago, said any</p> <p>15 study less than 12 months for sling procedures was</p> <p>16 insufficient.</p> <p>17 So, again, it depends on what you're</p> <p>18 looking at in a study. But if we're looking at</p> <p>19 efficacy, efficacy is a different story. Efficacy</p> <p>20 can be lifelong. But if we're looking at</p> <p>21 perioperative complications, then really two years</p> <p>22 out. Patients heal. But there is no written in</p> <p>23 stone what short-term, long-term is.</p> <p>24 Q. I was just following up, though,</p> <p>25 because you used those terms, and I want to know</p>

Daniel Steven Elliott, M.D.

Page 130	Page 132
<p>1 what it means to you.</p> <p>2 So what is short-term --</p> <p>3 A. Short-term --</p> <p>4 Q. -- in the context of an autologous</p> <p>5 pubovaginal sling?</p> <p>6 MR. CARTMELL: Are you talking about</p> <p>7 in the context of a study?</p> <p>8 MR. SNELL: Not a particular study.</p> <p>9 He says short-term.</p> <p>10 Q. BY MR. SNELL: I want to know what you</p> <p>11 mean by that.</p> <p>12 A. I understand.</p> <p>13 Q. You've told me about the TVT and</p> <p>14 stuff, and I hear you. But now I want to know</p> <p>15 what standard do you apply to the Burch when you</p> <p>16 say short-term?</p> <p>17 A. Less than 12 months.</p> <p>18 Q. Okay.</p> <p>19 A. Less than 12 months. Arguably, 24</p> <p>20 months.</p> <p>21 Q. And what do you mean -- strike that.</p> <p>22 What standard do you use for the</p> <p>23 definition of short-term with regard to the</p> <p>24 autologous pubovaginal sling?</p> <p>25 A. Same thing. 12 months definitively.</p>	<p>1 been discussed. Ethicon knows that. So that</p> <p>2 actually is a very good point. Perhaps Prolene is</p> <p>3 not safe product, as we've been told.</p> <p>4 MR. SNELL: Move to strike as</p> <p>5 non-responsive.</p> <p>6 Q. BY MR. SNELL: My question was: It's</p> <p>7 known that permanent sutures can degrade. In</p> <p>8 fact, it's known that permanent sutures can have</p> <p>9 suture erosion if employed with the Burch</p> <p>10 colposuspension or the autologous pubovaginal</p> <p>11 sling procedure; right?</p> <p>12 A. Incorrect.</p> <p>13 Q. You haven't seen publications by</p> <p>14 people like Ed McGuire and others that report</p> <p>15 suture erosions following an autologous</p> <p>16 pubovaginal sling at an average duration follow-up</p> <p>17 of greater than 24 months?</p> <p>18 A. If you're doing a pubovaginal sling in</p> <p>19 the classic way where it's described, where the</p> <p>20 Prolene sutures are high up in the abdomen, away</p> <p>21 from the bladder, there should be zero erosions.</p> <p>22 If somebody's doing a variant of it, that's a</p> <p>23 different story. I can't speak to that. Burch is</p> <p>24 the same thing. You have a Prolene suture, which</p> <p>25 we know degrades based upon studies, okay, which</p>
Page 131	Page 133
<p>1 Arguably 24 months.</p> <p>2 Q. Okay. Is that for safety, too?</p> <p>3 A. Yes. But, again, we don't have any</p> <p>4 permanent implantable device with those other</p> <p>5 procedures. So perioperative morbidity is a more</p> <p>6 important issue.</p> <p>7 Q. Well, you know there can be permanent</p> <p>8 sutures placed at the time of the autologous</p> <p>9 pubovaginal sling or a Burch; correct?</p> <p>10 A. Yes. And those are --</p> <p>11 Q. And you know there can be suture or --</p> <p>12 MR. CARTMELL: Let him finish. Hold</p> <p>13 on? Yes, and those are?</p> <p>14 A. Yes, and those are usually Prolene</p> <p>15 sutures, which we've been told by Ethicon are</p> <p>16 safe. However, in my practice, I've had two</p> <p>17 patients develop suture granulomas; so I don't use</p> <p>18 them. I use Vicryl sutures.</p> <p>19 Q. BY MR. SNELL: And you know that</p> <p>20 suture erosion can occur with those -- any type of</p> <p>21 permanent suture; correct?</p> <p>22 A. Then that raises the very real</p> <p>23 possibility of those sutures causing degradation,</p> <p>24 inflammatory reaction, foreign body response,</p> <p>25 which we know happens in the dog model. That's</p>	<p>1 are outlined in my expert report. Ethicon knows</p> <p>2 it. Prolene, as a much suture, degrades. If you</p> <p>3 knot it up and put it by the bladder, you can have</p> <p>4 degradation, foreign body reaction, and then</p> <p>5 subsequently erosion. So, yes, the question is</p> <p>6 why.</p> <p>7 MR. SNELL: Move to strike as</p> <p>8 nonresponsive.</p> <p>9 Q. BY MR. SNELL: My question was: Do</p> <p>10 you know there are studies that report suture</p> <p>11 erosions by people who do the autologous</p> <p>12 pubovaginal sling, like Ed McGuire, that report</p> <p>13 suture erosions at a follow-up of greater than</p> <p>14 24 months?</p> <p>15 A. I would have to see that exact study</p> <p>16 and we'd have to review it, see how they did the</p> <p>17 study. But, again, it raises the issue of why</p> <p>18 that's occurring.</p> <p>19 Q. My question is: Do you know whether</p> <p>20 or not the data exists?</p> <p>21 A. I answered that and said I'd have to</p> <p>22 see the studies you're talking about and how they</p> <p>23 did the procedure.</p> <p>24 MR. CARTMELL: Lunch is ready when you</p> <p>25 are.</p>

Daniel Steven Elliott, M.D.

Page 134	Page 136
<p>1 MR. SNELL: Is it. Yeah, let's go 2 ahead and do lunch. 3 (Recessed from 12:30 p.m. to 4 1:01 p.m.) 5 (Exhibit 9 marked.) 6 Q BY MR. SNELL: Doctor, I've handed you 7 the Position Statement on mid-urethral 8 sling-Urethral Slings for Stress Urinary 9 Incontinence By IUGA. 10 You're familiar with this document? 11 A. Yes, I am. 12 Q. This is one of those professional 13 societies to which you belong today? 14 A. That is correct. 15 Q. And similar to the AUA statement that 16 we looked at, it talks about efficacy of the 17 mid-urethral slings; correct? 18 A. Correct. 19 Q. And it talks about safety of 20 mid-urethral slings; correct? 21 A. Yeah. It discusses it, yes. 22 Q. All right. In the third paragraph, 23 when they're talking about mid-urethral slings, 24 they state that "They have been shown to be as 25 effective as more invasive traditional surgery</p>	<p>1 mid-urethral slings from over 2,000 publications 2 making this treatment the most extensively 3 reviewed and evaluated procedure for female stress 4 urinary incontinence now in use." 5 Do you agree with that? 6 A. I have not looked at that. 7 Q. "These scientific publications studied 8 all types of patients, including those with 9 co-morbidities, such as prolapse, obesity, and 10 other types of bladder dysfunction." 11 Have you analyzed that? 12 A. Independently analyzed it, I've read 13 the studies concerning that. 14 Q. You haven't read all 2,000 15 publications they're referring to; correct? 16 A. No. That is correct. Yes. 17 Q. It says, "It is, however, acknowledged 18 that any operation can cause complications." 19 And that's a fair statement; correct? 20 A. There can be different sets of 21 complications, but any procedure can have 22 complications. 23 Q. "For mid-urethral slings these include 24 bleeding, damage to the bladder and bowel, voiding 25 difficulty, tape exposure and pelvic pain; all of</p>
Page 135	Page 137
<p>1 with major advantages of shorter operating and 2 admission times and a quicker return to normal 3 activities together with lower rates of 4 complications." 5 Do you see that? 6 A. Yes, I do. 7 Q. Do you disagree with the IUGA position 8 statement? 9 A. I disagree. 10 Q. "This has resulted in the mid-urethral 11 sling becoming the operation of choice in Europe, 12 Asia, South America, South Africa, Australasia," 13 A-u-s-t-r-a-l-a-s-i-a, "and North America for the 14 treatment of SUI with several million procedures 15 performed worldwide." 16 Do you see that? 17 A. Yes, I do. 18 Q. Do you agree or disagree with that 19 statement that it is the operation of choice as 20 amongst the alternative surgeries? 21 A. It is the most common procedure -- 22 Q. Okay. 23 A. -- I mean, performed. 24 Q. A little further down it says, "There 25 is robust evidence to support the use of</p>	<p>1 these may require repeat surgery, but this is 2 uncommon." 3 Do you see that? 4 A. Yes, I do. 5 Q. A little further down, they talk about 6 "long-term effectiveness of up to 80 percent has 7 been demonstrated in studies including one which 8 has followed up a small group of patients for 9 17 years"; correct? 10 A. That's what it states, yes. 11 Q. And in this IUGA statement has a list 12 of references -- do you have that? All right. 13 So for the 17-year study, you 14 understand that to be the Nilsson paper on the TVT 15 retropubic study? 16 A. That's the only 17-year one. I'll 17 make an argument that it's not TVT. 18 Q. What argument would you make that it's 19 not TVT? 20 A. Based upon the deposition by Arnaud 21 who said it's not a TVT product. And he doesn't 22 know if it's the polypropylene mesh even used by 23 Ethicon -- or manufactured by Ethicon. 24 Q. Do you have any -- have you done any 25 independent confirmation of whether or not that</p>

35 (Pages 134 to 137)

Daniel Steven Elliott, M.D.

Page 138	Page 140
<p>1 product was TVT other than what you just 2 referenced with regard to Dr. Axel Arnaud's 3 deposition testimony? 4 A. The only way I'd have access to that 5 is via the deposition. It's impossible to know 6 that in another independent source, but since Axel 7 Arnaud is very high up in Ethicon and he states 8 it's not TVT, I'm going to believe him. 9 Q. Do you know whether that mesh was a 10 Prolene -- polypropylene mesh? 11 A. It was a polypropylene mesh, as what 12 he said. Maybe made by Ethicon. Maybe made by 13 Bard. He doesn't know. 14 Q. As a result IUGA supports the use of 15 monofilament polypropylene mid-urethral slings for 16 the surgical treatment of female stress urinary 17 incontinence." 18 Do you see that? 19 A. Yes, I do. 20 Q. Do you agree or disagree with IUGA's 21 support? 22 A. Disagree. 23 Q. You've read the AUGS and SUFU 24 statement on mid-urethral slings? 25 A. Yes, I have.</p>	<p>1 A. Correct. In June of 2013. 2 Q. Did you have to study for that exam? 3 A. Yes, I did. 4 Q. Did part of that exam testing concern 5 polypropylene mid-urethral slings? 6 A. Yes. 7 Q. Was part of that exam concerning the 8 Burch colposuspension and the autologous 9 pubovaginal sling? 10 A. It's been two years, and I can't 11 recall exactly. I know they had Burch questions 12 and I know they had sling questions, yes. 13 Q. This says, "The polypropylene mesh 14 mid-urethral sling is the recognized worldwide 15 standard of care for the surgical treatment of 16 stress urinary incontinence." 17 Do you see that? On the first page. 18 A. Unfortunately, no, I don't see it. 19 Q. Here. 20 A. I listen to -- oh, there on the bold. 21 Yes. I see it. 22 Q. And you would agree it's within the 23 standard of care for a female urologist or a 24 pelvic floor surgeon to do a polypropylene mesh 25 mid-urethral sling like the TVT retropubic today?</p>
Page 139	Page 141
<p>1 (Exhibit 10 marked.) 2 Q. BY MR. SNELL: You don't belong to 3 AUGS, but you do belong to SUFU; right? 4 A. That -- yeah. They're sister 5 societies. So I can attend AUGS meetings as a 6 member, but I am not formally in their membership 7 role. 8 Q. SUFU has over 500 members? 9 A. I don't know the number. It's a lot. 10 Q. AUGS -- do you know whether they 11 represent more than 1,700 members? 12 A. They have a lot. They have more than 13 SUFU. 14 Q. Do you have to be a urogynecologist or 15 to have passed a subspecialty female pelvic 16 medicine or reconstructive surgery boards to be a 17 member of AUGS as opposed to SUFU? 18 A. No. You can be a member of AUGS 19 without having any credentials. To take the board 20 exam, the female pelvic medicine reconstructive 21 surgery, you just have to supply certain logs, 22 have a certain amount of volume of cases and take 23 the exam. 24 Q. You took that exam and passed it; 25 right?</p>	<p>1 A. It is not malpractice to do that 2 procedure. 3 Q. It, therefore, is within the standard 4 of care; correct? 5 MR. CARTMELL: Object to the form. 6 A. Well, as I said, it's not going to be 7 malpractice. It is an accepted treatment out 8 there. 9 Q. BY MR. SNELL: You've reviewed -- 10 well, let me ask you: Have you reviewed the AUA 11 stress urinary incontinence guidelines? 12 A. Yeah. It depends which year you're 13 talking about. There's 2009 and others. 14 Q. The 2009 and then the update in 2012? 15 A. Yes. Yes. 16 Q. All right. I think you pronounced the 17 lead author's name -- 18 A. Oh, Dmochowski. Call him Roger. 19 Q. For example, in those AUA stress 20 urinary incontinence guidelines, they recognize 21 mid-urethral, retropubic, trans -- they -- strike 22 that. 23 In the AUA stress urinary incontinence 24 guidelines they recognize the retropubic 25 polypropylene mid-urethral sling like the TVT</p>

Daniel Steven Elliott, M.D.

Page 142	Page 144
<p>1 retropubic as being a suitable surgical option for</p> <p>2 surgeons to turn to; correct?</p> <p>3 A. Yeah. Using the terminology you did,</p> <p>4 it is one of the treatment options available.</p> <p>5 Q. And they looked at the literature, did</p> <p>6 a systematic review, and they analyzed the data on</p> <p>7 mid-urethral slings, Burch, and the autologous</p> <p>8 pubovaginal slings, and came to that conclusion?</p> <p>9 A. Yes. They analyzed more than just</p> <p>10 those, but, yes, those are some of the ones they</p> <p>11 analyzed.</p> <p>12 Q. Those were the main groups that they</p> <p>13 reported on; correct?</p> <p>14 A. I'd have to look at your question --</p> <p>15 it was, you know, retropubic, transobturator,</p> <p>16 pubovaginal, and Burch.</p> <p>17 Q. Right. In the AUGS/SUFU statement</p> <p>18 they say, "The procedure is safe, effective, and</p> <p>19 has improved the quality of life for millions of</p> <p>20 women."</p> <p>21 Do you see that? I'm sorry. Right</p> <p>22 where we were at.</p> <p>23 A. Oh, I'm sorry. Yes, I see that.</p> <p>24 Q. Do you agree or disagree with</p> <p>25 AUGS/SUFU?</p>	<p>1 MR. CARTMELL: He answered it.</p> <p>2 Objection. Asked and answered.</p> <p>3 We're reading it. He says that are</p> <p>4 "currently available on the market, I agree with</p> <p>5 you, they are all unsafe."</p> <p>6 MR. SNELL: He's not agreeing with me,</p> <p>7 because I didn't posit the question as "please</p> <p>8 agree with me." I'm just asking his opinion.</p> <p>9 Q. BY MR. SNELL: Understand. So let me</p> <p>10 just -- let's just strike that and make sure we</p> <p>11 get a clean Q and A.</p> <p>12 Do you believe, Dr. Elliott, that all</p> <p>13 of the polypropylene mesh mid-urethral slings</p> <p>14 available for the treatment of female stress</p> <p>15 urinary incontinence are unsafe?</p> <p>16 A. I believe that all the currently</p> <p>17 available mesh slings available on the market as</p> <p>18 of right now and their technique are unsafe.</p> <p>19 Q. You do not disagree, I take it, that</p> <p>20 some women can have, following the TVT retropubic</p> <p>21 placement, cure of their incontinence and</p> <p>22 improvement in quality of life?</p> <p>23 MR. CARTMELL: Object to the form.</p> <p>24 A. It is a hypothetical individual, but</p> <p>25 there are going to be studies that show, as of</p>
Page 143	Page 145
<p>1 A. Disagree.</p> <p>2 Q. You disagree that the procedure is</p> <p>3 effective?</p> <p>4 A. No.</p> <p>5 Q. Do you disagree that the procedure has</p> <p>6 improved the quality of lives for millions of</p> <p>7 women?</p> <p>8 A. I have no way of proving that.</p> <p>9 Q. You disagree the procedure is safe?</p> <p>10 A. Yes.</p> <p>11 Q. And do you believe that all</p> <p>12 polypropylene mesh mid-urethral slings are unsafe?</p> <p>13 A. That are currently available on the</p> <p>14 market now, I agree with you they are all unsafe.</p> <p>15 Q. Let me rephrase that. I don't think I</p> <p>16 asked you to agree with me.</p> <p>17 MR. CARTMELL: You did.</p> <p>18 MR. SNELL: No, I didn't. I think --</p> <p>19 MR. CARTMELL: Do you disagree?</p> <p>20 MR. SNELL: Disagree the procedure is</p> <p>21 safe, yes.</p> <p>22 Q. BY MR. SNELL: All right. My question</p> <p>23 was: And do you believe that all polypropylene</p> <p>24 mesh mid-urethral slings are unsafe?</p> <p>25 A. Okay. All the --</p>	<p>1 right now, they have had -- they've reached that.</p> <p>2 The question is what will happen with long-term</p> <p>3 follow-up.</p> <p>4 Q. BY MR. SNELL: Do you only treat</p> <p>5 female stress incontinence or do you also treat</p> <p>6 male stress incontinence?</p> <p>7 A. I treat both female and male voiding</p> <p>8 dysfunction.</p> <p>9 Q. Do males have stress urinary</p> <p>10 incontinence?</p> <p>11 A. Following prostate surgery. Almost</p> <p>12 exclusively that's what I see them for.</p> <p>13 Q. Do you use any medical devices for the</p> <p>14 treatment of male stress urinary incontinence?</p> <p>15 A. Yes. The AMS800 -- American Medical</p> <p>16 Systems 800 artificial urinary sphincter.</p> <p>17 Q. And are there any lifelong registries</p> <p>18 monitoring those patients?</p> <p>19 A. Yes. The AMS -- American Medical</p> <p>20 Systems keeps a registry of all implants. Every</p> <p>21 time I do a surgery on them, they are notified,</p> <p>22 and I have to fill out a summary of what I did,</p> <p>23 revision, complications, et cetera.</p> <p>24 Q. Do those track the patients lifelong?</p> <p>25 A. Yes.</p>

37 (Pages 142 to 145)

Daniel Steven Elliott, M.D.

Page 146	Page 148
<p>1 Q. Where is that data published, if at 2 all?</p> <p>3 A. It is not published. It's at AMS. 4 American Medical Systems, which is based in 5 Minnetonka, Minnesota. And that goes back to 6 1972. 7 (Exhibit 11 marked.)</p> <p>8 Q BY MR. SNELL: I've handed you 9 Exhibit 11. This is the AUGS -- one of the AUGS 10 position statements; correct?</p> <p>11 A. Correct. This one is on pelvic floor 12 disorders, though.</p> <p>13 Q. If you look at paragraph 5 where they 14 talk about stress urinary incontinence and mesh 15 slings.</p> <p>16 A. On page 3, I think?</p> <p>17 Q. Yes.</p> <p>18 A. I'm there.</p> <p>19 Q. It says, "Full length mid-urethral 20 slings, both retropubic and transobturator" -- and 21 just so we're clear, the TVT retropubic is a full 22 length retropubic mid-urethral sling; correct?</p> <p>23 A. I'm sorry to interrupt you. I just 24 don't know where you are -- I see the paragraph. 25 I just don't know which --</p>	<p>1 sentence?</p> <p>2 A. That is outlined in detail in my 3 expert report, going to all those various issues. 4 The extensively studied, I agree with. 5 Safe, I disagree with, as mentioned in 6 my expert report, my clinical experience, my 7 discussion in national and international meetings. 8 Effective relative to other treatment 9 options, I agree with. We've established that 10 already.</p> <p>11 Remains a leading treatment 12 opposition, I agree. It is common, the use. I 13 don't have a problem with that.</p> <p>14 Current gold standard of care for 15 stress urinary incontinence. Gold standard means 16 absolutely nothing to me. I don't even know what 17 that means. The term gets thrown around a lot. 18 Is it something that is compared to? 19 It is the best. So it is -- I agree with the 20 leading treatment option. There are other things 21 that are available that it could be compared to. 22 Burch sling or the TVT.</p> <p>23 Q. The term "gold standard," that's 24 something that you've seen commonly in the medical 25 literature; correct?</p>
Page 147	Page 149
<p>1 Q. The bottom five, six lines.</p> <p>2 A. Starting --</p> <p>3 Q. Actually, the bottom three lines. 4 That's okay.</p> <p>5 A. Starting with "Full-length," yes.</p> <p>6 Q. Okay. The TVT retropubic device is a 7 full length retropubic mid-urethral sling; right?</p> <p>8 A. Okay. I'm sorry. I was trying to 9 find where you -- I thought you were reading. I'm 10 sorry.</p> <p>11 The question was, is the 12 full-length -- well, I don't necessarily know what 13 they mean by a full length. Everything is a full 14 length, whether it's short or long, but this is 15 the longest length of mesh.</p> <p>16 Q. It says they "have been extensively 17 studied, are safe and effective relative to other 18 treatment options and remain the leading treatment 19 option and current gold standard of care for 20 stress incontinence surgery"; correct?</p> <p>21 A. That's what they state, yes.</p> <p>22 Q. Do you disagree or agree with AUGS?</p> <p>23 A. I disagree.</p> <p>24 Q. What exactly do you disagree with 25 there in that paragraph -- sorry. In that</p>	<p>1 A. It is thrown around extensively. It's 2 a bad term.</p> <p>3 Q. You've seen people refer to the 4 autologous pubovaginal sling as a gold standard; 5 correct?</p> <p>6 A. Correct.</p> <p>7 Q. You've seen people refer to the Burch 8 colposuspension as the gold standard; correct?</p> <p>9 A. Correct.</p> <p>10 Q. You've seen people refer to the TVT 11 retropubic device as a gold standard; correct?</p> <p>12 A. Correct.</p> <p>13 Q. To your knowledge or understanding, is 14 there a -- strike that.</p> <p>15 To your knowledge and understanding, 16 what does it mean to be a gold standard within the 17 art of pelvic surgery?</p> <p>18 A. It should be -- this is my 19 interpretation of it.</p> <p>20 Gold standard should be the procedure 21 that has the safest, the best, which everything 22 should be compared to. The gold standard, unlike 23 gold. Gold cannot -- the true iron -- or true 24 element cannot be replaced. Okay. Gold standards 25 have evolved.</p>

38 (Pages 146 to 149)

Daniel Steven Elliott, M.D.

Page 150	Page 152
<p>1 In the '90s, it was the Raz, R-a-z, 2 urethropey. That's gone now. So gold standard 3 is a shifting thing. It's what everything should 4 be compared to because it has proven itself to be 5 the best in all factors involved. 6 Q. Back when the Raz urethropey was 7 reported in the literature, there weren't any 8 randomized control trials in that procedure, 9 comparing it to the Burch and pubovaginal sling; 10 correct? 11 A. I'd have to look at the literature. I 12 don't recall any. 13 Q. Did people refer to, like, the Raz 14 procedure as the gold standard, not based on 15 comparative -- direct comparative data? 16 A. The gold standard relative to urinary 17 incontinence has really evolved since TVT came 18 out. And that's when there was now a comparison. 19 You had some people were for Burch, some people 20 for sling, some people for the Raz. The Raz fell 21 out. Wasn't effective. Then TVT was around. 22 Then the argument came of this gold standard. 23 But, again, it's not like you can type up a paper 24 and put in equations and come up with, oh, this 25 one's gold. It's relative.</p>	<p>1 correct? 2 A. That is correct. 3 Q. And have you reviewed this document 4 before? 5 A. Yes, I have. 6 Q. Okay. Were you involved in the 7 drafting of this document? 8 A. No, I was not. And the interesting 9 thing is, being a member of the female urology 10 section, I don't recognize very many of these 11 names. 12 Q. This was published in 2012; right? 13 A. Yes. 14 Q. And what they did was, using their 15 methodology, they used evidence-based medicine 16 methodology and did individual literature search 17 strategies? 18 A. Correct. For the treatment of both 19 men and women. 20 Q. Fair enough. 21 And for the treatment of stress 22 urinary incontinence in women, they concluded that 23 mid-urethral slings should be offered as the first 24 line treatment; correct? 25 A. I'd have to see where you're quoting.</p>
Page 151	Page 153
<p>1 Q. There are other procedures for stress 2 urinary incontinence that have also fallen out of 3 favor, like the MMK that you earlier referenced; 4 correct? 5 A. Correct. There are many that have 6 faded away. 7 Q. The anterior repair is another; 8 correct? 9 A. Well, I don't know if you're talking 10 about the Kennedy Kelly plication. That is still 11 done somewhat, but it's not, what you would say, 12 in the upper tier of effective treatments. 13 Q. And that would be based on randomized 14 control trial data or cohort studies? 15 A. Cohort studies. 16 MR. SNELL: Let's mark this as the 17 next one. 18 (Exhibit 12 marked.) 19 Q. BY MR. SNELL: Exhibit 12 is the EAU 20 Guidelines on Surgical Treatment of stress -- 21 strike that. 22 EAU Guidelines -- let me get a better 23 question out. 24 Exhibit 12 is the EAU Guidelines on 25 Surgical Treatment of Urinary Incontinence;</p>	<p>1 I just don't see it in the document. The 2 document's fairly long. 3 Q. Okay. The third page, go to the 4 surgical algorithm. 5 A. Yes. 6 Q. Where you see if a person has -- a 7 woman; right? The top diagram is for treatment in 8 women; right? 9 A. Correct. 10 Q. And for stress incontinent women, 11 first line is "Offer mid-urethral sling"; correct? 12 A. Yeah. Or "consider peri-urethral 13 injections"; right. 14 Q. Right. So mid-urethral sling would be 15 a first-line surgical option for the treatment of 16 stress urinary incontinence in women, according to 17 the EAU Guidelines; correct? 18 A. Yeah. Yes. This algorithm, 19 established in 2012, that is what they offer as 20 first-line treatment. 21 Q. And they also identify the 22 mid-urethral sling as a first-line surgical option 23 if there's mixed incontinence, but the stress is 24 predominant; correct? 25 A. Yes.</p>

39 (Pages 150 to 153)

Daniel Steven Elliott, M.D.

Page 154	Page 156
<p>1 Q. And do you disagree with the EAU</p> <p>2 Guidelines in that regard?</p> <p>3 A. Yes, I do.</p> <p>4 (Exhibit 13 marked.)</p> <p>5 Q BY MR. SNELL: This is the Guidelines</p> <p>6 on Urinary Incontinence from the EAU 2015.</p> <p>7 Do you see that?</p> <p>8 A. Yes, I do.</p> <p>9 Q. So this is when you were in your role</p> <p>10 in that pertinent group; correct?</p> <p>11 A. That's correct.</p> <p>12 Q. First page says, "Mid-urethral slings</p> <p>13 are now the most frequently used surgical</p> <p>14 intervention in Europe for women with stress</p> <p>15 urinary incontinence."</p> <p>16 Do you see that?</p> <p>17 A. I don't see it. But I heard you read</p> <p>18 it. Okay. Yes. Yes, I see it. Yes.</p> <p>19 Q. And for the purpose of the guidelines,</p> <p>20 they did a new meta-analysis; correct?</p> <p>21 A. Correct.</p> <p>22 Q. Were you consulted on these</p> <p>23 guidelines?</p> <p>24 A. No, I was not.</p> <p>25 Q. But these are people who are in the</p>	<p>1 A. Yes, I do.</p> <p>2 Q. ICS is another organization you belong</p> <p>3 to; correct?</p> <p>4 A. That is correct.</p> <p>5 Q. And so they cover different</p> <p>6 conditions, like overactive bladder, and then they</p> <p>7 have stress urinary incontinence beginning on</p> <p>8 page 12.</p> <p>9 A. Yes.</p> <p>10 Q. Have you seen these before?</p> <p>11 A. Um-hum. Yes, I have.</p> <p>12 Q. Do you use these statements with any</p> <p>13 of your patients?</p> <p>14 A. No.</p> <p>15 Q. I know ACOG and the Urology</p> <p>16 Foundation, the branch of the AUA, have patient</p> <p>17 guides, publications, things like that.</p> <p>18 Do you use any of those materials with</p> <p>19 your patients?</p> <p>20 A. We have them available for education</p> <p>21 purposes. We'll go through it. But to be honest,</p> <p>22 usually that's so overwhelming for the average</p> <p>23 individual that we don't rely on them heavily.</p> <p>24 Q. Does Mayo Clinic have its own patient</p> <p>25 education handouts that you use --</p>
Page 155	Page 157
<p>1 group that you belong to?</p> <p>2 A. They're in -- members of the EAU. But</p> <p>3 these are not people in the subsection of female</p> <p>4 urology and functional urology. And I'm on the</p> <p>5 board of those. And I know some of their names,</p> <p>6 but they're not sitting on the board.</p> <p>7 Q. Were you even aware that these urinary</p> <p>8 incontinence guidelines were published in 2015 by</p> <p>9 EAU?</p> <p>10 A. No. I was aware they were published.</p> <p>11 I was not part of their publishing.</p> <p>12 Q. Does the EAU still recognize the</p> <p>13 mid-urethral polypropylene slings as a surgical</p> <p>14 option to treat stress urinary incontinence?</p> <p>15 A. Yes. As stated in their document,</p> <p>16 they do not ban its use.</p> <p>17 Q. Do they still, as of today, recognize</p> <p>18 the mid-urethral polypropylene sling as being the</p> <p>19 appropriate first-line surgical option?</p> <p>20 A. That's what they state in the previous</p> <p>21 document. I don't know about this one.</p> <p>22 (Exhibit 14 marked.)</p> <p>23 Q. BY MR. SNELL: So these are the fact</p> <p>24 sheets by ICS published July 2013.</p> <p>25 Do you see that?</p>	<p>1 A. Yeah. We have a --</p> <p>2 Q. -- for stress urinary incontinence?</p> <p>3 That's what I'm focused on.</p> <p>4 A. We have an overarching, for</p> <p>5 incontinence. Within it is a subsection of stress</p> <p>6 incontinence. But it's not specific just to</p> <p>7 stress.</p> <p>8 Q. Okay. On page 13 where they're</p> <p>9 talking about -- it says, "Definitive therapy for</p> <p>10 SUI is surgical."</p> <p>11 A. Correct.</p> <p>12 Q. You would agree with that; correct?</p> <p>13 MR. CARTMELL: I'm sorry. What was</p> <p>14 the question again?</p> <p>15 A. Definitive area for SUI is the</p> <p>16 surgical?</p> <p>17 Q. BY MR. SNELL: No. Let me repeat it.</p> <p>18 It's not "area."</p> <p>19 This states on page 13, "Definitive</p> <p>20 therapy for SUI is surgical."</p> <p>21 Do you see that?</p> <p>22 A. No. I see it.</p> <p>23 Q. Do you agree with that?</p> <p>24 A. I'd say no. It is -- surgery is an</p> <p>25 option for some individuals. But some individuals</p>

40 (Pages 154 to 157)

Daniel Steven Elliott, M.D.

Page 158	Page 160
<p>1 with appropriate counseling do not need to have 2 surgery. So depends how you're defining 3 definitive, I suppose. There are other things 4 that work. 5 Q. Right. So pelvic floor exercises; 6 correct? 7 A. Correct. That's one of them. 8 Q. And bulking agents; correct? 9 A. Correct. 10 Q. And you're aware of data showing 11 surgical -- when you compare stress urinary 12 incontinence surgery, the efficacy of that 13 compared to those alternatives, non-surgical 14 alternatives, surgery has better results? 15 A. Correct. I agree with that. I just 16 have a problem with definitive therapy. 17 Q. Right. 18 A. It's a little too dogmatic for me. 19 Q. Okay. "Worldwide, mid-urethral slings 20 comprised of synthetic mesh have become the 21 treatment of choice for SUI." 22 And we've already discussed that; 23 right? 24 A. Ad nauseam, yes. 25 Q. "Long-term data are robust and</p>	<p>1 A. Yes, that is a fair statement. 2 Q. And I mean, you're a better surgeon, 3 don't you think, today than when you were coming 4 out of your fellowship; correct? 5 A. Correct. 6 Q. And part of that is because you've 7 amassed more surgical volume experience; correct? 8 A. That is one aspect of it. And I have 9 read hundreds of journal articles, attend all the 10 national and international meetings, and discuss 11 with high level colleagues. But, yes, there 12 should be progress. But individuals who don't 13 have the advantages I do, aren't necessarily going 14 to progress. They could actually worsen. 15 (Exhibit 15 marked.) 16 Q BY MR. SNELL: This is the NICE, 17 N-I-C-E, Clinical Guideline 171 issued 18 September 2013 on urinary incontinence in women. 19 Are you familiar with this? 20 A. Yes, I am. 21 Q. Turn to page 24. 22 A. Okay. 23 Q. And just as background, you're aware 24 then that in the generation of this NICE guideline 25 they searched the medical literature?</p>
Page 159	Page 161
<p>1 demonstrate durable efficacy with a very low 2 complication rate, particularly in experienced 3 hands." 4 You would agree with that? 5 MR. CARTMELL: Object to the form. 6 A. I agree with parts and disagree with 7 other parts. So in totality, I would have to say 8 I disagree. 9 Q BY MR. SNELL: What do you agree with 10 in that sentence? 11 A. Long-term -- oh, what do I agree with? 12 Sorry. 13 Q. Yes. 14 A. I think, as we established, "durable 15 efficacy," I'm okay with that. 16 And then, "particularly in experienced 17 hands," as I've stated before, more experienced 18 surgeons, the data is very clear. Arnaud even 19 admitted they're going to have better results. 20 "Very low complication rates," I 21 disagree with. Strongly. 22 Q. For any type of stress incontinence 23 surgery, we can agree that more experienced 24 surgeons are going to typically give better 25 results; right?</p>	<p>1 A. Yes. They have done similar to what 2 the AUA guidelines are. All these societies do 3 essentially the same thing. 4 Q. And they say for when offering -- 5 strike that. 6 They state, paragraph 1.10.3, "When 7 offering a synthetic mid-urethral tape procedure 8 surgeons should: Use procedures and devices for 9 which there is current high quality evidence of 10 efficacy and safety." 11 Do you see that? 12 A. Yes, and I agree with that statement. 13 Q. They also say use only -- "only use a 14 device that they have been trained to use." 15 Do you agree with that? 16 A. Yes, I do. 17 Q. Do you use any devices that you 18 weren't trained on? 19 A. No. 20 Q. "Use a device manufactured from type 1 21 macroporous polypropylene tape." 22 Do you agree with that? 23 A. If he's referring to the Amid type 1, 24 I disagree with that. 25 Q. Well, there's no other type 1 system</p>

41 (Pages 158 to 161)

Daniel Steven Elliott, M.D.

Page 162	Page 164
<p>1 that reports and identifies macroporous versus</p> <p>2 microporous than Amid; correct?</p> <p>3 A. There is no industry standard</p> <p>4 regarding that. However, I'm stating that Amid is</p> <p>5 archaic. So macroporous is a relative term. We</p> <p>6 have to define what macroporous is.</p> <p>7 Q. So there is no -- so macroporous means</p> <p>8 macro, large; porous, pores; correct?</p> <p>9 A. That is the literal translation of the</p> <p>10 word, yes.</p> <p>11 Q. And in the Amid classification,</p> <p>12 macroporous is defined as greater or equal to</p> <p>13 75 microns; is that correct?</p> <p>14 A. Yeah. Yeah. Greater than or equal</p> <p>15 to, yeah, that's what Amid does.</p> <p>16 Q. And that's because the cells involved</p> <p>17 in tissue ingeneration, combating bacteria are all</p> <p>18 cells that are smaller than 75 microns; correct?</p> <p>19 A. Well, I mean, it goes beyond that,</p> <p>20 that the 75 microns and be able to have the</p> <p>21 inflammatory responders, be able to perforate</p> <p>22 through that.</p> <p>23 But, again, the data shows, Ethicon</p> <p>24 agrees as stating, that it's 1,000 microns now and</p> <p>25 a minimum under strain. So what I'm saying is the</p>	<p>1 MR. CARTMELL: Let him answer.</p> <p>2 A. And I'm saying, if all that were true,</p> <p>3 we would not be sitting here with all the</p> <p>4 degradation problems and inflammatory responses.</p> <p>5 And then I know what I read with Ethicon</p> <p>6 depositions, that they all agree that is too small</p> <p>7 and that is not the standard they go by. So all</p> <p>8 I'm saying is I do not agree with this as it's</p> <p>9 stated.</p> <p>10 Q BY MR. SNELL: But my question to you</p> <p>11 is: Based on your knowledge and scientific</p> <p>12 understanding, can macrophages extend pseudopodia</p> <p>13 to try to get to bacteria in spaces less than</p> <p>14 5 microns?</p> <p>15 A. They can try, but are they successful?</p> <p>16 Q. Are they --</p> <p>17 A. And this is -- this is 75 microns when</p> <p>18 it comes out of the box. But that's not under</p> <p>19 stress. So it decreases. So, again, where</p> <p>20 they're really insufficient and where I have privy</p> <p>21 to information is not what it comes out of the</p> <p>22 box, when it's been implanted in the woman and</p> <p>23 after contraction of scarring.</p> <p>24 Q. The pore size in the mesh for TVT is</p> <p>25 much larger than 75 microns out of the box. We</p>
Page 163	Page 165
<p>1 Amid is archaic, and not the standard used</p> <p>2 anymore.</p> <p>3 Q. Do any of the professional societies</p> <p>4 that you belong to state and define macroporous as</p> <p>5 anything other than that which the Amid</p> <p>6 classification states it as, greater than or equal</p> <p>7 to 75 microns?</p> <p>8 A. I have yet to see that in any of the</p> <p>9 society statements that they state that because</p> <p>10 they don't know the information I've been privy</p> <p>11 to.</p> <p>12 Q. We can agree that those inflammatory</p> <p>13 cells are all smaller than 75 microns; correct?</p> <p>14 MR. CARTMELL: Object to the form.</p> <p>15 A. Not necessarily, because some of the</p> <p>16 macrophages, especially under activated states,</p> <p>17 can be up to 80 micrometers or greater.</p> <p>18 Q BY MR. SNELL: Well, you know</p> <p>19 macrophages can enhance pseudopodia, which can get</p> <p>20 into spaces that are less than 5 microns; don't</p> <p>21 you.</p> <p>22 A. Then if all that were true --</p> <p>23 Q. Answer my question. Do you know that</p> <p>24 or not?</p> <p>25 A. I was answering your question.</p>	<p>1 can agree to that.</p> <p>2 A. Out of the box, I have seen numbers</p> <p>3 all over the board because they don't have a --</p> <p>4 there's not a circle with a diameter. There's</p> <p>5 wires or fibers going everywhere. So there's not</p> <p>6 a uniform size. So you may have one greater than</p> <p>7 75. Right next to it, you have one at 10 microns.</p> <p>8 And that's what P.A. Newell said under oath.</p> <p>9 Q. Have you ever put the TVT mesh out of</p> <p>10 the box next to a millimeter ruler and looked --</p> <p>11 A. Yes.</p> <p>12 Q. -- and seen whether the pores are</p> <p>13 larger than a millimeter?</p> <p>14 A. Absolutely, I have.</p> <p>15 Q. And those pores are larger than a</p> <p>16 millimeter out of the box; correct?</p> <p>17 A. Absolutely not. A millimeter?</p> <p>18 Q. Yes. 100 microns for a TVT.</p> <p>19 A. Out of the box. You might be able to</p> <p>20 find some, but right next to it it's not. But,</p> <p>21 again, that doesn't matter out of the box. It's</p> <p>22 when it is implanted in the woman under load.</p> <p>23 Q. Yes. But those inflammatory cells</p> <p>24 don't just go in circles; do they, sir?</p> <p>25 A. Well, there's going to be</p>

42 (Pages 162 to 165)

Daniel Steven Elliott, M.D.

Page 166	Page 168
<p>1 literature -- and let's go to my expert report on 2 this, on degradation and pore size. I've got the 3 literature stated from individuals like Klinge, 4 Klosterhalfen, Costello, Clave, et al., who will 5 disagree with you, that, no, that pore size is 6 insufficient to have adequate tissue incorporation 7 and prevention of the inflammation which then 8 causes degradation, et cetera. 9 Q. Klinge and those doctors were 10 assessing hernia mesh, not the TVT device in the 11 application of stress incontinence in women; 12 correct? 13 MR. CARTMELL: Object to the form. 14 A. Okay. And then -- 15 Q BY MR. SNELL: Is that a yes or no? 16 A. No. I can't answer a separate yes or 17 no because my understanding is they're doing 18 hernia meshes in the abdomen. TVT is a hernia 19 mesh being put into the vagina. So it's going to 20 be a worse of an environment because of higher 21 bacteria counts. Different types of strain. So 22 if it performs poorly in the abdomen, it's going 23 to perform worse in the vagina. 24 Q. All of the citations where you cite to 25 Klinge and those doctors in your report are in the</p>	<p>1 have been something very good for Ethicon to have 2 done. 3 MR. SNELL: Move to strike everything 4 up to the responsiveness about "when they" with 5 regard to TVT, no. 6 Q. BY MR. SNELL: You call him Klingel. 7 A. Klinge. 8 Q. Is it Klingel or Klinge? Because I 9 heard it all different ways. 10 MR. CARTMELL: I thought it's Klinge. 11 A. It's Klinge. 12 MR. CARTMELL: Klinge, okay. He said 13 Klinge. 14 Q BY MR. SNELL: Oh, I think he said 15 Klingel, like Chris Klingel? I just want to make 16 sure I know we're talking about the same person. 17 It's the same person; right? 18 A. Klinge, yeah. 19 Q. Okay. Look, I'm even worse than you 20 are with names, and you're pretty good with names. 21 I'm bad with them. All right. 22 MR. CARTMELL: Chris Klinge. 23 Q BY MR. SNELL: So we were looking at 24 that NICE guideline. It says down -- 25 MR. CARTMELL: NICE or NICE.</p>
Page 167	Page 169
<p>1 context of hernia; correct? 2 A. All right. Let's go to my expert 3 report on pore size, because if we're going to 4 talk about this in detail -- I spent a lot of time 5 on this, and so we can go to that. So I have it 6 down here beginning around page 18, where I 7 reference internal documents, studies, et cetera. 8 Q. None of them being TVT retropubic 9 device studies that were in women; correct? 10 A. Well, if -- 11 Q. That's a yes or no. So which one is 12 it? 13 MR. CARTMELL: No. You can answer. 14 Let him answer. You cut him off again. That's 15 twice in the last minute and a half. 16 MR. SNELL: No, no. I can say a yes 17 or no question, Tom; you know that. 18 MR. CARTMELL: So let him answer the 19 question. Go ahead. 20 MR. SNELL: It's a yes or no. 21 MR. CARTMELL: Go ahead. 22 A. They have done studies looking at the 23 hernia mesh. Have Klinge, Klosterhalfen and 24 others done it specifically with the TVT? No. 25 But I have to extrapolate the data. That would</p>	<p>1 Q BY MR. SNELL: That's a good one. 2 It's abbreviated NICE. 3 A. I know it. 4 Q. All right. So for the NICE guideline 5 under colposuspension, it says, "Do not offer a 6 laparoscopic colposuspension as a routine 7 procedure for the treatment of stress UI in 8 women." 9 Do you see that? 10 A. Yes, I do. 11 Q. You've never done a laparoscopic 12 Burch; right? 13 A. No, I have not. 14 Q. Why would they say that respect to the 15 laparoscopic Burch? 16 A. Well, the laparoscopic Burch is really 17 not a -- let me start over. 18 A laparoscopic Burch is not a true 19 Burch procedure. They have to modify it, and it's 20 not really even a Burch. And the success has been 21 poor with the laparoscopic procedure called the 22 laparoscopic Burch. 23 Q. Under Biological slings they say, "Do 24 not offer anterior colporrhaphy, needle 25 suspensions, paravaginal defect repair and the MMK</p>

Daniel Steven Elliott, M.D.

Page 170	Page 172
<p>1 for the treatment of stress UI."</p> <p>2 Do you see that?</p> <p>3 A. Yes, I do.</p> <p>4 Q. Is that an accurate, up-to-date</p> <p>5 statement with regard to the practice of</p> <p>6 surgically treating female stress urinary</p> <p>7 incontinence?</p> <p>8 A. This is a very simplified, infantile</p> <p>9 form of it, but anterior colporrhaphy is to treat</p> <p>10 prolapses, not incontinence.</p> <p>11 Q. Okay.</p> <p>12 A. Needle suspensions have fallen out of</p> <p>13 favor because they don't work. Paravaginal defect</p> <p>14 repair, it's, again, a prolapse repair. It's not</p> <p>15 incontinence. MMK, in the correct the high-volume</p> <p>16 surgeon's hands can have decent success with it,</p> <p>17 but that's not everybody. So I agree that it's</p> <p>18 not going to be, by any means, for the</p> <p>19 overwhelming majority of people a first-line</p> <p>20 treatment.</p> <p>21 Q. Is the MMK taught at all to residents</p> <p>22 and fellows in Mayo?</p> <p>23 A. In the GYN department it may be, but</p> <p>24 not in urology at all.</p> <p>25 Q. Do you think it's a fair statement</p>	<p>1 Q. I printed this out September 18th,</p> <p>2 2015. You see that at the bottom?</p> <p>3 A. Yes.</p> <p>4 Q. This is where the Mayo Clinic is</p> <p>5 talking about urinary incontinence, particularly</p> <p>6 for women; right?</p> <p>7 A. Yes.</p> <p>8 Q. And you see on the second page, Mayo</p> <p>9 Clinic.</p> <p>10 And you still work at Mayo Clinic;</p> <p>11 right?</p> <p>12 A. Correct.</p> <p>13 Q. Talks about "Sling procedures to treat</p> <p>14 stress incontinence"; correct?</p> <p>15 A. Correct.</p> <p>16 Q. And they say Mayo Clinic -- are you</p> <p>17 employed by Mayo Clinic or are you an independent</p> <p>18 contractor?</p> <p>19 A. No. I'm employed by Mayo.</p> <p>20 Q. Mayo Clinic says sling procedures and</p> <p>21 bladder neck suspension procedures are the most</p> <p>22 common surgical procedures; right? Falling into</p> <p>23 those categories?</p> <p>24 A. I don't see where you're reading from.</p> <p>25 Q. Let me withdraw. Restate it.</p>
Page 171	Page 173
<p>1 that as between GYNs versus urologists, GYNs tend</p> <p>2 to do more colposuspension procedures than</p> <p>3 urologists, like yourself tend to favor slings</p> <p>4 more?</p> <p>5 MR. CARTMELL: Object to the form.</p> <p>6 A. Colposuspension just means a vaginal</p> <p>7 prolapse repair. So that's what you're talking</p> <p>8 about. They do more prolapse than we do?</p> <p>9 Q. BY MR. SNELL: No. They do more like</p> <p>10 Burch and MMK?</p> <p>11 A. Oh, yes. Oh, okay. I see what you're</p> <p>12 saying.</p> <p>13 That would probably be a fair</p> <p>14 statement, yes.</p> <p>15 (Recessed from 1:45 p.m. to</p> <p>16 1:50 p.m.)</p> <p>17 (Exhibit 16 marked.)</p> <p>18 Q. BY MR. SNELL: Doctor, I've handed you</p> <p>19 Exhibit 16. This is from the Mayo Clinic</p> <p>20 regarding urinary incontinence.</p> <p>21 Is this the information you had</p> <p>22 earlier referenced that Mayo puts out regarding</p> <p>23 urinary incontinence?</p> <p>24 A. Well, this is on their web site, yeah,</p> <p>25 which I had no role in this.</p>	<p>1 MR. CARTMELL: Where's it say that?</p> <p>2 Q. BY MR. SNELL: The topic under Sling</p> <p>3 procedures to treat stress incontinence on page 2.</p> <p>4 Are you there?</p> <p>5 A. Yes.</p> <p>6 Q. All right. And Mayo Clinic, your</p> <p>7 employer, says, "Most surgical procedures to treat</p> <p>8 stress incontinence fall into two main categories:</p> <p>9 Sling procedures and bladder neck suspension</p> <p>10 procedures."</p> <p>11 A. That's what it states, but the Mayo</p> <p>12 Clinic doesn't state anything. It's a building.</p> <p>13 So this is a writer that has been hired to do</p> <p>14 this, which I had no role in, but that's what they</p> <p>15 state there.</p> <p>16 Q. Well, Mayo Clinic doesn't put</p> <p>17 unreliable information on their web site to</p> <p>18 patients; do they?</p> <p>19 A. No. Again, I'm saying, Mayo Clinic is</p> <p>20 a building. So I'm saying it's like saying the</p> <p>21 White House said something. Well, no a person</p> <p>22 said it.</p> <p>23 But I'm saying, this is what is stated</p> <p>24 on the Mayo Clinic web site.</p> <p>25 Q. Right. And it says, "During a sling</p>

44 (Pages 170 to 173)

Daniel Steven Elliott, M.D.

Page 174	Page 176
<p>1 procedure, your surgeon uses strips of synthetic 2 mesh, your own tissue or sometimes animal or donor 3 tissue to create a sling or 'hammock' under your 4 urethra or bladder neck; correct? 5 A. Correct. 6 Q. And that's accurate; right? 7 A. That is correct; yes. 8 Q. Depending upon which option a surgeon 9 chooses to offer to his or her patients; correct? 10 A. That's correct; yes. 11 Q. "The sling procedure that's best for 12 you depends upon your individual situation," it 13 says. 14 You'd agree with that? 15 A. Correct. 16 Q. It's got Tension-free sling under 17 that. You with me? 18 A. Yes. 19 Q. "No stitches are used to attach the 20 tension-free sling, which is made from a strip of 21 synthetic mesh tape"; correct? 22 A. Correct. 23 Q. And that's like the TVT retropubic 24 device; correct? 25 A. That would be one of them, but there'd</p>	<p>1 material, infection and pain." 2 That part I agree with. But in my 3 department, in Urology, no one uses meshes, except 4 for me one time in the past 2-1/2 years. I cannot 5 speak for the gynecologists. But I was not part 6 of writing this document. 7 Q. So you disagree with the Mayo Clinic's 8 web site. 9 MR. CARTMELL: Object to the form. He 10 has already answered that question. Okay? You 11 asked him specifically what the web site says. He 12 said he disagrees with it. So don't answer that. 13 Q BY MR. SNELL: How about this? A 14 little further down it says, "A conventional sling 15 sometimes requires a larger incision than a 16 tension-free sling. You may need an overnight 17 stay in a hospital and usually a longer recovery 18 period. You may also need a temporary catheter 19 after surgery while you heal." 20 You agree with that; right? 21 A. Yes. 22 Q. Do you teach your patients for whom 23 you do an autologous sling self-catheterization? 24 A. No. 25 Q. You had mentioned -- we were talking</p>
Page 175	Page 177
<p>1 be a lot in that category, yes. 2 Q. "Instead, body tissue holds the sling 3 in place"; correct? 4 A. Correct. 5 Q. "Eventually scar tissue forms in and 6 around the mesh to keep it from moving." 7 That's correct? 8 A. Yeah. That is part of the problem, 9 but, yes. 10 Q. And then they talk about retropubic 11 and transobturator approaches that we've discussed 12 today; right? 13 A. Correct. 14 Q. Then on the next page, the Mayo Clinic 15 says, "Using surgical mesh is a safe and effective 16 way to treat stress urinary incontinence." 17 A. That is what -- 18 Q. You agree with that; right? 19 A. I disagree with that. 20 Q. So you disagree with your employer, 21 the Mayo Clinic, that surgical mesh is a safe and 22 effective way to treat stress urinary 23 incontinence? 24 A. And it says, "However, complications 25 can occur in some women, including erosion of the</p>	<p>1 about -- strike that. 2 We were talking about the 17-year 3 paper by Nilsson, et al.? 4 A. Correct. 5 Q. And you had said you were not sure as 6 to whether that study followed patients who had 7 received the Prolene mesh? 8 A. Oh, I said Arnaud was not sure, and so 9 subsequently I'm not sure. 10 Q. I'm not asking about Arnaud. I'm 11 asking you. 12 A. I was clarifying. 13 Q. Okay. So what was your methodology in 14 selecting that one quote out of Arnaud's multiple 15 days of testimony? 16 MR. CARTMELL: Object to the form. 17 I'm not sure what you mean. 18 A. My methodology was, in this one very 19 straightforward. I read the deposition. They 20 asked Arnaud questions, is this TVT, and he says, 21 no, similar, but it is not TVT. 22 They say, is this polypropylene 23 Ethicon, and he says, to the effect, no it could 24 be ours. It could be Bard's. I don't know. So 25 methodology on this one is straightforward.</p>

45 (Pages 174 to 177)

Daniel Steven Elliott, M.D.

Page 178	Page 180
<p>1 Q BY MR. SNELL: So you believe the</p> <p>2 testimony was in -- that he gave was in regards to</p> <p>3 the Nilsson study?</p> <p>4 A. In the original Ulmsten study that has</p> <p>5 subsequently been carried forward to 17 years.</p> <p>6 Q. Let's mark that.</p> <p>7 (Exhibit 17 marked.)</p> <p>8 Q BY MR. SNELL: You recognize this,</p> <p>9 Doctor, to be that same study we've been</p> <p>10 discussing by Nilsson, et al.?</p> <p>11 A. That is correct. That is a --</p> <p>12 MR. CARTMELL: The 17 year?</p> <p>13 A. That's what I'm trying to find out.</p> <p>14 MR. CARTMELL: This isn't the 17 year.</p> <p>15 This is 2000 --</p> <p>16 A. This is 2001.</p> <p>17 Q BY MR. SNELL: Right. This is the</p> <p>18 same study, but it reported that the mean</p> <p>19 follow-up of 56 months; right?</p> <p>20 A. Correct. I don't know what -- I don't</p> <p>21 see what the follow-up was on this one. Was it</p> <p>22 the 5 year?</p> <p>23 Q. It's right here. It's right here.</p> <p>24 Yeah. Yeah.</p> <p>25 A. It's the 5 year. Approximately 5 year</p>	<p>1 have read him say.</p> <p>2 Q. Right. The jury can ultimately hear</p> <p>3 testimony and decide whatever they want to.</p> <p>4 A. Correct.</p> <p>5 Q. But for you as a doctor, this is</p> <p>6 medical literature. Did you read this and ignore</p> <p>7 it or did you not know about this?</p> <p>8 A. Oh, I knew it. I knew it very well.</p> <p>9 I read all these, including the 17-year one. I</p> <p>10 also know that Ulmsten was paid \$400,000, which</p> <p>11 Arnaud said was a conflict of interest and would</p> <p>12 bias the results. I also know from other things</p> <p>13 that they don't necessarily write down what the</p> <p>14 truth is. All I know is the authors were getting</p> <p>15 paid \$400,000 originally and are getting money,</p> <p>16 save TVT. The medical director of Ethicon says, I</p> <p>17 don't know if it is, maybe not, but it's not TVT.</p> <p>18 Q. And you chose to go with the medical</p> <p>19 director?</p> <p>20 A. No, I'm keeping an open mind. I have</p> <p>21 to have data to show me clearly that this was.</p> <p>22 Because from my perspective from what Arnaud said,</p> <p>23 who should be the authority, this is a Mediscan</p> <p>24 product, and or possibly Bard mesh. So it raises</p> <p>25 a major problem for me. And I am not -- if you</p>
Page 179	Page 181
<p>1 range. Yes.</p> <p>2 Q. Right.</p> <p>3 A. This is the 5-year study.</p> <p>4 Q. You're familiar with this. They</p> <p>5 follow the series at 5 years, 7, 11, and 17 years;</p> <p>6 correct?</p> <p>7 A. Yes, sir.</p> <p>8 Q. All right. And if you go to the</p> <p>9 Patients and Methods section, in the left column</p> <p>10 it says, "The TVT set consisted of two 6</p> <p>11 millimeter needles connected to a handle and a</p> <p>12 specific polypropylene (Prolene) mesh tape fixed</p> <p>13 to the needles."</p> <p>14 Do you see that?</p> <p>15 A. Yes, I do.</p> <p>16 Q. So this paper reports that the mesh</p> <p>17 they used in that Nilsson study was Prolene tape;</p> <p>18 correct?</p> <p>19 A. Even the medical director of Ethicon</p> <p>20 needs to get updated on his data. I don't know</p> <p>21 why he would raise those issues then, because he</p> <p>22 was there during this time frame and involved, as</p> <p>23 far as knowledge of these studies. So that would</p> <p>24 have to be answered by him. But he said it under</p> <p>25 oath. So all I'm doing is parroting back what I</p>	<p>1 show me -- if you have data to prove it, I would</p> <p>2 love to see it.</p> <p>3 Q. You mentioned the \$400,000 that</p> <p>4 Ulmsten received. Why does that matter to you?</p> <p>5 A. Well, conflict of interest and bias,</p> <p>6 unfortunately, exists in medicine. And that's why</p> <p>7 now we have to declare that. Originally we did</p> <p>8 not have to declare it. During my residency you</p> <p>9 didn't have to do it. Early on in staff, you</p> <p>10 didn't have to do it. But because of events like</p> <p>11 this, now you have to declare it.</p> <p>12 So if there is money and you stand to</p> <p>13 make a lot of money, there's the potential for</p> <p>14 bias. I didn't say there is there. I said</p> <p>15 there's a potential for it. There's clearly a</p> <p>16 conflict of interest, which Arnaud agreed with me</p> <p>17 on that. He said there is conflict of interest in</p> <p>18 this paper. So that is important. You have to</p> <p>19 read this article through that lens of potential</p> <p>20 bias.</p> <p>21 Q. And the same would hold true for all</p> <p>22 the Vypro and other studies you cited by</p> <p>23 Dr. Klinge who had a financial interest, correct,</p> <p>24 in promoting that product.</p> <p>25 A. You --</p>

46 (Pages 178 to 181)

Daniel Steven Elliott, M.D.

Page 182	Page 184
<p>1 MR. CARTMELL: Wait. Object to the</p> <p>2 form. It's vague and ambiguous with respect to</p> <p>3 what product you're talking about.</p> <p>4 MR. SNELL: I said Vypro; didn't I?</p> <p>5 Q. BY MR. SNELL: You know Dr. Klinge had</p> <p>6 an interest in Vypro, don't you, Doctor?</p> <p>7 A. I do know that.</p> <p>8 Q. You know he's biased with regard to</p> <p>9 Vypro; don't you?</p> <p>10 A. No. There's a difference between</p> <p>11 conflict of interest and bias. I am stating with</p> <p>12 Nilsson and Ulmsten there is a conflict of</p> <p>13 interest. There is the potential for bias. I</p> <p>14 didn't say there was bias. And as a reviewer, I</p> <p>15 have to keep an open mind and look at that. I'm</p> <p>16 not denying at all with the Klinge, Klosterhalfen,</p> <p>17 whichever one -- I can't remember which one's</p> <p>18 which. But with Vypro, if there is a financial</p> <p>19 interest there, that is a potential for conflict</p> <p>20 of interest. If there is a conflict of interest,</p> <p>21 potential for bias.</p> <p>22 Q. All right. And you know for a fact</p> <p>23 that exists with Dr. Klinge?</p> <p>24 A. I don't know for a fact. I can't keep</p> <p>25 track of who's got what where. But if you are</p>	<p>1 together. So that's not a fair comparison. The</p> <p>2 Burch can be done -- you can get it done in a 5,</p> <p>3 6, 7-centimeter incision. Outpatient, overnight</p> <p>4 stay in the hospital. So, no, I disagree with</p> <p>5 that. There are studies out there showing longer</p> <p>6 stays. It's all over the board.</p> <p>7 Q. But you'd at least agree with the</p> <p>8 statement that the pubovaginal sling is effective</p> <p>9 but is known to have a high rate of complications,</p> <p>10 require long hospital stays, and patients often</p> <p>11 experience a significant amount of pain?</p> <p>12 MR. CARTMELL: Object to the form.</p> <p>13 A. Again, we're looking at the</p> <p>14 perioperative period. So I would agree with that,</p> <p>15 but we have to always compare it to what. Are we</p> <p>16 comparing it to TVT? Are we comparing it to the</p> <p>17 synthetics? Are we comparing it to the MMK or</p> <p>18 just any transabdominal procedure?</p> <p>19 Q. BY MR. SNELL: You would agree with</p> <p>20 the statement that mid-urethral sling procedures</p> <p>21 are much less invasive than the earlier</p> <p>22 pubovaginal sling procedures; right?</p> <p>23 A. Overall, when you're doing a</p> <p>24 comparison of synthetics to the pubovaginal or</p> <p>25 Burch, those are -- the Burch and pubovaginal</p>
Page 183	Page 185
<p>1 stating for me that he has a financial interest in</p> <p>2 that, that does -- I have to be concerned about</p> <p>3 that and look at it as objectively as I can.</p> <p>4 Q. And you cited to Dr. Klinge more than</p> <p>5 10 times in your expert report; right?</p> <p>6 A. Probably. And I also cite the Nilsson</p> <p>7 and Ulmsten studies quite a bit in there, too.</p> <p>8 Those are all the body of evidence in the</p> <p>9 methodology that I have to look at is look at the</p> <p>10 potential for bias in papers.</p> <p>11 Q. Tell me if you agree or disagree with</p> <p>12 these assertions. The Burch and MMK are very</p> <p>13 invasive, often result in complications, and</p> <p>14 usually require prolonged hospital stays.</p> <p>15 A. A lot of factors. It would be easier</p> <p>16 if we go one by one or if you just want to -- if</p> <p>17 you want to take the sentence in totality, it all</p> <p>18 has to be true, I disagree with it. We can go bit</p> <p>19 by bit through it, though.</p> <p>20 Q. You would agree that Burch and MMK</p> <p>21 both are very invasive?</p> <p>22 A. I disagree. Compared to what?</p> <p>23 Q. Compared to alternative surgeries for</p> <p>24 stress urinary incontinence.</p> <p>25 A. No. Now, you've lumped MMK and Burch</p>	<p>1 slings are going to be relatively more invasive.</p> <p>2 Q. Would you agree or disagree with the</p> <p>3 statement that tension-free mid-urethral sling,</p> <p>4 like the TVT retropubic, is a significant</p> <p>5 advancement in treating stress urinary</p> <p>6 incontinence?</p> <p>7 A. Oh, yes. And early on I was very --</p> <p>8 now, again, I never used the TVT because I was</p> <p>9 described the various different fears of it. But</p> <p>10 when TVT came out, it was revolutionary. It</p> <p>11 changed the way we did things. But we didn't know</p> <p>12 what we know now. And even comparing myself to</p> <p>13 two or three years ago, my opinion has changed.</p> <p>14 So, yeah, it was touted as being revolutionary.</p> <p>15 (Discussion off the record.)</p> <p>16 (Exhibit 18 marked.)</p> <p>17 Q. BY MR. SNELL: Doctor, I've given you</p> <p>18 the Cochrane Review. This is the publication in</p> <p>19 2011.</p> <p>20 A. Correct.</p> <p>21 Q. You're familiar with this; correct?</p> <p>22 A. Yes, I am.</p> <p>23 Q. And this was the Cochrane Review where</p> <p>24 they did a comparative analysis of like the</p> <p>25 retropubic TVT versus the Burch or pubovaginal</p>

47 (Pages 182 to 185)

Daniel Steven Elliott, M.D.

Page 186	Page 188
<p>1 slings; correct?</p> <p>2 A. I see suburethral slings, open</p> <p>3 retropubic colposuspension. I don't see</p> <p>4 pubovaginal in there. I'm not saying it isn't</p> <p>5 there. I just don't see it.</p> <p>6 Q. Well, here, let's -- let me just --</p> <p>7 we'll go through it quickly. In the Results</p> <p>8 section -- I'm on the very front. They say,</p> <p>9 "Minimally invasive synthetic suburethral sling</p> <p>10 operations appeared to be as effective as</p> <p>11 traditional suburethral slings"; correct?</p> <p>12 A. Correct.</p> <p>13 Q. And when they talk about traditional</p> <p>14 suburethral slings, that would be like the</p> <p>15 autologous pubovaginal sling; correct?</p> <p>16 A. That's not nomenclature that's</p> <p>17 normally used. It's not called a suburethral</p> <p>18 sling. I would have to see what they're referring</p> <p>19 to. It's called a pubovaginal sling. It's not --</p> <p>20 suburethral slings, normal nomenclature is the</p> <p>21 synthetics.</p> <p>22 Q. On the next page where they go through</p> <p>23 the different procedures, they put the -- what I</p> <p>24 read to be the pubovaginal slings and the</p> <p>25 minimally invasive slings, like TVT, under the</p>	<p>1 recall seeing another meta-analysis. And, again,</p> <p>2 then I'd have to look at how long the follow-up</p> <p>3 is. Is it 12 months or is it 30 years. That's</p> <p>4 what matters to me, end of the patient.</p> <p>5 Q. "Minimally invasive synthetic slings</p> <p>6 appeared to be as effective as the open retropubic</p> <p>7 colposuspension."</p> <p>8 A. Yeah. I don't see where you are. And</p> <p>9 I wouldn't challenge --</p> <p>10 Q. I wouldn't mislead you. I'm just</p> <p>11 reading --</p> <p>12 A. No. I don't doubt. That's what we've</p> <p>13 been discussing all along. The Burch and the</p> <p>14 pubovaginal sling and the TVT have many studies</p> <p>15 showing they have similar efficacy.</p> <p>16 Q. And here's what I want to ask you</p> <p>17 about.</p> <p>18 But the TVT retropubic sling "has</p> <p>19 fewer perioperative complications, less</p> <p>20 postoperative voiding dysfunction, shorter</p> <p>21 operative time and hospital stay, but</p> <p>22 significantly more bladder perforations."</p> <p>23 A. Correct. And the key with that</p> <p>24 statement, as you read it, was perioperative. So</p> <p>25 that's immediate perioperative. And I'm not going</p>
Page 187	Page 189
<p>1 category of suburethral slings.</p> <p>2 Do you see that?</p> <p>3 A. Yeah. What they're doing is they're</p> <p>4 comparing it to the colposuspension, which would</p> <p>5 be probably supra urethral slings -- or</p> <p>6 supra urethral suspension. That's probably what</p> <p>7 they're doing.</p> <p>8 Q. Okay. But they found that "the</p> <p>9 minimally invasive synthetic suburethral slings</p> <p>10 appeared to be as effective as the traditional</p> <p>11 suburethral slings, but with shorter operating</p> <p>12 time and less postoperative voiding dysfunction</p> <p>13 and de novo urgency symptoms; correct?</p> <p>14 A. Okay. That's what they state, yes.</p> <p>15 Q. And have you seen data consistent with</p> <p>16 that conclusion by this Cochrane Review?</p> <p>17 A. I've seen data consistent with it and</p> <p>18 inconsistent with it. So, again, I'd have to</p> <p>19 analyze each of the studies, what they're talking</p> <p>20 about.</p> <p>21 Q. Have you seen any other meta-analyses</p> <p>22 that report that for the TVT retropubic compared</p> <p>23 to pubovaginal slings, it has a higher rate of</p> <p>24 complications?</p> <p>25 A. Again, I'd have to see the -- I don't</p>	<p>1 to challenge. I think it's going to be somewhat</p> <p>2 of a relative issue. It's the long-term</p> <p>3 complications that I'm most concerned about and</p> <p>4 see on a daily basis in my clinic.</p> <p>5 Q. So in the comparative studies for like</p> <p>6 comparing to the Burch, there are some</p> <p>7 perioperative complications that appear to be</p> <p>8 higher with Burch as compared to the TVT; correct?</p> <p>9 A. Correct.</p> <p>10 Q. Bladder perforation being the one</p> <p>11 higher with the TVT because of the retropubic</p> <p>12 passage; correct?</p> <p>13 A. Correct.</p> <p>14 Q. A little further down they say that</p> <p>15 the "retropubic bottom-to-top route was more</p> <p>16 effective than the top-to-bottom route"; correct?</p> <p>17 A. That was their conclusion. It says</p> <p>18 effective in -- it doesn't say exactly here, but I</p> <p>19 assume they're talking about stress urinary</p> <p>20 incontinence. That's what they state.</p> <p>21 Q. That's consistent with the Ford paper</p> <p>22 you cited; right?</p> <p>23 A. Yes.</p> <p>24 Q. And the approach used by TVT</p> <p>25 retropubic "incurred significantly less voiding</p>

48 (Pages 186 to 189)

Daniel Steven Elliott, M.D.

Page 190	Page 192
<p>1 dysfunction, bladder perforations, and tape 2 erosions"; correct? 3 A. That's what they state, yes. 4 Q. That's consistent with the Ford paper; 5 right? 6 A. I'd have to look back at that, but it 7 sounds similar. 8 Q. "Monofilament tapes had significantly 9 higher objective cure rates compared to 10 multifilament tapes and fewer tape erosions." 11 Do you see that? 12 A. Yes. 13 Q. And TVT is a monofilament tape; 14 correct? 15 A. Correct. 16 Q. And that's a benefit of monofilament 17 tapes over multifilament tapes, where they have 18 fewer erosions; correct? 19 A. Yeah. The multifilament is going to 20 be a worse product. Doesn't mean monofilament is 21 safe. It just says is safer relative to the worst 22 product. Worse -- 23 Q. And the -- I'm sorry. You're going -- 24 A. No, no, no, no. 25 Q. And the monofilament tape had a rate</p>	<p>1 Let's see here. There's Kuhn, et al. 2 Q. Let me see where you're at. 3 A. Which is a TVT paper. Let me see 4 where Kuhn is referenced. I'd have to search for 5 it. 6 Q. Just so I'm on the same page as you, 7 Doctor, I appreciate you telling me what page of 8 your report you're on where you discuss 9 contraction with the TVT. I'm going to let you -- 10 let's take a quick break. 11 (Recessed from 2:17 p.m. to 12 2:28 p.m.) 13 Q BY MR. SNELL: All right. Okay, 14 Doctor, before we took a break, I asked you to 15 show me in your expert report where you discuss 16 contraction rates with regard to the TVT device 17 and its use in women for stress urinary 18 incontinence. 19 Can you point me to that? 20 A. Well, in the Contraction section, 21 obviously we do a lot of discussion about 22 contraction, various different studies with it. 23 When we limit it specifically to TVT, I think we 24 have to look at Wang, et al., on page 24, where 25 we're talking about infections, erosions and</p>
Page 191	Page 193
<p>1 of erosion of 1.3 percent; correct? 2 A. Based upon their analysis here in the 3 hands of experts and short-term follow-up, yes, 4 that's the number they found. 5 Q. Were you aware of this Ogah/Cochrane 6 Review at the time you wrote your draft -- your 7 expert report? 8 A. I don't recall when I became aware of 9 it. It's a -- it's a well-known paper. 10 Q. In looking at your report, I did not 11 see you citing to any TVT retropubic device 12 literature where the device had been used to treat 13 stress urinary incontinence in women and where it 14 was reported that there was contraction. 15 Is that a fair statement with regard 16 to your report? 17 A. No. That would be incorrect. 18 Q. Where in your report do you report 19 studies in TVT in women that reports contractions? 20 A. Well, wherever there is pain, wherever 21 there is extrusion, that is evidence of 22 contraction. 23 Q. Where in your report do you report 24 that? 25 A. Well, if we go to pain or dyspareunia.</p>	<p>1 exposures, because the complication of contraction 2 is intimately tied to also exposures and 3 infections. 4 Q. So TVT and contraction -- strike that. 5 So for TVT contraction in women, you 6 point me to Wang on page 24? 7 A. That's when you specifically limit it 8 just to the TVT product. 9 Q. Right. 10 A. Because as I mentioned, all 11 complications are all intertwined. So exposure, 12 infection is intertwined with inflammation, 13 contraction, degradation, et cetera. 14 Q. And the other part of your report 15 where you talk about contraction, you talk about 16 Klinge and his discussion of hernia mesh 17 contraction; right? 18 A. That is correct, because that is a TVT 19 mesh implanted via the abdominal route. 20 Q. All right. It's not cut to and 21 configured as TVT is; correct? 22 A. No. But without -- no, you are 23 correct. However, the TVT mesh has different 24 forces placed upon it that the hernia meshes do 25 not, i.e., you can make hernia meshes lay flat.</p>

Daniel Steven Elliott, M.D.

Page 194	Page 196
<p>1 You can't do that with the vagina.</p> <p>2 Q. The hernia mesh does not have a sheath</p> <p>3 on it; correct?</p> <p>4 A. No. It does not, but it's also not</p> <p>5 placed in the vagina to have bacterial</p> <p>6 contamination.</p> <p>7 Q. When you say bacterial contamination,</p> <p>8 you're not referring to infection; are you?</p> <p>9 A. I'm referring to bacterial</p> <p>10 contamination.</p> <p>11 Q. Right. There is a difference between</p> <p>12 bacterial contamination and infection; correct?</p> <p>13 A. Yes, but infection starts with a</p> <p>14 contamination.</p> <p>15 Q. Right. You're aware of the paper by</p> <p>16 Pat Culligan where they found and they quantified</p> <p>17 the different bacteria counts in the vagina?</p> <p>18 A. Correct.</p> <p>19 Q. In that study there were patients who</p> <p>20 received the TVT as well; correct?</p> <p>21 A. I'd have to look at it. I don't</p> <p>22 recall the specifics.</p> <p>23 Q. Would it surprise you to learn that</p> <p>24 there were no infections with the TVT mesh in the</p> <p>25 Culligan paper.</p>	<p>1 Q. And you have not stated in your report</p> <p>2 the rate at which clinical infections occur with</p> <p>3 TVT; have you?</p> <p>4 A. I don't recall that specific, but the</p> <p>5 way you phrase it, specifically mentioned in</p> <p>6 there.</p> <p>7 Q. I have not seen in your expert report</p> <p>8 where you calculate and state the complication</p> <p>9 rates with the TVT retropubic device.</p> <p>10 A. Because we don't know the true</p> <p>11 complication rate. We can quote studies, as I</p> <p>12 mentioned, in high volume surgeons with limited</p> <p>13 follow-up. We can quote those. But as I said, we</p> <p>14 don't know the true complication rate.</p> <p>15 Q. Well, there are meta-analyses, and</p> <p>16 we've gone through a couple of them today and</p> <p>17 various other studies that report rates of</p> <p>18 complications, and you're aware of that; correct?</p> <p>19 A. Yes. But that does not reflect what</p> <p>20 is happening out in the real world and what I see</p> <p>21 in my daily practice. That the average low-volume</p> <p>22 surgeon, who does the majority of the TVTs in the</p> <p>23 United States, that's what -- you know, because</p> <p>24 Arnaud even admitted, their complication rates are</p> <p>25 even going to be higher. So, yes, we can quote</p>
Page 195	Page 197
<p>1 MR. CARTMELL: Object to the form.</p> <p>2 A. I would have to look at the</p> <p>3 methodology, because methodology is very</p> <p>4 important. I'd have to look at how they did the</p> <p>5 study and what they looked at.</p> <p>6 Q BY MR. SNELL: Have you looked at</p> <p>7 that?</p> <p>8 A. Yes, I have, but I don't have it off</p> <p>9 the top of my head.</p> <p>10 Q. Is it your opinion that whenever mesh</p> <p>11 is placed through the vagina there is bacteria</p> <p>12 that gets on it?</p> <p>13 A. We know that the vagina's impossible</p> <p>14 to sterilize, and so when you place it through the</p> <p>15 vagina, you are going to have contact with that.</p> <p>16 So it's even with the sheath on it, but then when</p> <p>17 you remove the sheath, there's going to be issues</p> <p>18 there. So the risk for contamination on every</p> <p>19 single one is definitely there.</p> <p>20 Q. But that does not translate into</p> <p>21 infection?</p> <p>22 A. It might not translate into a clinical</p> <p>23 infection/abscess, but it can correlate to a</p> <p>24 subclinical infection, leading to inflammation,</p> <p>25 degradation, and that cascade.</p>	<p>1 extensively the studies that you've done that show</p> <p>2 these various different complication rates with</p> <p>3 short-term follow-up and highly experienced</p> <p>4 surgeons.</p> <p>5 Q. In the studies that report on the TVT</p> <p>6 retropubic device, what percentage of those</p> <p>7 studies involved surgeons who were of average</p> <p>8 quality?</p> <p>9 A. Well, I can't speak to quality. All</p> <p>10 we can speak to is volume.</p> <p>11 Q. How many of those then had average</p> <p>12 volume for the TVT retropubic studies?</p> <p>13 A. Most likely very few of those had</p> <p>14 small volume. And the Kuuva study, they</p> <p>15 eliminated the lower volume studies -- lower</p> <p>16 volume people. So they falsely raised their</p> <p>17 success rate and lowered their complication rate.</p> <p>18 But, no, small volume surgeons aren't going to</p> <p>19 publish anything because they're small volume.</p> <p>20 MR. SNELL: Move to strike.</p> <p>21 Q. BY MR. SNELL: Do you know of all the</p> <p>22 TVT retropubic device studies which percent of</p> <p>23 them included surgeons that had average volume or</p> <p>24 less?</p> <p>25 MR. CARTMELL: Object to the form.</p>

50 (Pages 194 to 197)

Daniel Steven Elliott, M.D.

Page 198	Page 200
<p>1 Asked and answered. He said a very small 2 percentage of those. He answered your question. 3 He also said other information, but he 4 specifically answered your question. So please 5 move on.</p> <p>6 Q BY MR. SNELL: Is that correct; you 7 believe it's a very small number?</p> <p>8 A. Average or low-volume surgeons aren't 9 going to have their data included because they 10 don't have enough data to analyze.</p> <p>11 The only way I can answer your 12 question is Kuuva, et al., where they actually 13 eliminated the small volume surgeons who had done 14 less than 15.</p> <p>15 Q. I'm familiar with the Kuuva paper. 16 I'm talking about the hundreds of other TVT 17 retropubic papers. In those, is it correct that 18 you don't know what percent of those papers 19 reported on surgeons who had average to low 20 volume?</p> <p>21 MR. CARTMELL: Objection. Asked and 22 answered. You can tell him again.</p> <p>23 A. As I stated, my opinion is it's going 24 to be a very, very small number of small volume 25 surgeons are going to be included in those</p>	<p>1 analysis by which you segregated the investigators 2 who had low to average surgical volume as compared 3 to more than that?</p> <p>4 A. I have reviewed the literature 5 extensively. Can I quote to a certain specific 6 paper? No. If you have one, show me, and I'll 7 keep an open mind and modify my statement. But 8 this is based upon experience. Again, national, 9 international meetings. Editor -- or reviewer of 10 15 different journals. And I'm reading these 11 papers constantly. And you're not seeing 12 low-volume surgeons produce papers. The only one 13 that comes close to it is Anger, et al., which 14 demonstrated that low-volume surgeons had higher 15 complication rates.</p> <p>16 Q. Do you believe lower-volume surgeons 17 with other stress incontinence surgeries, like the 18 Burch or pubovaginal slings, have higher 19 complication rates?</p> <p>20 A. I would think that would be true. And 21 those surgeons usually don't do those surgeries 22 because they are more complicated surgeries to 23 perform. It takes more talent to do. So most of 24 those surgeons don't do it. That was the 25 revolutionary aspect of TVT because it opened up</p>
Page 199	Page 201
<p>1 studies, if any, because you don't write up a 2 paper if you've done 10. No one's going to get 3 accepted.</p> <p>4 Q BY MR. SNELL: Well, you wrote up a 5 paper where you did 10 transobturator procedures?</p> <p>6 A. Absolutely I did, and that was called 7 a feasibility study. In properly counseled 8 patients. I am not out there touting that that is 9 the new gold standard. That's why we called it a 10 feasibility study.</p> <p>11 Q. Other than the Kuuva paper, what are 12 you relying on for that statement that it would be 13 a very, very small number?</p> <p>14 A. Based upon my experience and 15 attendance at national and international meetings, 16 working at a tertiary care center, working on the 17 journal articles from 15 different journals, that 18 small volume surgeons don't write papers because 19 there's nothing there to publish. So, therefore, 20 my experience is, and I'll state unequivocally, 21 very, very small percentage. If you want a 22 number, 1 to 2 percent, if that. And they're not 23 going to get published anywhere.</p> <p>24 Q. Have you surveyed the literature for 25 all the TVT retropubic device studies and done an</p>	<p>1 minimal -- it opened up stress incontinence 2 surgery to the common surgeon.</p> <p>3 Q. Is the common surgeon unqualified in 4 your opinion to do TVTs?</p> <p>5 A. The common surgeon needs to -- no, the 6 common surgeon -- let's be careful on the word 7 "common." I'm saying the average, private 8 practice surgeon, who is doing less than 15 or so 9 a year, based upon the Kuuva study, et al., is 10 going to be having a higher complication rate. 11 Most of these studies also demonstrate in highly 12 experienced hands.</p> <p>13 So I'm saying as far as the common, 14 the average surgeon out there, they are not going 15 to have the expertise of the high-volume surgeons; 16 hence, complications go up.</p> <p>17 Q. Do you believe that surgeons in 18 private practice have less surgical skills than 19 surgeons in universities?</p> <p>20 A. Absolutely not. It just depends upon 21 their experience. There are some that I know in 22 private practice who do very high volumes. It's 23 not an issue of the specific individual. It's an 24 issue of their volumes. And you know if you look 25 at the Nilsson study, Nilsson is a five-year</p>

51 (Pages 198 to 201)

Daniel Steven Elliott, M.D.

Page 202	Page 204
<p>1 study. That was -- five-year study? Yeah. It's 2 a five-year study. 3 See, they very clearly -- all surgeons 4 involved were experienced urogynecologists well 5 trained in TVT surgery. That's not going to be 6 your average surgeon. That's are highly qualified 7 people. 8 Q. How many average pelvic surgeons in 9 the United States use TVT? 10 A. I can't answer that question. I don't 11 know the -- a way of referencing it. We'd have to 12 look at ethical sales and where they go to and the 13 volumes that move off the shelf. That data would 14 be available. 15 Q. Have you analyzed that data? 16 A. That data's been tried to get and 17 can't. 18 Q. How many high-volume surgeons are 19 there in the United States for TVT retropubic 20 device as you define high volume? 21 A. There's going to be a certain number. 22 But I don't know what that number would be. 23 Around the nation there's going to be people that 24 are going to be very good surgeons. 25 Q. Are residents -- do residents</p>	<p>1 insufficient. 2 Q. Have you analyzed the studies overall 3 that show that the majority of complications do 4 occur in the first 12 months? 5 MR. CARTMELL: Object to the form. I 6 think it misstates the evidence in the studies. 7 A. Yeah. And it's also -- the 8 complications they know of at that point. Because 9 I can give you examples of bladder erosions that 10 I've taken care of that I put in the sling that at 11 7 years they're fine. At year 8 there's an 12 erosion, which we've examined. So we have to look 13 at the life of the patient. 14 Q BY MR. SNELL: In the studies that 15 report on TVT retropubic at five years duration or 16 more, what is the rate of mesh exposure occurring 17 after five years. 18 A. It's unknown. 19 Q. You mentioned the Wang paper. Let me 20 just make sure I have it here. I think I do. 21 (Exhibit 19 marked.) 22 Q. BY MR. SNELL: Is this the Wang paper 23 you referenced, Doctor, with regard to TVT? 24 A. Correct. 2004 publication, yes. 25 Q. And that paper says on the first page</p>
Page 203	Page 205
<p>1 typically have higher complication rates than the, 2 you know, professors or the surgeons who teach 3 them? 4 A. It depends. If the resident is 5 running solo and doing a case without any 6 supervision, that possibly could be the case. 7 However, if they have been well trained in a 8 certain procedure and they're doing it solo and 9 they've done more than anybody else -- they've 10 done an acceptable number, their complications are 11 going to be low. There's too many variables to be 12 able to answer that question. 13 Q. If a surgeon is a -- strike that. 14 If a surgeon is more than an average 15 surgeon, as you've stated, and he or she uses TVT 16 retropubic device, based upon the data, you would 17 agree then that the rate of complications are 18 acceptable in his or her hands? 19 A. Number one, acceptable, no. Number 20 two, it depends upon what -- how much follow-up 21 they have. And it's true, a surgeon can put in 22 the device and at one year that woman has not 23 experienced any complications yet. But that 24 device is going to stay in her the rest of her 25 life. That's why I'm saying all these studies are</p>	<p>1 "Prolene tape seems unusually biocompatible when 2 used as a suburethral sling"; correct? 3 It's all on the very first page. 4 A. I'm sorry. Where are you? 5 Q. Very first page. Right here. 6 A. That's what it states, yes. 7 Q. And so this paper by Wang is actually 8 inconsistent with your belief that Prolene -- 9 strike that. 10 Do you believe Prolene mesh is not 11 biocompatible? 12 A. I do not believe it is biocompatible, 13 no. 14 Q. In what percentage of patients is 15 Prolene tape -- strike that. 16 In what percentage of patients is the 17 Prolene mesh used in TVT for the treatment of 18 incontinence not biocompatible? 19 A. That's impossible to know because 20 there's been no good studies looking long-term at 21 them. 22 Q. Well, in this paper, out of 700 women 23 that you reference, the rate of exposure was 24 2.4 percent; correct? 25 MR. CARTMELL: Object to the form.</p>

52 (Pages 202 to 205)

Daniel Steven Elliott, M.D.

Page 206	Page 208
<p>1 A. Correct. During the time period of</p> <p>2 this study, of 7 -- I don't see what the follow-up</p> <p>3 is.</p> <p>4 MR. CARTMELL: I think that misstates</p> <p>5 the evidence. The question assumes facts that are</p> <p>6 not in evidence.</p> <p>7 A. The paper, at least in the abstract,</p> <p>8 does not state the follow-up time. But this paper</p> <p>9 states defective vaginal healing that became</p> <p>10 clinically significant was 2.4 percent during the</p> <p>11 study period. But, again, I'm trying to find</p> <p>12 the -- this is at 1 to 3 months. Defective</p> <p>13 healing from 1 to 3 months, it looks like. So</p> <p>14 it's a very short-term study.</p> <p>15 Q BY MR. SNELL: Well, they actually</p> <p>16 looked at a longer time period than 3 months in</p> <p>17 this paper; right? It's just that the healing</p> <p>18 problems arose before three months; correct?</p> <p>19 A. The acute healing problems arose</p> <p>20 during that time, yes.</p> <p>21 Q. And so that means that 97.6 percent of</p> <p>22 the women did not have vaginal healing problems;</p> <p>23 right?</p> <p>24 A. At the time the study was conducted.</p> <p>25 Q. Fair enough.</p>	<p>1 complained of pain, 4 complained of dyspareunia, 5</p> <p>2 complained of vaginal bleeding and irritated</p> <p>3 voiding. And so to break it down into specific</p> <p>4 little complications is disingenuous at best. But</p> <p>5 going to that, yeah, 4 out of 700 complained</p> <p>6 specifically of dyspareunia during this short</p> <p>7 period of time, short period of follow-up.</p> <p>8 Q. And that's less than 1 percent; right?</p> <p>9 A. It's whatever the math is. Again, I</p> <p>10 don't -- I can trust you on the math, I think.</p> <p>11 Q. 5 out of 700's less than 1 percent;</p> <p>12 correct?</p> <p>13 MR. CARTMELL: He's answered you.</p> <p>14 Asked and answered.</p> <p>15 Q. BY MR. SNELL: I'm talking about the</p> <p>16 pain rate now. Not dyspareunia.</p> <p>17 A. Pain? Well, pain -- if you want pain,</p> <p>18 it's going to be different. So it's going to be</p> <p>19 9. Pain is roughly a 2 percent incidence of pain</p> <p>20 at that point in time.</p> <p>21 Q. Where do you get 2 percent?</p> <p>22 A. We have five women complained of pain.</p> <p>23 Four women complained of dyspareunia. Five women</p> <p>24 complained of vaginal bleeding and irritated</p> <p>25 voiding.</p>
Page 207	Page 209
<p>1 And you see there were four women what</p> <p>2 complained of dyspareunia? I'm right here in the</p> <p>3 Results section.</p> <p>4 A. Five complained of pain and four</p> <p>5 complained of dyspareunia by themselves or their</p> <p>6 partner.</p> <p>7 Q. And so four women complained of</p> <p>8 dyspareunia by themselves or their partner or</p> <p>9 partner discomfort; right?</p> <p>10 A. Yes. So nine patients overall</p> <p>11 complained of pain.</p> <p>12 Q. All right.</p> <p>13 A. Four complained of dyspareunia.</p> <p>14 Q. And as for dyspareunia, that rate is</p> <p>15 0.57 percent; correct? This paper you point to.</p> <p>16 A. A -- well, it's 4 out of 700 patients</p> <p>17 at that short-term follow-up. That's how many</p> <p>18 complained of dyspareunia.</p> <p>19 Q. And does it sound about right that</p> <p>20 that rate is 0.57 percent.</p> <p>21 A. I would have to do the math on it.</p> <p>22 I'll have to take your word for that.</p> <p>23 Q. Well, 4 is certainly -- 4 women out of</p> <p>24 700 is certainly less than 1 percent; right?</p> <p>25 A. Well, if you look at this, 5 women</p>	<p>1 Q. Doesn't say those five complained of</p> <p>2 pain.</p> <p>3 A. No, they didn't. But they</p> <p>4 complained -- they complained of something else.</p> <p>5 So, again, what is always -- I'll let you have</p> <p>6 this, but as a doctor that takes care of patients</p> <p>7 who are crying in my office, you guys break down</p> <p>8 the complications. Yeah. So, yes. 9 patients in</p> <p>9 this series out of 700 complained of pain. The</p> <p>10 other ones weren't happy with vaginal bleeding,</p> <p>11 irritated voiding.</p> <p>12 Q. That was five who weren't happy with</p> <p>13 vaginal bleeding or irritated voiding; correct?</p> <p>14 A. Correct.</p> <p>15 Q. And they ended up, 7 patients in this</p> <p>16 series that you point to required excision of the</p> <p>17 exposed suburethral part of the sling; is that</p> <p>18 correct?</p> <p>19 A. That's correct.</p> <p>20 Q. So that was an excision rate of only</p> <p>21 1 percent in this entire cohort; right?</p> <p>22 A. During the very limited follow-up</p> <p>23 duration of this study, that is the number they</p> <p>24 came up with.</p> <p>25 Q. When you say limited follow-up</p>

53 (Pages 206 to 209)

Daniel Steven Elliott, M.D.

Page 210	Page 212
<p>1 duration, why do you say that?</p> <p>2 A. What's going to happen in 5 years? 10</p> <p>3 years? 20 years?</p> <p>4 Q. How about this? Why don't we look a</p> <p>5 little bit further below that. You see the mean</p> <p>6 follow-up of 68.2 months?</p> <p>7 A. Okay. What about 69 months -- I'm</p> <p>8 sorry.</p> <p>9 Q. That's over five years, isn't it,</p> <p>10 Doctor?</p> <p>11 A. And as I have mentioned over and over</p> <p>12 and over, this is an implantable medical device,</p> <p>13 as you mentioned. There are studies out there.</p> <p>14 Klinge, 15 years, degradation continues. This is</p> <p>15 a progressive process. I see these patients in my</p> <p>16 clinic that aren't being followed by anybody. So</p> <p>17 I'm saying 5 years, that's a step in the right</p> <p>18 direction. But if a woman lives 30 years beyond</p> <p>19 that, what's going to happen in that time frame?</p> <p>20 Our data suggests it's going to get worse.</p> <p>21 MR. SNELL: Move to strike.</p> <p>22 Q. BY MR. SNELL: In this paper you point</p> <p>23 to -- you pointed me to, at over 5 years</p> <p>24 follow-up, there was only 1 percent rate of mesh</p> <p>25 excision to treat the exposure; right?</p>	<p>1 MR. SNELL: You're not testifying,</p> <p>2 Tom, please.</p> <p>3 MR. CARTMELL: -- there's 7 erosions</p> <p>4 when there's 17 erosions. In fairness.</p> <p>5 MR. SNELL: You know what. You're</p> <p>6 totally off base.</p> <p>7 MR. CARTMELL: I am?</p> <p>8 MR. SNELL: Yes.</p> <p>9 MR. CARTMELL: Tell me how.</p> <p>10 MR. SNELL: On your time I was asking</p> <p>11 him about erosions that needed surgical -- where's</p> <p>12 the paper? We just went through this, didn't we,</p> <p>13 Doctor.</p> <p>14 MR. CARTMELL: 17 erosions. 17</p> <p>15 erosions, it says right here.</p> <p>16 MR. SNELL: Tom, you're being</p> <p>17 nonsensical. I asked him about the ones that</p> <p>18 required excision.</p> <p>19 MR. CARTMELL: No, you didn't. You</p> <p>20 said erosions in general, and the record will</p> <p>21 reflect that.</p> <p>22 Q. BY MR. SNELL: Sir, don't you remember</p> <p>23 me asking you about 7 of those patients required</p> <p>24 excision of the exposed suburethral part of the</p> <p>25 sling? Didn't I ask you about that?</p>
Page 211	Page 213
<p>1 A. That is what the study stated at five</p> <p>2 years, yes.</p> <p>3 Q. So that means at a mean follow-up</p> <p>4 greater than 5 years, 99 percent of the women in</p> <p>5 this entire large cohort didn't need a mesh</p> <p>6 excision procedure; correct?</p> <p>7 A. The key is yet.</p> <p>8 Q. And there are other studies that</p> <p>9 report --</p> <p>10 MR. CARTMELL: Just for the record, I</p> <p>11 want it to be clear, because I think it's unfair</p> <p>12 to the witness that you've been representing that</p> <p>13 there was a small number of erosions. And I think</p> <p>14 there were 17 erosions in the cohort. And I want</p> <p>15 the record to be clear for that.</p> <p>16 MR. SNELL: I think -- the study says</p> <p>17 what it says, so I can't --</p> <p>18 MR. CARTMELL: Yeah, but you're just</p> <p>19 kind of trying to trick him, you know, because</p> <p>20 you --</p> <p>21 MR. SNELL: I'm not tricking him. He</p> <p>22 pointed to this study, Tom. He knows this study.</p> <p>23 Don't try to tell me I'm tricking a witness about</p> <p>24 a paper he told me -- he's pointing me to.</p> <p>25 MR. CARTMELL: So don't say --</p>	<p>1 A. You asked me a question. I can't</p> <p>2 remember the specific details of it.</p> <p>3 Q. BY MR. SNELL: But it says seven</p> <p>4 required excision of the exposed suburethral part</p> <p>5 of the sling; right?</p> <p>6 A. That's what that says there, and the</p> <p>7 other part says 17 out of 100 had defective</p> <p>8 vaginal healing.</p> <p>9 Q. And it gives the measurement, CA 1</p> <p>10 times 0.5 centimeters; correct?</p> <p>11 MR. CARTMELL: Okay. Now, it's all on</p> <p>12 the record. Now it's fair.</p> <p>13 MR. SNELL: It was fair before. He</p> <p>14 cited to the document. He knows the study.</p> <p>15 (Exhibit 20 marked.)</p> <p>16 Q. BY MR. SNELL: Giving you one of the</p> <p>17 publications by Klinge, Alloplastic Implants for</p> <p>18 the Treatment of Stress Urinary Incontinence and</p> <p>19 Pelvic Organ Prolapse.</p> <p>20 You see this?</p> <p>21 A. Yes, I do.</p> <p>22 Q. Whereas you cited to Klinge about</p> <p>23 hernia and other papers, you didn't cite to his</p> <p>24 discussion of the TVT mesh; did you?</p> <p>25 A. I don't recall that specifically.</p>

54 (Pages 210 to 213)

Daniel Steven Elliott, M.D.

Page 214	Page 216
<p>1 Q. Look for where Klinge was writing 2 about meshes in stress urinary incontinence. 3 You there? 4 A. Yes. I mean, I'm sorry. I'm at the 5 Meshes and Stress Urinary Incontinence. I'm there 6 now. 7 Q. All right. And you saw Dr. Klinge was 8 one of the authors of this section; right? 9 A. Correct. 10 Q. And it says, "At present the gold 11 standard in SUI surgery is the suburethral sling 12 using either the tension-free vaginal tape (TVT) 13 or the transobturator tape (TOT) technique"; 14 correct? 15 A. That's what he states, yes. 16 Q. And do you disagree with Dr. Klinge? 17 A. I disagree. 18 Q. It said, the initial concern that the 19 meshes used might lead to high rates of erosions, 20 did not hold true when macroporous polypropylene 21 was used; correct? 22 A. That's what it states, yes. 23 Q. And here when Dr. Klinge is talking 24 about macroporous polypropylene in the context of 25 stress urinary incontinence, he's talking about</p>	<p>1 referencing to the Meschia study. 2 Q. And you know that that's a study that 3 looks at the Ethicon TVT retropubic device? 4 A. I'd have to look back at the study. I 5 don't remember the study. 6 Q. Okay. So at least in the context of 7 the intended use to treat stress urinary 8 incontinence with regard to the TVT device, he 9 reports that tape is a type 1 macroporous tape? 10 A. That's what he reports in 2010. 11 Q. Right. 12 A. Which then reflects data from 2008. 13 And that's what he states. 14 I disagree with it. Be interesting to 15 what he says now. 16 Q. Now that he's been paid hundreds of 17 thousands of dollars by the plaintiffs' lawyers in 18 the mesh litigation? 19 MR. CARTMELL: Object to the form. 20 It's argumentative. Be distracting. 21 A. If you want to go on the record that 22 he's being biased. 23 Q. BY MR. SNELL: Do you know how many 24 royalties he -- Dr. Klinge received on Vypro? 25 A. I'm not familiar with that number</p>
Page 215	Page 217
<p>1 the mesh in TVT; correct? 2 MR. CARTMELL: Object to the form. 3 A. No. He doesn't state which he's 4 talking -- referring to. The sentence prior, it 5 says TVT or transobturator tape. There's a lot of 6 different ones out there. And then he says, "The 7 initial concern that meshes." He does not say 8 TVT. So all he's saying is meshes. 9 Q. BY MR. SNELL: Well, you see below 10 that, right, where he talks about -- he follows up 11 on his point. 12 He says, "There was a zero percent 13 exposure rate using the classical TVT (Type 1 14 macroporous monofilament polypropylene) mesh in 15 the same trial"; correct? 16 A. Well, that's in the second -- in the 17 next paragraph down. I'm talking about the 18 sentence you showed me. Initial concern that 19 meshes. So it doesn't say TVT. We can agree it 20 says meshes, and I'll agree that's what it states, 21 but he doesn't say TVT. 22 Q. We can agree that he says the 23 classical TVT (type 1 macroporous monofilament 24 polypropylene) mesh; right? 25 A. That's what he's saying when he's</p>	<p>1 because I'm doing involvement of TVT case, not 2 Vypro. 3 Q. Do you know how many royalties 4 Dr. Klinge has received for ULTRAPRO? 5 A. The same answer as before, because I 6 know what data I've been provided on TVT. I have 7 not been provided confidential data on Vypro or 8 the other ones. 9 Q. And you don't disagree that when Amid 10 type 3 mesh, used for intravaginal slingplasty, 11 the vaginal erosion rate was 9 percent, and the 12 rate was 0 percent with TVT? 13 MR. CARTMELL: Object to the form. 14 A. I agree with the first part. I don't 15 agree with the second part. 16 The Amid type 3 like the ObTape, which 17 I'm very familiar with, had an unacceptably 18 significant complication rate with it. 19 Q. BY MR. SNELL: And you didn't cite to 20 this writing by Klinge in your expert report; did 21 you? 22 A. I cited Klinge multiple times. I 23 don't know if this specific -- this is a book 24 chapter. I quoted this one. Book chapters I tend 25 not to quote.</p>

55 (Pages 214 to 217)

Daniel Steven Elliott, M.D.

Page 218	Page 220
<p>1 Q. Well, this is one place in the medical 2 literature where Dr. Klinge discussed his views on 3 what type of mesh TVT mesh was in the application 4 of treating stress urinary incontinence and 5 whether or not it was the gold standard. 6 Have you seen that published anywhere 7 else? 8 MR. CARTMELL: Objection. 9 Q BY MR. SNELL: By Dr. Klinge. 10 MR. CARTMELL: Objection. And move to 11 strike this statement of counsel. 12 A. And I agree with you completely, and 13 that should tell you something about Klinge's 14 expertise, as far as a stress urinary incontinence 15 surgeon, which he is not. He's a mesh expert. 16 But he's not a transvaginal surgeon. He's never 17 been involved in one of these cases. So you 18 search around and find one reference where he's 19 quoting something in the book, okay, that's what 20 it is. 21 Q BY MR. SNELL: He doesn't just quote 22 something in a book. He's actually citing data, 23 randomized trial data on TVT versus an alternative 24 mesh; doesn't he? 25 A. I'm saying he is not a surgeon. He's</p>	<p>1 know? 2 MR. SNELL: So the question is would 3 you -- well, I take it he's read Dr. Klinge's 4 writings. He's seen Dr. Klinge's statements. 5 MR. CARTMELL: What writings are you 6 asking him about? If you have writings about 7 DynaMesh that you want to ask him about, put them 8 in front of him. Why all the questions about 9 studies and things that you don't even let him 10 look at. 11 MR. SNELL: He can look at anything he 12 wants. 13 MR. CARTMELL: Then put it in front of 14 him. 15 MR. SNELL: It's not my job to put it 16 in front of him. It's the job of your witness to 17 bring his file. Secondly, he cites to Klinge 18 about 100 times in the report, and not once does 19 he acknowledge any of this. 20 MR. CARTMELL: If you're going to ask 21 him about a study specifically on it that's on his 22 reliance list, then bring it with you and ask him 23 questions and let him look at it so it can be 24 fair. How about that? How about that? 25 MR. SNELL: He could bring his own</p>
Page 219	Page 221
<p>1 not providing expertise as a pelvic surgeon like I 2 am. He's a mesh expert, a very good one, but he 3 is not a pelvic surgeon. 4 Q. Do you know how many royalties 5 Dr. Klinge gets with regard to his work with the 6 German DynaMesh mesh? 7 A. I have not heard a number, no. 8 Q. You know he does get money from that 9 mesh; right? 10 A. I just said I don't know. I don't 11 know. I'm not a faithful apostle of Dr. Klinge. 12 I don't know what he does. 13 Q. Do you acknowledge he's got a 14 conflict -- 15 MR. CARTMELL: All you got to do is 16 answer do you know or not. 17 A. I do not know. 18 Q BY MR. SNELL: You know that he has a 19 conflict of interest when it comes to DynaMesh; 20 don't you? 21 MR. CARTMELL: What it comes to what? 22 MR. SNELL: DynaMesh, D-y-n-a-M-e-s-h. 23 It's a mesh that's not even available here in the 24 United States. 25 MR. CARTMELL: So then why would he</p>	<p>1 file. How about that? That was asked and 2 requested of him, Tom. 3 MR. CARTMELL: You have everything he 4 has reviewed. 5 MR. SNELL: Tom, my experts bring 6 their file to the depositions. 7 MR. CARTMELL: Wrong. 8 MR. SNELL: You remember when you 9 deposed Denise Selzer she showed up with nine 10 boxes of stuff. 11 MR. CARTMELL: Denise Selzer did. 12 MR. SNELL: Christina Pramudji showed 13 up with boxes and boxes and boxes of stuff. 14 MR. CARTMELL: Not when I deposed her. 15 MR. SNELL: Get for real. You know 16 she did. Crazy. 17 A. But to address your question, as far 18 as conflict of interest, if he truly does have 19 conflict of interest and bias, then based upon 20 this here he's coming out in support of TVT. So I 21 see a fault in your logic. 22 Q BY MR. SNELL: I don't have a logic. 23 I'm asking you a question. 24 A. Well, I know you don't have a logic 25 and that's what I've been pointing out.</p>

56 (Pages 218 to 221)

Daniel Steven Elliott, M.D.

Page 222	Page 224
<p>1 Q. My question is: You were aware of 2 these writings by Klinge with regard to TVT and 3 that mesh and the specific intended use of stress 4 urinary incontinence before you wrote your report; 5 right? 6 A. I'm aware of this reference. 7 Q. Yes. You were -- 8 A. The one that I'm holding, Exhibit 20. 9 I don't recall if I've ever been aware of this. 10 Q. The plaintiffs' lawyers never gave 11 that to you? 12 A. I don't recall if they have. I have 13 thousands of pages they've sent me. It may have 14 been in there somewhere. I have not seen this. 15 Again, if he were a pelvic surgeon, I would be 16 putting weight into his comments on gold standard 17 and things. But all he's doing is parroting what 18 he's read somewhere else. So, again, it is what 19 it is. 20 Q. Can you point me to any other 21 publications by Klinge where he assesses the TVT 22 retropubic device in the application of stress 23 incontinence and discusses the clinical studies on 24 that device like he did in that paper I just 25 showed you, Exhibit 20?</p>	<p>1 So, again, I'm agreeing with you and disagreeing 2 with you at the same time. Not to be difficult. 3 MR. SNELL: Okay. Let's take a quick 4 break so I can get organized. 5 (Recessed from 3:05 p.m. to 6 3:07 p.m.) 7 Q BY MR. SNELL: I want to ask you about 8 your opinions about the mechanical cut of the TVT 9 retropubic device. 10 You've mechanically cut mesh before? 11 A. Just the sacrocolpopexy mesh. Not 12 sling mesh. 13 Q. And did it ever concern you when you 14 were cutting sacrocolpopexy mesh mechanically? 15 A. It didn't. And now it does. 16 Q. Do you still cut sacrocolpopexy mesh? 17 A. No. We modified -- well, we're in the 18 process of modifying it to using Restoril, which 19 will not hopefully have that problem. It's 20 already hemmed. And that is a concern of mine 21 which I now counsel my patients on. 22 Q. And is it fair to say that you believe 23 the laser cut TVT mesh is defective? 24 A. I think it's treated one -- to 25 specifically answer your question, yes.</p>
Page 223	Page 225
<p>1 MR. CARTMELL: Object to the form. It 2 misstates the actual paper. 3 A. He has studied extensively hernia 4 meshes. TVT is a hernia mesh. But to put all the 5 dots together as you very narrowed it down to, the 6 answer to that is no, not that I am aware of. 7 Q BY MR. SNELL: My focus is the 8 intended application of the treatment of stress 9 incontinence and those studies alone. 10 You haven't seen that paper or those 11 papers? 12 A. As you word it there, I have not seen 13 that. The intended application of the TVT mesh 14 was actually for hernias. Not for female stress 15 incontinence. So, again, he has studied the 16 intended purpose of that mesh. He has not studied 17 it when it's been put into the vagina. 18 Q. For the TVT device, that's what I'm 19 referring to for its intended -- you've 20 acknowledged that the TVT retropubic device is 21 intended to treat stress urinary incontinence; 22 right? 23 A. The device is, but the mesh intended 24 use was for hernias, which was then extended to 25 the application of stress urinary incontinence.</p>	<p>1 Q. I didn't see in your expert report 2 where you cite to any TVT studies with regard to 3 clinical complications occurring at a 4 statistically higher rate with mechanical cut TVT 5 mesh as compared to laser cut TVT mesh. 6 Is that a fair summary of your report? 7 A. You are correct. I have not heard of 8 a study with that. However, I'm basing that on 9 Nilsson's comment of a four-time -- four times 10 increased risk of vaginal extrusion with a laser 11 cut. 12 Q. What comment is this by Nilsson? I'm 13 sorry. 14 A. That was in one of the documents I 15 read. I don't know where I read it, but it's in 16 the document. 17 Q. What methodology did you use to select 18 that one quote by Nilsson? 19 A. Because he is arguably one of the 20 world's experts on it. And so I value his opinion 21 on this. 22 Q. Do you also value his statement in the 23 company documents that he will not use laser cut 24 mesh; that he only uses mechanical cut mesh? 25 A. Absolutely. That's supporting what I</p>

57 (Pages 222 to 225)

Daniel Steven Elliott, M.D.

Page 226	Page 228
<p>1 just said.</p> <p>2 Q. So you're aware that Nilsson only --</p> <p>3 in the company documents, reports that he will</p> <p>4 only use mechanical cut mesh?</p> <p>5 A. That's -- I don't know what his recent</p> <p>6 statements are, but that the document that I read,</p> <p>7 which that source can be found, he said he would</p> <p>8 not use the laser cut because of the four times</p> <p>9 increased risk of vaginal extrusion, and he would</p> <p>10 only use the mechanical. Then I read the other</p> <p>11 individuals stating the exact opposite. So I get</p> <p>12 conflicting evidence. I have not seen, to the</p> <p>13 best of my knowledge and it may be out there</p> <p>14 somewhere, a study, comparative, randomized</p> <p>15 clinical study of the two. I've not seen it.</p> <p>16 Q. Are you aware of any TVT retropubic</p> <p>17 clinical data that reports that there's a higher</p> <p>18 rate of complications with mechanically cut mesh</p> <p>19 compared to laser cut mesh?</p> <p>20 A. I don't think overall there's going to</p> <p>21 be a higher risk from one or the other. They're</p> <p>22 both bad and both have their set of complications.</p> <p>23 So you're trading one set of problems for another</p> <p>24 set of problems.</p> <p>25 Q. What studies are you specifically</p>	<p>1 ever read on TVT. If you have something</p> <p>2 different, then I'll keep an open mind. I have</p> <p>3 yet to see any paper describe we're using</p> <p>4 mechanically cut or we're using laser cut. So I</p> <p>5 can't base it upon that.</p> <p>6 Q. Okay. So when I was asking about what</p> <p>7 papers you were talking about, I thought you were</p> <p>8 talking about Ethicon company documents and not</p> <p>9 medical literature.</p> <p>10 A. No. That was one of them. The</p> <p>11 internal documentation -- I'll just be clear.</p> <p>12 As I stated in the previous answer,</p> <p>13 internal Ethicon documentations, medical</p> <p>14 literature, the emails back and forth, and then my</p> <p>15 clinical experience. That's how I came by it.</p> <p>16 I am not here today to say that laser</p> <p>17 cut is better or worse. They're both bad in my</p> <p>18 opinion.</p> <p>19 Q. So with regard to your selection of</p> <p>20 which company documents to put in your expert</p> <p>21 report on this mechanical cut issue, what was your</p> <p>22 methodology in selecting those particular company</p> <p>23 documents?</p> <p>24 A. My methodology of what I reviewed is</p> <p>25 very simple. Every document that I was provided</p>
Page 227	Page 229
<p>1 relying upon for your opinion with regard to the</p> <p>2 mechanical cut TVT retropubic mesh, if any?</p> <p>3 A. Well, that's what I'm talking about.</p> <p>4 The methodology that I have used with this,</p> <p>5 concerning specifically mechanically cut, is</p> <p>6 obviously the internal documentation, with</p> <p>7 complaints coming in about the fraying, roping,</p> <p>8 particle loss, the inflammation. Reviewing of the</p> <p>9 papers talking about various different</p> <p>10 complications. My clinical experience dealing</p> <p>11 with patients. Last week alone, there's one</p> <p>12 patient. Week before that, three, which were all</p> <p>13 TVT patients. Where that I see this mechanically</p> <p>14 cut mesh. Then my discussion with colleagues at</p> <p>15 international and national meetings. So all that</p> <p>16 is going into it.</p> <p>17 Q. You said the papers. You reference</p> <p>18 papers. Are you talking about Ethicon documents?</p> <p>19 A. Correct. Well, I mean the medical</p> <p>20 literature, too.</p> <p>21 Q. That's what I'm asking. What medical</p> <p>22 literature on TVT reports complications</p> <p>23 attributed -- attributed to the mechanical cut</p> <p>24 nature of the mesh?</p> <p>25 A. The defect in -- and every paper I've</p>	<p>1 with internal documentation from Ethicon I</p> <p>2 reviewed.</p> <p>3 Q. So you were provided those by the</p> <p>4 plaintiffs' lawyers?</p> <p>5 A. Correct.</p> <p>6 Q. My question to you is this: Let's</p> <p>7 focus on your methodology for which ones you</p> <p>8 decided to cite in your expert report as support</p> <p>9 for your points.</p> <p>10 What was the methodology in that?</p> <p>11 A. You have to -- you have to analyze --</p> <p>12 MR. CARTMELL: Well, just for</p> <p>13 clarification, you mean because they're all cited</p> <p>14 in his report.</p> <p>15 MR. SNELL: No, they're not.</p> <p>16 MR. CARTMELL: There's a reliance</p> <p>17 list.</p> <p>18 MR. SNELL: There's a reliance list,</p> <p>19 but he cited certain things.</p> <p>20 MR. CARTMELL: Okay. So you're</p> <p>21 distinguishing between what's in a footnote versus</p> <p>22 what's in the reliance list that's attached.</p> <p>23 MR. SNELL: Of course, because, I'm</p> <p>24 sure, everything in the reliance list doesn't</p> <p>25 support the things he says.</p>

58 (Pages 226 to 229)

Daniel Steven Elliott, M.D.

Page 230	Page 232
<p>1 MR. CARTMELL: Well, everything on his</p> <p>2 reliance list is information he used in forming</p> <p>3 his opinions and relies on.</p> <p>4 MR. SNELL: You're speaking -- you're</p> <p>5 doing a speaking objection.</p> <p>6 MR. CARTMELL: Well, I'm responding to</p> <p>7 your statement you just made. You're talking</p> <p>8 about only the citations in the report.</p> <p>9 MR. SNELL: Yes. That is my question.</p> <p>10 That is my question. Do I need to repose it again</p> <p>11 so we have a clear record?</p> <p>12 THE DEPONENT: No.</p> <p>13 Q BY MR. SNELL: Why don't we just do it</p> <p>14 again.</p> <p>15 A. That's fine.</p> <p>16 Q. Otherwise there's just going to be</p> <p>17 four pages of gap.</p> <p>18 What specific methodology, did you use</p> <p>19 in determining what Ethicon documents you would</p> <p>20 cite to in support of your opinions where you</p> <p>21 listed them in the footnotes?</p> <p>22 A. Okay. I have to look at the body of</p> <p>23 knowledge out there on medical literature, my</p> <p>24 clinical experience and what I see day to day,</p> <p>25 correlating that with what was known and discussed</p>	<p>1 be your methodology for excluding it or not</p> <p>2 referencing it in your report?</p> <p>3 MR. CARTMELL: It was on his reliance</p> <p>4 list.</p> <p>5 A. Yeah. To a certain extent, surgeon</p> <p>6 preference is important, and then also not</p> <p>7 important. So certain surgeons choose to do one</p> <p>8 product over the another. The fact that</p> <p>9 51 percent like the mechanical cut and 49 don't,</p> <p>10 it doesn't matter to me. Again, we're not talking</p> <p>11 about one product being great and the other one</p> <p>12 being horrible. They're both bad. So to me it's</p> <p>13 immaterial.</p> <p>14 Q BY MR. SNELL: Did you assess or look</p> <p>15 at the reported rates of sales of mechanical cut</p> <p>16 versus laser cut in the United States?</p> <p>17 A. Well, from my angle as a doctor, the</p> <p>18 needs of the patient come first. And sales are</p> <p>19 not an issue that I'm going to be concerned about.</p> <p>20 Q. So the answer is, no, you didn't look</p> <p>21 at that?</p> <p>22 A. The answer is what I just stated.</p> <p>23 Q. Sir, my question is very simple, which</p> <p>24 is: Did you look at it?</p> <p>25 I understand you want to give me a</p>
Page 231	Page 233
<p>1 in the Ethicon documents, whether it be from their</p> <p>2 scientists, from their medical experts, from their</p> <p>3 clinicians calling in, correlating that and does</p> <p>4 it all fit. Everything has to fit logically,</p> <p>5 okay, and that was what was included in this.</p> <p>6 Q. So, for example, did you see company</p> <p>7 documents that indicated that the majority of</p> <p>8 surgeons in the United States actually prefer</p> <p>9 mechanical cut mesh as opposed to laser cut?</p> <p>10 A. I've seen that, yes. Well, I'm sorry.</p> <p>11 Let me take that -- strike that.</p> <p>12 I do remember seeing and reading that</p> <p>13 certain physicians would not change to the laser</p> <p>14 cut. I can't say that the majority did. I also</p> <p>15 see that certain surgeons would not use the</p> <p>16 mechanical one because of the fraying and the</p> <p>17 particle loss. So I don't know the percentage of</p> <p>18 who uses what.</p> <p>19 Q. So you were not provided documents</p> <p>20 that state that the majority of surgeons in the</p> <p>21 United States who use TVT prefer the mechanical</p> <p>22 cut mesh as opposed to laser cut; fair?</p> <p>23 A. I may have been provided that. I</p> <p>24 don't recall that specific document.</p> <p>25 Q. If that document existed, what would</p>	<p>1 speech on things, but if you could just give me a</p> <p>2 yes or no answer, then I can move on. If you say</p> <p>3 no, then I'm going to move on.</p> <p>4 A. Well, no, because my speech, as you</p> <p>5 did, is based upon my taking care of patients who</p> <p>6 are crying in my office from pain. So I don't</p> <p>7 dismiss it as a speech. But medical marketing</p> <p>8 sales are not something that's going to factor</p> <p>9 into my decision.</p> <p>10 Q. I believe earlier you were talking</p> <p>11 about complications, and I think it may have been</p> <p>12 around mesh exposures, where you said there would</p> <p>13 be numerous different factors like patient</p> <p>14 factors, surgeon factors, the mesh.</p> <p>15 Do you recall that?</p> <p>16 A. Yeah. Concerning vaginal exposure. I</p> <p>17 don't recall if I mentioned patient factors</p> <p>18 involved in it, but, I mean, maybe I did. I</p> <p>19 don't -- I'd have to see exactly what I said.</p> <p>20 Q. I wrote it down.</p> <p>21 A. It's a multifactorial problem that</p> <p>22 leads to that complication.</p> <p>23 Q. What are the patient factors involved?</p> <p>24 A. Well, that's difficult because it's --</p> <p>25 I don't know of anyone ever studying to show</p>

Daniel Steven Elliott, M.D.

Page 234	Page 236
<p>1 consistently a patient factor being involved in</p> <p>2 the exposures. Smoking, I'm not aware of.</p> <p>3 Obesity, I'm unaware of. Vaginal atrophy -- I</p> <p>4 don't know of patient factors that can be</p> <p>5 consistently proven to be a factor in vaginal</p> <p>6 exposure.</p> <p>7 Q. You are -- vaginal atrophy is a</p> <p>8 condition that women have that can progress or get</p> <p>9 worse as they get older in their postmenopausal</p> <p>10 years if not supplemented with some type of</p> <p>11 estrogen; fair?</p> <p>12 A. There's the possibility of that, yes.</p> <p>13 Not in all cases.</p> <p>14 Q. But is that a common finding in women</p> <p>15 who are postmenopausal that there is some degree</p> <p>16 of vaginal atrophy?</p> <p>17 A. It's not uncommon, let's put it that</p> <p>18 way. So, yeah, it does occur.</p> <p>19 Q. Is there a recognized weight</p> <p>20 classification specific to stress urinary</p> <p>21 incontinence slings that has been endorsed and put</p> <p>22 out by any of the pertinent professional medical</p> <p>23 societies?</p> <p>24 A. Pertaining to what? I guess I don't</p> <p>25 understand your question. That they should or</p>	<p>1 standard thing that's out there. Same thing goes</p> <p>2 for pore size, too.</p> <p>3 Q. And my focus is on the intended use</p> <p>4 with the stress incontinence device and the</p> <p>5 application to treat stress incontinence.</p> <p>6 A. Closest thing I think would have to be</p> <p>7 a Clave study, breaking it down to the various</p> <p>8 weights, I think, if I'm answering your question</p> <p>9 correctly. But that's not as it pertains</p> <p>10 specifically to SUI.</p> <p>11 Q. Right. That's what I'm looking for is</p> <p>12 SUI.</p> <p>13 A. I am not aware of that specific narrow</p> <p>14 application.</p> <p>15 Q. For SUI, the slings are typically</p> <p>16 around 1 centimeter wide.</p> <p>17 A. 1 to 1.5, probably.</p> <p>18 Q. Ethicon's TVT is reported to be about</p> <p>19 1.1 centimeters; correct?</p> <p>20 A. As it comes out of the box, which is</p> <p>21 an important distinction.</p> <p>22 Q. Yeah.</p> <p>23 A. But, yeah, they're all about that</p> <p>24 width.</p> <p>25 Q. Is it a fair statement that all of the</p>
Page 235	Page 237
<p>1 should not get a TVT?</p> <p>2 Q. No, no. For the intended use of</p> <p>3 stress urinary incontinence.</p> <p>4 Is there a recognized weight</p> <p>5 classification system for slings?</p> <p>6 A. Well, no. The BMI is the standard</p> <p>7 what is used. And but there's not, as it pertains</p> <p>8 specifically to SUI treatments.</p> <p>9 Q. I think you and I -- we weren't on the</p> <p>10 same wavelength.</p> <p>11 For the weight of the mesh --</p> <p>12 A. Oh, okay.</p> <p>13 Q. -- and the intended use of treating</p> <p>14 stress urinary incontinence, is there a recognized</p> <p>15 weight classification system that's endorsed by</p> <p>16 the professional societies?</p> <p>17 A. No. As far as -- even in industry,</p> <p>18 industry and surgical societies, there is -- as</p> <p>19 far as I know, there is no specific</p> <p>20 classification. I think they have heavy weight --</p> <p>21 you know, Cobb and others taught about heavy</p> <p>22 weight. So there would be that. And above</p> <p>23 certain -- or below certain numbers would become</p> <p>24 medium weight and lightweight. I don't know if I</p> <p>25 can -- I can't quote a society that has this</p>	<p>1 mesh slings, synthetic mesh slings that are used</p> <p>2 to treat stress urinary incontinence have a weight</p> <p>3 of more than 60 grams per meter squared?</p> <p>4 MR. CARTMELL: Object to the form.</p> <p>5 May call for speculation.</p> <p>6 Answer if you know.</p> <p>7 A. Yeah. All I can speak to is Aris,</p> <p>8 which I know is at 70. TVT at 105. I don't know</p> <p>9 that the other products.</p> <p>10 Q. BY MR. SNELL: You read Moalli's paper</p> <p>11 on the biomechanical evaluation of slings?</p> <p>12 A. I read it at one point in time. Not</p> <p>13 recently.</p> <p>14 Q. It has a table in there where it has</p> <p>15 the reported weights of the different slings.</p> <p>16 A. Okay.</p> <p>17 Q. Is that a paper you're relying on, the</p> <p>18 Moalli paper?</p> <p>19 A. That's in my reliance list. But I'm</p> <p>20 just saying I haven't read it recently. You're</p> <p>21 referring to the 2007 paper?</p> <p>22 Q. Give me the title and I'll tell you.</p> <p>23 A. Tensile Properties of Five Commonly</p> <p>24 Used Mid-Urethral Slings Relative to the TVT, by</p> <p>25 Moalli, et al., June of 2007. Published in 2008.</p>

60 (Pages 234 to 237)

Daniel Steven Elliott, M.D.

Page 238	Page 240
<p>1 Excuse me.</p> <p>2 Q. That's it. Yeah. Is that a paper</p> <p>3 you're relying on?</p> <p>4 A. Yes.</p> <p>5 Q. Are there any studies in the stress</p> <p>6 incontinence application with the use of TVT that</p> <p>7 show that a lighter weight mesh is either more</p> <p>8 efficacious -- strike that.</p> <p>9 Let me just say is more efficacious</p> <p>10 than the TVT?</p> <p>11 A. Can you rephrase the question, because</p> <p>12 as I'm reading it. I can't quite understand.</p> <p>13 Q. Absolutely. Yeah.</p> <p>14 Are there any clinical studies</p> <p>15 evaluating efficacy in women with stress urinary</p> <p>16 incontinence that show that a lighter weight mesh</p> <p>17 works better than the TVT retropubic device?</p> <p>18 MR. CARTMELL: Object to the form.</p> <p>19 A. No, I don't think the weight of the</p> <p>20 mesh --</p> <p>21 MR. CARTMELL: Can I -- can I get</p> <p>22 this? Can we take a break.</p> <p>23 MR. SNELL: Yeah. An opportune time.</p> <p>24 (Recessed from 3:31 p.m. to</p> <p>25 3:32 p.m.)</p>	<p>1 of treating stress urinary incontinence?</p> <p>2 A. No. I've only seen it in pelvic organ</p> <p>3 prolapse data and in meshes. Meshes for hernia</p> <p>4 repairs, but it was not extrapolated, even though</p> <p>5 Ethicon knew about it, into stress urinary</p> <p>6 incontinence.</p> <p>7 Q. All right. And you're not testifying</p> <p>8 that a lighter weight mesh would have worked</p> <p>9 better than the TVT mesh in the TVT retropubic</p> <p>10 application to treat stress urinary incontinence;</p> <p>11 are you?</p> <p>12 MR. CARTMELL: Are you talking about</p> <p>13 efficacy only?</p> <p>14 MR. SNELL: I can go with efficacy</p> <p>15 first.</p> <p>16 A. There is no data out there on it.</p> <p>17 That would be an important thing to do before a</p> <p>18 launch is to study that to determine efficacy</p> <p>19 prior to widespread use.</p> <p>20 Q BY MR. SNELL: You would agree it's a</p> <p>21 benefit for the TVT retropubic device that they do</p> <p>22 have studies of 5 years, 10 years, or more</p> <p>23 duration in the literature?</p> <p>24 MR. CARTMELL: Object to the form.</p> <p>25 A. Yes, as we mentioned concerning</p>
Page 239	Page 241
<p>1 MR. SNELL: Can you read back the</p> <p>2 question?</p> <p>3 (The reporter read the record as</p> <p>4 requested.)</p> <p>5 A. As is worded there, I'm not aware of</p> <p>6 it. I mean, Cobb and internal Ethicon documents</p> <p>7 talk about lighter weight being better, fewer</p> <p>8 complications, sort of things. But as you</p> <p>9 specifically narrow it down to TVT, there is not</p> <p>10 that study.</p> <p>11 Q BY MR. SNELL: And my question -- the</p> <p>12 initial question was on efficacy.</p> <p>13 A. No. As far as I know.</p> <p>14 Q. Okay.</p> <p>15 A. There is nothing out there, as far as</p> <p>16 the lightweights.</p> <p>17 The move was in hernias and pelvic</p> <p>18 organ prolapse to go to lighter weight because of</p> <p>19 the complications, but that was decided against</p> <p>20 with TVT.</p> <p>21 Q. And so my question is I want to get</p> <p>22 into -- ask you about the complications.</p> <p>23 Are you aware of any clinical studies</p> <p>24 showing a lower rate of complications in women who</p> <p>25 receive a lighter weight mesh for the intended use</p>	<p>1 efficacy, but not safety.</p> <p>2 Q BY MR. SNELL: Well, there's --</p> <p>3 A. The lighter meshes, the larger pore,</p> <p>4 lighter weight meshes are for complications. Not</p> <p>5 for efficacy.</p> <p>6 Q. And I understand you say that with</p> <p>7 regard to prolapse and hernia. My question to you</p> <p>8 is: With regard to complications, is it your</p> <p>9 opinion that a lighter weight mesh was used in the</p> <p>10 application of TVT for the treatment of stress</p> <p>11 incontinence, cut to 1.1 centimeters, that there</p> <p>12 would be a lower complication rate?</p> <p>13 A. There's the theoretical possibility of</p> <p>14 that. However, my ultimate opinion is no meshes</p> <p>15 should be placed transvaginally.</p> <p>16 Q. Fair enough.</p> <p>17 You mentioned the Clave study. That</p> <p>18 was not a study that reported on the use of the</p> <p>19 TVT retropubic device in women who had been</p> <p>20 treated for stress urinary incontinence; correct?</p> <p>21 A. Correct. That was, as I recall, for</p> <p>22 pelvic organ prolapse.</p> <p>23 Q. Is this the Clave 2010 paper?</p> <p>24 A. Correct.</p> <p>25 Q. Okay.</p>

61 (Pages 238 to 241)

Daniel Steven Elliott, M.D.

Page 242	Page 244
<p>1 (Exhibit 21 marked.)</p> <p>2 Q BY MR. SNELL: I've given you</p> <p>3 Exhibit 21. This is the paper we were referencing</p> <p>4 by Clave; correct?</p> <p>5 A. Correct.</p> <p>6 Q. Okay. This is the paper where they</p> <p>7 start out with 100 explants and they only</p> <p>8 subjected 84 of them to scanning electron</p> <p>9 microscopy; correct?</p> <p>10 A. Well, there were 100 explants, and I'd</p> <p>11 have to look through how many got evaluated with</p> <p>12 SEM. I don't recall the exact number. If you say</p> <p>13 it's 82, I'm okay with that.</p> <p>14 Q. 84.</p> <p>15 A. 84.</p> <p>16 Q. I wouldn't misrepresent to you. Right</p> <p>17 there.</p> <p>18 A. Okay. I got it.</p> <p>19 Q. You go it?</p> <p>20 A. Um-hum. Thank you.</p> <p>21 Q. Under SEM analysis, it found that less</p> <p>22 than half of the implants had this surface</p> <p>23 cracking; correct?</p> <p>24 A. It's an extremely high number, yes.</p> <p>25 Q. There were 35 out of 84?</p>	<p>1 I read that correctly; didn't I?</p> <p>2 A. I didn't see where you're reading.</p> <p>3 Q BY MR. SNELL: Right here.</p> <p>4 A. 266 or 267?</p> <p>5 Q. 266 at the bottom right.</p> <p>6 A. Oh, yes. I see it now. Yes. I'm</p> <p>7 sorry.</p> <p>8 Q. So when they try to do the other</p> <p>9 testings, the FTIR, the DSCs, they did not confirm</p> <p>10 degradation; correct?</p> <p>11 MR. CARTMELL: Object to the form.</p> <p>12 Misstates the statement.</p> <p>13 A. Again, I'd have to see where you're</p> <p>14 reading. I don't know where this is coming from.</p> <p>15 Q BY MR. SNELL: This is a question to</p> <p>16 you based on this study.</p> <p>17 A. Again, I'd have to -- it's been a</p> <p>18 while since I've gone over this paper. So I'd</p> <p>19 have to find all the nuances you're discussing. I</p> <p>20 mean, they describe degradation. They describe</p> <p>21 cracking, and to me that's degradation.</p> <p>22 But the exact etiology of it, I don't</p> <p>23 recall from the study what they came up with.</p> <p>24 Q. Well, when you see this cracking, that</p> <p>25 could be polypropylene or something other than</p>
Page 243	Page 245
<p>1 A. Yeah. That's -- that's a worrisome</p> <p>2 number to me. I mean, it's 35 out of 80 women are</p> <p>3 having this degradation going on.</p> <p>4 Q. And besides just looking at the</p> <p>5 pictures on the SEM and seeing the cracking and</p> <p>6 saying that must be degradation, when they</p> <p>7 actually did tests to analyze and see if it was</p> <p>8 degradation, those testings did not show it was</p> <p>9 degradation; correct?</p> <p>10 A. You'd have to show me where you're</p> <p>11 referring to.</p> <p>12 Q. How about --</p> <p>13 A. Because to me, degradation is</p> <p>14 cracking, brittle --</p> <p>15 Q. 266.</p> <p>16 A. 266?</p> <p>17 Q. 266. You know that after doing the</p> <p>18 scanning electron microscopy, they subjected them</p> <p>19 to FTIR, DSC analyses; correct?</p> <p>20 A. Correct.</p> <p>21 Q. And if you look at the bottom of</p> <p>22 page 266, they reported that several hypotheses</p> <p>23 concerning the degradation of the PP are described</p> <p>24 below. None of these, particularly indirect</p> <p>25 oxidation, could be confirmed in this study.</p>	<p>1 polypropylene; correct?</p> <p>2 MR. CARTMELL: Object to the form.</p> <p>3 A. Well, all I can quote, as far as my</p> <p>4 experience, obviously I have these papers which I</p> <p>5 reviewed, but I can only correlate that</p> <p>6 macroscopically to my surgical experience. When I</p> <p>7 take out these meshes, which I did, it happened to</p> <p>8 be a TVT-Secur last week. Where you hold it, it's</p> <p>9 brittle, it cracks, it breaks, it's sharp; it</p> <p>10 pokes the finger. Okay. To me that is</p> <p>11 degradation.</p> <p>12 Now, on the microscopic level, you</p> <p>13 know, I don't know what exactly they call and what</p> <p>14 specific words they use to describe that process.</p> <p>15 Q BY MR. SNELL: They didn't say it was</p> <p>16 brittle and broke and cracked in your fingers in</p> <p>17 Clave; correct?</p> <p>18 A. No, they didn't say that. I'm saying</p> <p>19 that's what me and my daily experience, including</p> <p>20 just last week -- that's what I feel, and that's</p> <p>21 what I'm calling degradation of the product.</p> <p>22 Q. Clave and them show pictures of</p> <p>23 scanning electron microscopy with surface</p> <p>24 cracking?</p> <p>25 A. Yes. But none of these are TVT, you</p>

62 (Pages 242 to 245)

Daniel Steven Elliott, M.D.

Page 246	Page 248
<p>1 said. So this is a very important study. Seems 2 like they're raising red flags. 3 Next step is Ethicon needs to study it 4 with their specific product. 5 Q. And in Clave the explants have been 6 explanted because of reported complications; 7 correct? 8 A. I believe so, yes. 9 Q. There was no control group in this 10 study of explants for which there was no 11 complication reported; correct? 12 A. Well, yeah, the complication was a 13 manifestation of underlying pathology. So, no, 14 you don't have a control because you're not going 15 to go operate on women who do not have a 16 complication yet. 17 Q. And so the authors were unable to 18 state whether or not this amount and this type of 19 surface cracking is something that occurs in 20 non-explanted meshes? 21 A. I mean, you're really narrowing down 22 the focus of this. Again, it's not a TVT product, 23 but they were not able to say -- I guess, I'm not 24 really following your question. I'm sorry. 25 Q. What I was getting at is on page 269,</p>	<p>1 vaginal mesh and tape fibers explants in women, 2 okay. And that included TVT. They were removed 3 four to seven years after, and it demonstrated 4 degradation on SEM, and surface cracks, which 5 corresponds to my clinical experience. 6 Q. In these seven explants, was there any 7 oxidation found of the TVT mesh? 8 A. Oxidation is the process by which you 9 get degradation. So in order to study for 10 oxidation, you have to do some pretty 11 sophisticated chemical studies on the microscopic 12 level as far as what macrophages are doing. I 13 don't know -- I'm not an expert on how exactly 14 that would be accomplished. But if there's 15 degradation, I know there's been an inflammatory 16 response, which inflammatory response causes 17 oxidation, is one of the main reasons with 18 peroxides, hypochloric acid, et cetera. 19 Q. Has the reported degradation in these 20 seven explants been confirmed in any standardized 21 test, such as chemical analyses? 22 A. I'm unaware. I have to go back to the 23 study and see what they've done from that. From 24 my angle as a surgeon, I would want the company 25 then to go back and look at some of this stuff for</p>
Page 247	Page 249
<p>1 they say, "For obvious ethical reasons this study 2 did not provide the opportunity to analyze vaginal 3 implants from non-pathological situations. 4 Therefore, prediction of normal in vivo material 5 aging and the range of consequences in the 6 clinical state beyond the observed samples is not 7 possible." 8 A. That is correct. 9 Q. Okay. Can you point to any clinical 10 studies, any studies on the TVT device to treat 11 women that showed degradation of that TVT mesh? 12 And if you're looking at your report, 13 just tell me what page so I can -- 14 A. Page 13. 15 Q. Give me a second. Okay. 16 A. Specifically if you limit it to just 17 TVT, obviously I quote multiple different studies 18 looking at polypropylene and the foreign body 19 response, the inflammatory response, the 20 degradation, you have Mary, et al., Costello, 21 Clave, Wood. But on page 15 at the very top, the 22 first full sentence says, "In 2015 seven 23 implants." And that is -- if you look down at 24 reference 11, it's a Russian name, I think. 25 T-z-a-r-t-z-e-v-a. In-depth nano-investigation of</p>	<p>1 me. 2 Q. Are there any studies that you're 3 aware of on the TVT device that correlate and show 4 that a particular complication was caused by 5 degradation? 6 A. Well, no. Degradation is part of the 7 cascade of events. You have an implantation of a 8 product that causes a foreign body response and 9 inflammatory response, which then the immune 10 system comes in with the various different dumping 11 of various different product to try and to 12 eliminate the foreign body, infection, and then 13 degradation occurs. 14 So you're not going to find something 15 where it's just degradation. It's a cascade of 16 events. 17 Q. Is there any clinical literature that 18 shows any complications are caused by degradation? 19 A. Well, I would say every study that 20 there's a vaginal erosion or extrusion is evidence 21 of degradation. Yeah, every time that I do an 22 exam on a patient and find this brittle, cracking, 23 hard mesh that is evidence of degradation. 24 Q. Are there any studies that report 25 degradation played any kind of role in a vaginal</p>

63 (Pages 246 to 249)

Daniel Steven Elliott, M.D.

Page 250	Page 252
<p>1 erosion or extrusion following a TVT?</p> <p>2 A. Well, yeah, this T-z-a-r-t-z-e-v-a on</p> <p>3 page 15. There are seven explants, including TVT,</p> <p>4 that were removed after implantation. Okay. So</p> <p>5 some sort of complication. And they found</p> <p>6 degradation there.</p> <p>7 (Exhibit 22 marked.)</p> <p>8 MR. CARTMELL: Just so you know,</p> <p>9 Doctor, for the record, a lot of times people call</p> <p>10 it the Zimmern study. It's easier to the</p> <p>11 pronounce.</p> <p>12 THE DEPONENT: Yeah. Phillippe at UT</p> <p>13 Southwestern.</p> <p>14 Q BY MR. SNELL: This is the paper you</p> <p>15 were referencing?</p> <p>16 A. Correct. It's an abstract.</p> <p>17 Q. It's T-z-a-r-t-z-e-v-a.</p> <p>18 A. Yeah. It's Zimmern. Phillippe</p> <p>19 Zimmern at Utah Southwestern's paper.</p> <p>20 Q. And this wasn't seven TVT devices as</p> <p>21 you put in your report; was it?</p> <p>22 A. No. I said including the TVT. So not</p> <p>23 all were TVT.</p> <p>24 Q. Right. In fact, how many of these</p> <p>25 were TVTs?</p>	<p>1 different devices; correct?</p> <p>2 A. That's right. That's five different</p> <p>3 devices. So TVT could be three of them. What I'm</p> <p>4 saying is this particular abstract does not break</p> <p>5 it down into which one is which.</p> <p>6 Q. And you don't have a clue then as to</p> <p>7 whether one was a TVT or two or three; correct?</p> <p>8 A. As I've stated, the abstract does not</p> <p>9 state that.</p> <p>10 Q. And this abstract doesn't state what</p> <p>11 complications, if any, occurred with the TVT;</p> <p>12 correct?</p> <p>13 A. No. It states they were explanted for</p> <p>14 some reason.</p> <p>15 Q. And you note in this study they looked</p> <p>16 for peaks of oxidation, and they didn't find any;</p> <p>17 right?</p> <p>18 A. Okay. You know, they did or didn't.</p> <p>19 Immaterial to me because it shows degradation.</p> <p>20 Degradation can occur because of multiple</p> <p>21 different reasons, but they didn't find it on this</p> <p>22 particular study.</p> <p>23 Q. And they didn't try to say the</p> <p>24 clinical effect, if any, of a 7-nanometer degree</p> <p>25 of surface cracking; correct?</p>
Page 251	Page 253
<p>1 A. I don't know if it actually says.</p> <p>2 Seven explants. But I don't think they break it</p> <p>3 down into what -- which one has what.</p> <p>4 Q. Well, they had a Gynemesh; correct?</p> <p>5 A. Correct.</p> <p>6 Q. And that's not a TVT retropubic</p> <p>7 device; correct?</p> <p>8 A. No. It's an Ethicon product.</p> <p>9 Q. Then they had a TVT; correct?</p> <p>10 A. Yes.</p> <p>11 Q. They identify one TVT in this study</p> <p>12 you cite; right?</p> <p>13 MR. CARTMELL: Object to the form.</p> <p>14 Misstates the paper.</p> <p>15 A. Again, I'd have to see where it is.</p> <p>16 Q BY MR. SNELL: Well, you cite to it,</p> <p>17 Doctor. So I'm telling you, they cite to one TVT</p> <p>18 in this study; right?</p> <p>19 MR. CARTMELL: That's not what it</p> <p>20 says. It misstates the paper.</p> <p>21 A. That's not what it -- it says seven</p> <p>22 explants were studied covering a range of</p> <p>23 currently MT devices, Gynemesh, TVT, TOT, Sparc,</p> <p>24 and mini sling.</p> <p>25 Q BY MR. SNELL: So that's five</p>	<p>1 A. Well, no, you have to extrapolate.</p> <p>2 There was a complication on all seven of these.</p> <p>3 They had degradation. They had cracking.</p> <p>4 Something went wrong. Was it infection? Was it</p> <p>5 pain? Extrusion? Contraction? Dyspareunia. I</p> <p>6 don't know. I'm just going -- they don't state in</p> <p>7 this paper, in this abstract.</p> <p>8 Q. Do you believe that there are any</p> <p>9 clinically significant complications that occur</p> <p>10 because of degradation?</p> <p>11 A. Yes.</p> <p>12 Q. And where do you identify them in your</p> <p>13 report? I'm sorry.</p> <p>14 A. That is in the section on Degradation,</p> <p>15 beginning on page 13 through top of 16.</p> <p>16 Q. So what specific complications, if</p> <p>17 any, arise because of degradation?</p> <p>18 A. Well, that's what we've talked about</p> <p>19 multiple times here. Degradation is one of the</p> <p>20 steps of the problems. It starts with</p> <p>21 implantation of a foreign body in a contaminated</p> <p>22 environment that creates inflammation, foreign</p> <p>23 body response. Macrophages come in. They dump</p> <p>24 their hydrogen peroxide, hypochloric acid. The</p> <p>25 product breaks down. It creates more of an</p>

64 (Pages 250 to 253)

Daniel Steven Elliott, M.D.

Page 254	Page 256
<p>1 inflammatory process. And it's a vicious cycle, 2 which leads to then scarring, contraction, scar 3 plate, dyspareunia, pelvic pain, urethral erosion, 4 bladder erosion. 5 So degradation is one of the steps of 6 this cascade. 7 Q. Are you aware of any reliable 8 scientific studies that show the degree to which 9 degradation causes any of these complications you 10 just identified as compared to surgical technique, 11 patient factors or any other causal elements? 12 A. See, that's exactly what I've been 13 trying to state this entire time. The whole 14 device, as marketed, is bad because surgeons play 15 a role. The patient may or may not. I think 16 that's questionable. We talked about that 17 already. I can't find an identifiable source 18 there. But then you have a bad product put in. 19 So the whole thing is bad. It's 20 multifactorial reasons why certain number of these 21 patients have devastating complications. 22 Q. If a patient has a mesh exposure, do 23 you assume that degradation was a cause? 24 A. Depends partly on when it occurred. 25 However, I believe Clave said it was independent</p>	<p>1 on. 2 Q. So that's what I'm asking you then, 3 okay? 4 How do you know which exposures 5 degradation played a role in, when in Clave they 6 didn't even see degradation, except in 45 percent 7 of them? 8 A. Okay. Then -- I mean -- 9 Q. That's a scientific question I'm 10 getting at. 11 A. Well, yes and no with that. So 12 45 percent of the patients, based on Clave, had 13 degradation and complications. That means the 14 other 55 had other factors, surgical, implantation 15 technique, roping, curling, whatever, to cause 16 complications. For myself, as a surgeon who takes 17 care of these patients, I ultimately don't care 18 what causes the problem. I've got a problem I've 19 got to deal with. 20 So if we want to base it upon Clave, 21 45 percent of these complications could have 22 occurred due to degradation. It's 45 percent of 23 patients who have been damaged due to degradation 24 of the product. 25 Q. Is that an opinion you hold</p>
Page 255	Page 257
<p>1 of time of implantation that they found their 2 degradation. The longer it's in, intuitively and 3 based upon the data and based upon like 4 Klosterhalfen says 15 years, degradation 5 contraction continue, that the longer it's in, 6 there's going to be more problems with it. 7 Q. Well, Clave, they didn't even find 8 surface cracking in half of the explants. 9 A. But they found it in half. So tell a 10 patient, great, half of you aren't going to have 11 it at that point in time, but the other half are. 12 Q. Maybe we're not communicating. 13 We've already gone through Clave, and 14 it didn't show degradation or surface cracking in 15 more than half of the implants. 16 A. It was like 55 percent or something 17 like that, or in that ballpark. 18 Q. Right. Right. 19 So in those 55 percent, right, some of 20 those patients would have had exposures; right? 21 A. Possibly. I don't believe the article 22 states it. 23 Q. Yet they didn't see surface cracking; 24 right? 25 A. So that means something else was going</p>	<p>1 45 percent -- 2 A. No. 3 Q. -- of exposures occur because of 4 degradation? 5 A. No, I don't. We're saying based upon 6 the Clave study. I have yet to see -- and this 7 would be a very good study to be done, and it 8 should be done by Ethicon, if there's a concern 9 and they want to take care of patients and prevent 10 women from being damaged of studying these things. 11 Q. But I'm here to learn your opinion; 12 right. 13 What percent of the women who have an 14 exposure is that caused by degradation? 15 A. I guess -- 16 Q. If you can't say or you don't know, 17 tell me that. But if you have a number, then I 18 want to know the methodology by which you come 19 to -- come to that number. 20 A. If I have a patient who is seeing me 21 two or three days after a mesh sling with 22 exposure, that's not due to degradation, okay. 23 Q. That's her wound hasn't healed up? 24 A. That's right. 25 Q. Maybe it was placed superficially;</p>

65 (Pages 254 to 257)

Daniel Steven Elliott, M.D.

Page 258	Page 260
<p>1 correct?</p> <p>2 A. Within a couple of days, that is not</p> <p>3 the mesh causing -- now, it will impair healing,</p> <p>4 because there's a foreign body reaction to things.</p> <p>5 But it's not due to degradation.</p> <p>6 Q. Well --</p> <p>7 A. If somebody is occurring longer than</p> <p>8 that, let's say beyond the initial healing period.</p> <p>9 Six weeks is traditionally where the body will be</p> <p>10 at roughly 98 percent of its strength. That's our</p> <p>11 usual, going by that six weeks. Beyond that, if</p> <p>12 exposure or an event like that occurs, degradation</p> <p>13 in my opinion is going to be one of the main</p> <p>14 underlying factors for it, in combination with the</p> <p>15 infection, inflammatory response.</p> <p>16 Q. And what's the methodology for that</p> <p>17 statement?</p> <p>18 A. Exact -- based upon the literature and</p> <p>19 my clinical experience on a daily basis, including</p> <p>20 in the past two weeks, four -- three TVT and one</p> <p>21 TVT-Secur patient I dealt with.</p> <p>22 Q. Let's talk about the literature</p> <p>23 because I can't go and look at your charts, okay.</p> <p>24 In the literature, what studies show</p> <p>25 that if an exposure occurs beyond six weeks did</p>	<p>1 patients who have mesh who have devastating</p> <p>2 complications, that's a statement you'd made</p> <p>3 earlier; correct?</p> <p>4 A. Multiple times that's based on my</p> <p>5 clinical experience in talking and discussing it</p> <p>6 with surgical colleagues.</p> <p>7 Q. So you're not relying on any</p> <p>8 literature to report the rates of devastating</p> <p>9 complications with TVT retropubic; correct?</p> <p>10 MR. CARTMELL: Not relying on what?</p> <p>11 Object to the form of that.</p> <p>12 A. No. I think certain patients --</p> <p>13 certain patients.</p> <p>14 Certain studies like Hou, et al.,</p> <p>15 which was also Phillippe Zimmern, who I personally</p> <p>16 talked to about his paper, where they had slings,</p> <p>17 where after -- they had only removed for pain.</p> <p>18 19 percent had persistent pain. Just to beat you</p> <p>19 to the punch, they did not break it down into TVT</p> <p>20 or not.</p> <p>21 Q BY MR. SNELL: And they also didn't</p> <p>22 report a denominator from which all those patients</p> <p>23 were drawn from; correct?</p> <p>24 A. They did not. That denominator, as</p> <p>25 far as I know, is not known.</p>
Page 259	Page 261
<p>1 degradation play a major role, I think you said?</p> <p>2 A. Then we go back -- let's go back to</p> <p>3 Clave then. And we've said -- we've admitted</p> <p>4 roughly 45 percent of those patients had</p> <p>5 degradation. Okay. So based purely and just on</p> <p>6 that paper, that will be my opinion, that</p> <p>7 45 percent for that paper.</p> <p>8 But what I'm saying is it has been</p> <p>9 inadequately studied elsewhere. Something that</p> <p>10 needs to be done.</p> <p>11 Q. Did Clave rule out other causal</p> <p>12 factors for the exposures in his study?</p> <p>13 A. I have --</p> <p>14 Q. If he did, tell me how he did it.</p> <p>15 A. No. I would have to look at the paper</p> <p>16 and see all that he's looked at.</p> <p>17 Q. This study you talk about that you</p> <p>18 think Ethicon should have done, how would you</p> <p>19 design that study?</p> <p>20 A. The basic unfortunate reality is it --</p> <p>21 I don't know if it could be done. Hence the</p> <p>22 reason why I am anti-mesh in the vagina, because</p> <p>23 you cannot safely make this thing work and cannot</p> <p>24 do it in a long-term.</p> <p>25 Q. When you say that there are some</p>	<p>1 Q. And that's an issue with case series,</p> <p>2 where you do not have a denominator, thus one</p> <p>3 cannot compute reliably the incidence; correct?</p> <p>4 A. The true incidence, unfortunately, is</p> <p>5 not known, and it needs to be known because some</p> <p>6 of these people's lives are destroyed.</p> <p>7 Q. So in a case series like you</p> <p>8 mentioned, a major limitation to that series is</p> <p>9 that it does not speak to the incidence of those</p> <p>10 complications; correct?</p> <p>11 A. I would disagree with you that it's a</p> <p>12 major limitation. It is a limit you cannot</p> <p>13 extrapolate across the board, but in his series,</p> <p>14 in a very good reconstructive surgeon's hands,</p> <p>15 19 percent of SUIs had persistent chronic pain.</p> <p>16 Q. And you don't know how many were TVT;</p> <p>17 correct?</p> <p>18 A. That is correct.</p> <p>19 Q. More likely than not, they were not</p> <p>20 going to have persistent pain; correct?</p> <p>21 MR. CARTMELL: Object to the form. I</p> <p>22 think it's vague and ambiguous. May call for</p> <p>23 speculation.</p> <p>24 A. Oh, I see what you're saying. Okay.</p> <p>25 In the follow-up of these individuals,</p>

66 (Pages 258 to 261)

Daniel Steven Elliott, M.D.

Page 262	Page 264
<p>1 there were 19 percent that had permanent pain. 2 Statically speaking, that means that you get rid 3 of the mesh, 81 percent got better. Therefore, 4 the mesh is the source for the pain. 5 MR. SNELL: Move to strike. 6 Q BY MR. SNELL: It was more likely that 7 the patients would get better as opposed to having 8 persistent pain in the study you just told me 9 about; correct? 10 A. During the duration of their 11 follow-up, 81 percent of the patients, once the 12 mesh was relieved, had resolution of their pain. 13 Q. You wrote in your report that you 14 believe that the TVT mesh is cytotoxic? 15 A. Correct. 16 Q. You saw that cytotoxicity -- that data 17 were presented to the FDA in the 510K for TVT; 18 right? I can withdraw it and clean it up. 19 Dr. Elliott, you saw that, in the 510K 20 for TVT retropubic device to treat stress 21 incontinence, Ethicon reported the cytotoxicity 22 data that you reference in your report to the FDA; 23 right? 24 A. I don't -- it's been a long time since 25 I read the 510K submission. I have to look to see</p>	<p>1 the FDA and the people what reviewed the TVT 2 retropubic device 510K with regard to their 3 determination as to whether the TVT retropubic 4 device is safe and effective? 5 A. No. I mean, I've seen that the -- 6 that the FDA has made those statements. But what 7 I'm saying is, I don't know if they've received 8 all of the documentation and then their opinions 9 on that, as far as the cytotoxicity, et cetera. 10 Q. Okay. 11 (Exhibit 23 marked.) 12 Q BY MR. SNELL: I marked as Exhibit 23 13 the FDA's statement, Considerations about Surgical 14 Mesh for SUI, 2013. 15 This is a document you're familiar 16 with? 17 A. Correct. 18 Q. And you see this is off the FDA web 19 site as well? 20 A. That is correct. 21 Q. Page last updated March 27, 2013; 22 correct? I'll show you? 23 A. Yes, I see it. 24 Q. And it says on the first page, "the 25 safety and effectiveness of multi-incision slings</p>
Page 263	Page 265
<p>1 if they talk about the severely cytotoxic, marked 2 cytotoxic part of these studies. 3 Q. You know in 2013 the FDA released a 4 statement regarding synthetic slings for the 5 treatment of stress incontinence? 6 A. They had a release. 7 Q. And you saw the FDA wrote in that 8 release that the full length mid-urethral sling 9 like TVT retropubic device has been shown to be 10 safe and effective up to one year; correct? 11 A. I would have to see that study. And 12 let's just -- or not the study. But that 13 publication. But let's just say they say that 14 exactly as you did. 15 At one year. 16 Q. Right. 17 A. Again, that's the limitation of all 18 those statements. 19 Q. And has the FDA, to your knowledge, 20 ever concluded that the TVT retropubic device -- 21 that the mesh is cytotoxic? 22 A. I have not seen that in any of their 23 writings. I don't know also what information 24 they've received. 25 Q. You have not seen any documents from</p>	<p>1 is well established in clinical trials that 2 followed patients for up to one year. Longer 3 follow-up data is available in the literature, but 4 there are fewer of these long-term studies 5 compared to studies with one-year follow-up." 6 Correct? 7 A. Correct. That's what they state. 8 Q. Let me ask you this question. 9 It would be a true statement that the 10 safety and effectiveness of the Burch 11 colposuspension, the autologous slings, biologic 12 slings, cadaveric slings, all the different stress 13 incontinence options -- that the safety and 14 effectiveness of them has been assessed more, to a 15 greater volume in studies reporting on 12 months 16 or less as compared to longer term studies; 17 correct? 18 MR. CARTMELL: Object to the form. 19 A. That would be true, that most SUI 20 studies are short-term because they're easier to 21 do, and that's why the data is poor to moderately 22 poor. 23 Q BY MR. SNELL: So what you just said 24 there, let me make sure I understand you. 25 Shorter term studies assessing stress</p>

67 (Pages 262 to 265)

Daniel Steven Elliott, M.D.

Page 266	Page 268
<p>1 urinary incontinence surgery are easier to do than</p> <p>2 longer term studies?</p> <p>3 A. Correct.</p> <p>4 Q. That applies across the board?</p> <p>5 A. Correct. I mean, shorter term studies</p> <p>6 are easier to do because they're short-term. You</p> <p>7 have less patient loss to follow-up those things.</p> <p>8 Q. What studies, if any, in women show</p> <p>9 that cytotoxicity causes any complications with</p> <p>10 the use of TVT retropubic device?</p> <p>11 A. There have been none because the issue</p> <p>12 of cytotoxicity has not been released to the</p> <p>13 general public. Therefore, someone is not going</p> <p>14 to study that if they don't even know it exists.</p> <p>15 Q. Do you know the 510K documents on TVT</p> <p>16 are publicly available at the FDA and available</p> <p>17 through a Google search on the web sites?</p> <p>18 A. They may be. I don't -- I don't know</p> <p>19 because I don't search that.</p> <p>20 Q. You've never attempted that search?</p> <p>21 A. Not with this device. I've done it</p> <p>22 with the ObTape, and I couldn't find it.</p> <p>23 Q. Okay. Are there any complications</p> <p>24 that you believe are due to cytotoxicity?</p> <p>25 A. Possible --</p>	<p>1 60 months follow-up.</p> <p>2 Of that 2.4 percent, can you say how</p> <p>3 many of those 17 patients had the defective</p> <p>4 vaginal healing because of cytotoxicity, or is</p> <p>5 that known?</p> <p>6 A. That has not been studied to date,</p> <p>7 because as I mentioned, I didn't even know the</p> <p>8 cytotoxicity report even existed until I got</p> <p>9 involved in this. So no one out in the community,</p> <p>10 our physicians, researchers are going to know that</p> <p>11 exists. They're not going to study it.</p> <p>12 Q. What percent of TVT retropubic devices</p> <p>13 is the mesh cytotoxic?</p> <p>14 A. Well, from what they state here, if</p> <p>15 this TVT is studied and has been shown to have</p> <p>16 marked cytotoxicity or severely cytotoxic in these</p> <p>17 two references and that mesh is put in the</p> <p>18 patient, then 100 percent of those have the</p> <p>19 potential for cytotoxicity.</p> <p>20 Q. All right. So if 100 percent have a</p> <p>21 cytotoxic mesh, why is it that 97.6 percent in the</p> <p>22 Wang study who were followed out beyond 60 months</p> <p>23 didn't have any defective vaginal healing?</p> <p>24 A. It's going to be, again,</p> <p>25 multifactorial. The vaginal healing, the duration</p>
Page 267	Page 269
<p>1 Q. Let me make sure because I want to</p> <p>2 focus on TVT, not leave a vague question out there</p> <p>3 because we were last talking about ObTape.</p> <p>4 So for the TVT retropubic device, are</p> <p>5 there complications which you believe are caused</p> <p>6 by cytotoxicity?</p> <p>7 A. In theory, possibly all of them,</p> <p>8 because cytotoxicity is cell death. Cell death</p> <p>9 will increase the foreign body response, the</p> <p>10 inflammatory response, subsequently increase the</p> <p>11 degradation, cracking, increase pain, increase the</p> <p>12 potential for infection. I'm saying possibly. It</p> <p>13 could be.</p> <p>14 Q. Okay.</p> <p>15 A. That has not been studied to date.</p> <p>16 Q. Okay. For example, you pointed me to</p> <p>17 the Wang paper earlier, and we looked at it, and</p> <p>18 there was a 2.4 percent rate of exposure; right?</p> <p>19 A. There was 17 out of 700 that had</p> <p>20 impaired vaginal healing. And I can't recall the</p> <p>21 data beyond that.</p> <p>22 Q. It was 2.4 percent?</p> <p>23 A. Okay. I remember the 2.4 percent.</p> <p>24 Q. Okay. So working with that number,</p> <p>25 2.4 percent, and we looked and there was more than</p>	<p>1 of follow-up, is smoking going to play a role,</p> <p>2 obesity, impaired vaginal status. And, again,</p> <p>3 what's going to be these people 15, 20, 30 years</p> <p>4 from now.</p> <p>5 MR. SNELL: Move to strike as</p> <p>6 nonresponsive.</p> <p>7 Q. BY MR. SNELL: My question was: If</p> <p>8 100 percent of people have the cytotoxic TVT</p> <p>9 retropubic mesh, why is it that 97.6 percent of</p> <p>10 the patients in Wang did not have the defective</p> <p>11 vaginal healing?</p> <p>12 A. See the -- not to be critical, but</p> <p>13 your logic is impaired. 100 percent of people who</p> <p>14 smoke don't get lung cancer. 100 percent of</p> <p>15 people exposed to asbestos don't get mesothelioma.</p> <p>16 100 percent exposed to TVT aren't going to have</p> <p>17 those devastating complications, but certain ones</p> <p>18 do.</p> <p>19 Q. And that's what I'm trying to</p> <p>20 understand and test here. All right.</p> <p>21 What is it about the 97.6 percent of</p> <p>22 the patients who didn't have defective vaginal</p> <p>23 healing that led this cytotoxic mesh to have no</p> <p>24 role or no effect on the --</p> <p>25 A. Okay. We decreased it down. You said</p>

68 (Pages 266 to 269)

Daniel Steven Elliott, M.D.

Page 270	Page 272
<p>1 defective vaginal healing.</p> <p>2 Q. I was trying to use the words you</p> <p>3 said.</p> <p>4 A. You're correct; 2.4 percent had</p> <p>5 defective vaginal healing. That is just one of</p> <p>6 the complications. Not all cytotoxicity or</p> <p>7 degradation is going to go just to mesh extrusion.</p> <p>8 I'm talking pain, contraction, roping, the</p> <p>9 degradation process. Pelvic pain, vaginal pain,</p> <p>10 dyspareunia.</p> <p>11 So they are just saying, just in this</p> <p>12 limiting it, 2.4 percent had defective vaginal</p> <p>13 healing. Okay. So that's narrowing the number I</p> <p>14 talked about before, okay. I cannot answer the</p> <p>15 question as to why don't all. All I know is that</p> <p>16 to me this is a red flag and patients and doctors</p> <p>17 need to be warned of that possible cytotoxicity.</p> <p>18 Q. For example, we looked at the number</p> <p>19 of patients who reported dyspareunia and there was</p> <p>20 four out of that group.</p> <p>21 A. Five complained of pain. Four</p> <p>22 complained of dyspareunia, and then five</p> <p>23 complained of vaginal bleeding.</p> <p>24 Q. Right. So for the dyspareunia,</p> <p>25 right -- we addressed this somewhat. I will</p>	<p>1 be studied.</p> <p>2 Q BY MR. SNELL: Okay. That was my</p> <p>3 question.</p> <p>4 Of -- and I was really focused on</p> <p>5 dyspareunia. Of the four patients with</p> <p>6 dyspareunia, you can't say, reliably,</p> <p>7 scientifically, which if any of those four were</p> <p>8 caused by cytotoxicity; correct?</p> <p>9 A. No. You are correct because all I can</p> <p>10 say is there was some defect in the product that</p> <p>11 caused this. I cannot attribute that just to</p> <p>12 cytotoxicity.</p> <p>13 Q. And Wang did not rule out other</p> <p>14 factors besides the mesh; did he?</p> <p>15 A. I don't recall Wang giving a specific</p> <p>16 opinion on that, what necessitated.</p> <p>17 Q. How would you design a study like you</p> <p>18 state Ethicon should do with regard to</p> <p>19 cytotoxicity to see what effect, if any, it would</p> <p>20 have on complications for women receiving the TVT</p> <p>21 retropubic device for stress incontinence?</p> <p>22 A. You cannot ethically construct a study</p> <p>23 of putting a product in that has the possibility</p> <p>24 of cytotoxicity in a patient for a quality of life</p> <p>25 study. You can't do it. It would never get</p>
Page 271	Page 273
<p>1 represent to you I calculated that, and it's</p> <p>2 0.56 percent. Okay. 4 out of 700.</p> <p>3 For that 0.56 percent of patients who</p> <p>4 had dyspareunia, is there a way to scientifically</p> <p>5 reliably say, which, if any of them, that was</p> <p>6 caused by cytotoxicity? And if there is, I want</p> <p>7 to know the methodology by which you would</p> <p>8 conclude that.</p> <p>9 A. That would require a study by Ethicon</p> <p>10 to do that. And so all I know is we have a red</p> <p>11 flag. We have marked cytotoxicity. We have</p> <p>12 complication. These are just limiting to the</p> <p>13 specific one. I cannot point to a paper and say</p> <p>14 that because then it has not been studied because</p> <p>15 individuals didn't know to study it. It needs to</p> <p>16 be studied, though.</p> <p>17 Q. So I think in fairness, the answer to</p> <p>18 my question was, no, you don't know that; correct?</p> <p>19 MR. CARTMELL: Objection. Asked and</p> <p>20 answered. He just answered your question.</p> <p>21 A. No. And I will reiterate just what I</p> <p>22 said again. Cytotoxicity is a red flag of</p> <p>23 something going on. We know there's cytotoxicity</p> <p>24 there. How much of a role it plays in all the</p> <p>25 other complications, I don't know. That needs to</p>	<p>1 approved and no woman would accept it.</p> <p>2 Q. Am I correct that for the pore size of</p> <p>3 the TVT mesh you cannot reliably say</p> <p>4 scientifically what complications are caused due</p> <p>5 to pore size in TVT patients?</p> <p>6 MR. CARTMELL: Object to the form.</p> <p>7 A. As I've stated multiple times, as</p> <p>8 outlined in my report, we have an overall system</p> <p>9 design failure.</p> <p>10 Specifically small pore, what role is</p> <p>11 that playing in percentage of the complications.</p> <p>12 No, I cannot say that.</p> <p>13 Q BY MR. SNELL: You have not studied</p> <p>14 the rates of complications of stress urinary</p> <p>15 incontinence slings to see whether there is a</p> <p>16 statistically significant different rate of</p> <p>17 complications that occurs dependent upon pore</p> <p>18 size; correct?</p> <p>19 A. You are partly correct. However, we</p> <p>20 do know from the hernia mesh data and the Vypro</p> <p>21 mesh data that complications can be reduced with a</p> <p>22 large poor lightweight. It has not been extended</p> <p>23 down into the TVT like it should have been. So</p> <p>24 you are correct. That data does not exist and it</p> <p>25 should exist.</p>

69 (Pages 270 to 273)

Daniel Steven Elliott, M.D.

Page 274	Page 276
<p>1 Q. Actually, that data do exist to some 2 degree in the application of stress urinary 3 incontinence because there are data like the 4 Cochrane Reviews that show that multifilament 5 meshes have higher complication rates than 6 monofilament meshes; correct?</p> <p>7 A. Yes. But we're talking about the TVT 8 here. And I'm talking about lightweight hernia 9 mesh. You know, Ethicon employees all agree, 10 lightweight, small -- or large pore reduce 11 complications. The Cochrane has nothing to do 12 with lightweight, large pore meshes. It doesn't 13 exist, as far as I know, for slings.</p> <p>14 Q. The multifilament meshes assessed in 15 the Cochrane Review that had higher rates of 16 complications compared to the monofilament meshes 17 like TVT have a smaller pore size than the TVT 18 mesh; correct?</p> <p>19 A. No. You are correct, but we're 20 talking -- yes, I agree with you. 21 The ObTape, the ProteGen, the 22 Gortexes, the Amid 3's have higher implications 23 than TVT. I agree with you. But what I'm saying 24 is the next level up above TVT, the lightweight, 25 large pore meshes, it does not exist. The</p>	<p>1 body.</p> <p>2 Q. No surgeon in the world that you're 3 aware of has ever taken a larger pore, lighter 4 weight hernia mesh, cut it down to 1.1 5 centimeters, put it in a sheath and placed it 6 retropubically, like the TVT retropubic device; 7 correct?</p> <p>8 A. I am unaware of anybody doing that. 9 Including Ethicon.</p> <p>10 Q. Therefore, you are unaware of any 11 studies in the application of a stress urinary 12 incontinence tape that show that when put in that 13 configuration and used as the TVT is, 14 retropubically, with the passage of trochars, that 15 there is a lower complication rate in stress 16 incontinent women; correct?</p> <p>17 MR. CARTMELL: Object to the form. I 18 believe it misstates his opinions in this case and 19 the report.</p> <p>20 Q. BY MR. SNELL: Go ahead.</p> <p>21 A. And therein lies a huge deficit of 22 what Ethicon should have done. They knew the data 23 on hernia meshes and prolapse meshes. Large pore, 24 lightweight fewer complications. They did not 25 take the next step of extrapolating that to TVT,</p>
Page 275	Page 277
<p>1 technology exists for it, but the product has not 2 been done in any studies for women in stress 3 incontinence.</p> <p>4 Q. Right. Okay. So those larger pore, 5 lighter weight meshes have not been cut down to 6 1.1 centimeters, put into sheaths and tested by 7 anyone; correct?</p> <p>8 A. That is correct. In my opinion it 9 should have been.</p> <p>10 Q. All right. What physicians and 11 surgeons -- well, strike that.</p> <p>12 If physicians and surgeons wanted to 13 test larger pore, lighter weight hernia meshes in 14 the application of stress incontinence, couldn't 15 they cut slings made of ULTRAPRO and test it for 16 incontinence?</p> <p>17 A. I can't speak to what surgeons could 18 or could not do.</p> <p>19 Q. Well, you cut mesh and put it in the 20 body however you wanted; didn't you?</p> <p>21 A. No.</p> <p>22 Q. You didn't do that for sacrocolpopexy?</p> <p>23 A. I configured an already Y-shaped mesh. 24 I did not take something and create something new. 25 I just configured it to fit into the patient's</p>	<p>1 because, as they said, now their TVT data no 2 longer holds up. So they made a decision not to 3 do that.</p> <p>4 Q. BY MR. SNELL: Well, you would 5 criticize Ethicon for wanting to have a product 6 that has longer term data than all the other 7 meshes out there, including ones you, yourself, 8 have used?</p> <p>9 MR. CARTMELL: Objection.</p> <p>10 Argumentative.</p> <p>11 A. Well, I have no problem with them 12 having long-term studies out there, but I'm saying 13 they're not focused on safety. And I'm saying if 14 they knew, if a corporation knew that there were a 15 better product available and they chose not to, 16 purely for marketing, that is unethical, 17 unacceptable.</p> <p>18 Q. BY MR. SNELL: How do they know it's 19 better in the application of stress urinary 20 incontinence when the sling is only 1.1 21 centimeters?</p> <p>22 A. They should --</p> <p>23 MR. CARTMELL: Object to the form. I 24 don't understand the question.</p> <p>25 A. No.</p>

70 (Pages 274 to 277)

Daniel Steven Elliott, M.D.

Page 278	Page 280
<p>1 MR. SNELL: I mean, you're -- I mean, 2 what you're talking about is Ethicon's state of 3 mind, and that will not fly with this judge. So 4 I'm going to withdraw that question. 5 MR. CARTMELL: Let's take a break. 6 MR. SNELL: That's fine. 7 (Recessed from 4:25 p.m. to 8 4:42 p.m.) 9 MR. SNELL: You do know that I'm here 10 to question him on his New Jersey report as well? 11 MR. CARTMELL: No, I didn't know that. 12 MR. SNELL: Ben didn't tell you that? 13 MR. CARTMELL: Hum-um. 14 MR. SNELL: He said he wanted it all 15 done in one sitting. So -- 16 MR. CARTMELL: He told me next week in 17 Minneapolis. 18 MR. SNELL: That's only case specific 19 on Watkins. I'm doing the New Jersey general 20 stuff today. 21 MR. CARTMELL: Okay. 22 MR. SNELL: That's what they told me. 23 MR. CARTMELL: I'm not doing that. If 24 you're telling me you're going longer than 25 7 hours --</p>	<p>1 there at 6:00, I'm going to get my brains beat in. 2 I'm not doing that. 3 MR. SNELL: Well, then we're going to 4 have to agree that whenever I can make it and the 5 doctor make it, we'll do the New Jersey general 6 TVT portion. 7 MR. CARTMELL: Well, that's fine. But 8 I'm not -- 9 MR. SNELL: Because the person who's 10 deposing him in Watkins -- 11 MR. CARTMELL: Look, there's -- 12 MR. SNELL: Let me just say something. 13 MR. CARTMELL: This is ridiculous that 14 you take 7-hour depositions. 15 MR. SNELL: The person disposing him 16 in Watkins is only case specific. That was all 17 agreed to and hammered out -- 18 MR. CARTMELL: Nobody told me that. 19 MR. SNELL: -- between Ben and 20 everybody in these big mass emails. All right. 21 Well, let's just -- let's jump on it, okay. 22 MR. CARTMELL: Okay. 23 MR. SNELL: We'll find something that 24 works. But I'm telling you -- and you know it. I 25 know you're tied up and I'm tied up, through the</p>
Page 279	Page 281
<p>1 MR. SNELL: Yeah. 2 MR. CARTMELL: -- I ain't doing that. 3 MR. SNELL: Well, why didn't Ben tell 4 you that, because that's the agreement. 5 MR. CARTMELL: Nobody told me that. 6 MR. SNELL: That's the agreement I put 7 in the emails, too. Ben was having -- 8 MR. CARTMELL: This was the 9 consolidation deposition. 10 MR. SNELL: Right. And then but Ben 11 said, but you need to do his New Jersey generally 12 TVT at the same sitting because Watkins case 13 specific is next week. And I said, okay, I'll 14 start that after I finish the design defect. It's 15 all in the emails. I'm surprised he did not tell 16 you that. 17 MR. CARTMELL: He didn't tell me and 18 I'm not doing it. 19 MR. SNELL: Is that on the record. I 20 mean, because I came here and flew here to do 21 both. And I'm not available next weekend, okay, 22 because I have my own experts. 23 MR. CARTMELL: I'm not available 24 tonight, and I -- I agreed to do this, and I have 25 something I have to be at at 6:00, and if I'm not</p>	<p>1 5th, okay. But I'm here today, prepared to do the 2 New Jersey general after this one. 3 MR. CARTMELL: Well, I'm not. 4 MR. SNELL: I know. I know. 5 MR. CARTMELL: I'm not doing that. 6 I'm not doing 9 hours -- 7 MR. SNELL: I don't know why they 8 didn't tell you. 9 MR. CARTMELL: I'm not making the 10 doctor do 9 hours of deposition. That's 11 ridiculous. This is crazy. We're, again, going 12 over stuff that I think you even covered in his 13 first depo. 14 MR. SNELL: I've only deposed him on 15 Prolift. 16 MR. CARTMELL: But that doesn't 17 matter. A lot of this stuff has been talked 18 about. 19 MR. SNELL: No. But this is in the 20 application of the design of TVT for stress 21 incontinence. That was the agreement. 22 MR. CARTMELL: Go. You've got 23 48 minutes. 24 MR. SNELL: That was the agreement, 25 okay. That's why I came here. And I'm prepared</p>

71 (Pages 278 to 281)

Daniel Steven Elliott, M.D.

Page 282	Page 284
<p>1 to do that.</p> <p>2 MR. CARTMELL: I wish I had known.</p> <p>3 MR. SNELL: I wish they would have</p> <p>4 told you, to be honest with you. And I wish they</p> <p>5 would have told me, because I was preparing to go</p> <p>6 out tomorrow. And as for the length of deposition</p> <p>7 being ridiculous, in New Jersey some of my experts</p> <p>8 were deposed for more than 13 hours.</p> <p>9 MR. CARTMELL: I just can't believe</p> <p>10 this. But go ahead.</p> <p>11 MR. SNELL: All right. So we'll pick</p> <p>12 it up. Are you ready, Doc.</p> <p>13 THE DEPONENT: Yes, I am.</p> <p>14 Q BY MR. SNELL: You got your report</p> <p>15 there handy?</p> <p>16 A. Yes, I do.</p> <p>17 Q. Can you just turn to page 20.</p> <p>18 A. Yes.</p> <p>19 Q. The picture there, that is not a</p> <p>20 picture of the TVT retropubic device to treat</p> <p>21 stress urinary incontinence; is that correct?</p> <p>22 A. That is correct.</p> <p>23 Q. All right. The width of whatever that</p> <p>24 mesh is is a lot more than 1 centimeter; correct?</p> <p>25 A. I don't know the dimensions on that.</p>	<p>1 section of my report, which I have down here</p> <p>2 starting on roughly page 17, it appears.</p> <p>3 In there I say, Ethicon's medical</p> <p>4 director stated that TVT can shrink -- generally</p> <p>5 believe TVT mesh would shrink approximately</p> <p>6 30 percent post implantation, and that is an</p> <p>7 internal document.</p> <p>8 MR. SNELL: So respectfully move to</p> <p>9 strike.</p> <p>10 Q. BY MR. SNELL: My question was: Are</p> <p>11 you aware of any clinical studies that assess the</p> <p>12 TVT in the application of stress urinary</p> <p>13 incontinence and reported that there was no</p> <p>14 shrinkage with the TVT mesh?</p> <p>15 A. That there was no shrinkage? I'm</p> <p>16 unaware of any studies that's documented no</p> <p>17 shrinkage.</p> <p>18 Q. Okay. The Vypro mesh, you're aware</p> <p>19 that -- let me back up.</p> <p>20 So you make reference to Vypro and</p> <p>21 ULTRAPRO in your report; I believe; correct?</p> <p>22 A. Vypro. I'd have to look and see with</p> <p>23 ULTRAPRO, where I put that. But Vypro, yes.</p> <p>24 Q. In the context of a hernia or animal</p> <p>25 study; correct?</p>
Page 283	Page 285
<p>1 I have to go back to the original document.</p> <p>2 Q. Well, if you look at the number of</p> <p>3 pores all the way across it, you and I can agree</p> <p>4 that that's a lot more than 1 centimeter wide;</p> <p>5 correct.</p> <p>6 MR. CARTMELL: Object to the form.</p> <p>7 A. Again, I can't say. I just don't</p> <p>8 know. I'm saying I don't know what it is. I'm</p> <p>9 not disagreeing with you. I just don't know.</p> <p>10 Q BY MR. SNELL: There's no sheath on</p> <p>11 that mesh; correct?</p> <p>12 A. That is correct.</p> <p>13 Q. And there's certainly no trochars</p> <p>14 connected to it; correct?</p> <p>15 A. That is correct.</p> <p>16 Q. And you don't know how that --</p> <p>17 whatever mesh it was stretched; is that correct?</p> <p>18 A. I'd have to go back to the original</p> <p>19 document and see what they said.</p> <p>20 Q. Okay. Are you aware of any studies</p> <p>21 that have looked at potential shrinkage with the</p> <p>22 TVT device in the application of stress</p> <p>23 incontinence treatment that report that there was</p> <p>24 no shrinkage with the TVT?</p> <p>25 A. We'd have to go to the contraction</p>	<p>1 A. That's correct. On page 21 of my</p> <p>2 report.</p> <p>3 Q. You know Vypro was assessed even for</p> <p>4 the application of prolapse and was found to have</p> <p>5 a greater than 10 percent exposure rate; right?</p> <p>6 A. That is correct. But it was less than</p> <p>7 the existing Gynemesh.</p> <p>8 Q. Actually it was assessed and it was</p> <p>9 found to be 17 percent and Dr. Jacquetin found</p> <p>10 that it was not tolerated by the body.</p> <p>11 A. Okay.</p> <p>12 Q. Is that correct?</p> <p>13 A. I don't recall that. I have no reason</p> <p>14 to doubt that it's incorrect.</p> <p>15 Q. Okay. And the ULTRAPRO, you're aware</p> <p>16 that that was ultimately put into the Prolift</p> <p>17 Plus, and there were mesh exposures with that mesh</p> <p>18 in the POP application; correct?</p> <p>19 MR. CARTMELL: Object to the form. Go</p> <p>20 ahead.</p> <p>21 A. Yes. Again, and that reinforces my</p> <p>22 opinion. Mesh should not be placed in the vagina.</p> <p>23 Can we just -- I'm sorry to</p> <p>24 interrupt -- deflect the curtain the opposite</p> <p>25 direction. Thank you. Feel like God there for a</p>

72 (Pages 282 to 285)

Daniel Steven Elliott, M.D.

Page 286	Page 288
<p>1 second; I was glowing.</p> <p>2 Q BY MR. SNELL: You know that</p> <p>3 Dr. Jacquetin in the TVM group assessed Vypro in</p> <p>4 the transvaginal mesh pelvic organ prolapse</p> <p>5 application?</p> <p>6 A. That is correct. I've read that, yes.</p> <p>7 Q. And they found that tolerance of that</p> <p>8 material was poor?</p> <p>9 MR. CARTMELL: Object to the form.</p> <p>10 You got the study. Show it to him. I think -- I</p> <p>11 think you're misstating the study.</p> <p>12 Q BY MR. SNELL: You're aware of that;</p> <p>13 correct?</p> <p>14 A. I am aware that they did look at it.</p> <p>15 I am not aware of the specific details of that</p> <p>16 study. It's been a while since I looked at that</p> <p>17 study.</p> <p>18 Q. I have it here on the computer.</p> <p>19 A. That's fine. Which name or title is</p> <p>20 it? Or who's the lead author?</p> <p>21 Q BY MR. SNELL: Denis, D-e-n-i-s.</p> <p>22 A. Okay.</p> <p>23 Q. Denis, Jacquetin. Here you better --</p> <p>24 okay. You need to maximize -- there you go?</p> <p>25 A. Oh, so it's an abstract.</p>	<p>1 Q. And they talk about the use of a half</p> <p>2 absorbable mesh does not seem to reduce</p> <p>3 inflammation and could even accentuate it;</p> <p>4 correct?</p> <p>5 A. That's correct. All right. And then</p> <p>6 they go on to say, "Good results of the TVT does</p> <p>7 not seem to be much modified by the additional" --</p> <p>8 okay. That's separate.</p> <p>9 Q. Your understanding --</p> <p>10 A. I have to see if that Vypro -- they</p> <p>11 mentioned a bioabsorbable, is if they have Vicryl</p> <p>12 in there --</p> <p>13 Q. Right.</p> <p>14 A. -- or a collagen base of some sort.</p> <p>15 That's associated with increased inflammation.</p> <p>16 MR. CARTMELL: Hey, put the name of</p> <p>17 that study and the citation to it on the record,</p> <p>18 please.</p> <p>19 MR. SNELL: Yeah. Denis, D-e-n-i-s,</p> <p>20 Abstract 620. It was an abstract presentation.</p> <p>21 And Dr. Jacquetin there, too. All of the study</p> <p>22 subjects coming out of Clermont-Ferrand. Abstract</p> <p>23 620 at the joint ICS/IUGA 2004 conference in</p> <p>24 Paris, France. I'll make that representation. I</p> <p>25 know that's where this is from.</p>
Page 287	Page 289
<p>1 Q. Right.</p> <p>2 A. Okay.</p> <p>3 Q. You see that they reported the</p> <p>4 tolerance was poor?</p> <p>5 A. Let me go to their conclusions.</p> <p>6 Q. Can I come around and look at it with</p> <p>7 you.</p> <p>8 A. By all means.</p> <p>9 Q. Because it's electronic, just so the</p> <p>10 record reflects -- it says in this study that</p> <p>11 tolerance of the Vypro mesh is VERY poor; correct?</p> <p>12 A. That's what it states, yes.</p> <p>13 Q. High rate of erosion, and problems of</p> <p>14 cicatrisation have been observed.</p> <p>15 A. Correct. C-i-c-a-t-r-i-s-a-t-i-o-n,</p> <p>16 which just means scars.</p> <p>17 Q. Okay.</p> <p>18 A. Contraction.</p> <p>19 Q. And it also had complications of</p> <p>20 retraction and rigidity were observed with the</p> <p>21 Vypro mesh?</p> <p>22 A. That is correct.</p> <p>23 Q. Frequently with clinical severe</p> <p>24 consequences; correct?</p> <p>25 A. That is correct.</p>	<p>1 THE DEPONENT: And I was at that</p> <p>2 meeting.</p> <p>3 Q BY MR. SNELL: Did you see this</p> <p>4 presentation?</p> <p>5 A. I don't recall seeing it, no.</p> <p>6 Q. And you know the Vypro mesh, it's a</p> <p>7 larger pore mesh than the mesh used in the TVT</p> <p>8 device; correct?</p> <p>9 A. It is.</p> <p>10 Q. And the Vypro mesh uses a combination</p> <p>11 of Vicryl with the Prolene polypropylene; correct?</p> <p>12 A. Again, I'd have to refresh my memory.</p> <p>13 That is my recollection. It is partially</p> <p>14 absorbable.</p> <p>15 Q. All right. The Vicryl part is what</p> <p>16 absorbs over time?</p> <p>17 A. That is correct.</p> <p>18 Q. And the Prolene polypropylene mesh is</p> <p>19 what's left behind; correct?</p> <p>20 A. That is the permanent portion of the</p> <p>21 implant, yes.</p> <p>22 MR. SNELL: Let's mark this.</p> <p>23 (Exhibit 24 marked.)</p> <p>24 Q BY MR. SNELL: Exhibit 24 is a study</p> <p>25 of various meshes, fascia, animal, cadaveric</p>

73 (Pages 286 to 289)

Daniel Steven Elliott, M.D.

Page 290	Page 292
<p>1 materials, and the rabbit model with implications</p> <p>2 for sling surgery; correct?</p> <p>3 A. That is correct.</p> <p>4 Q. This is a paper you were one of the</p> <p>5 authors of; correct?</p> <p>6 A. I was the lead author.</p> <p>7 Q. Okay. And this was published in the</p> <p>8 Journal of Urology?</p> <p>9 A. Correct. In 2004.</p> <p>10 Q. All right. Is the Journal of</p> <p>11 Urology -- does it have a poor peer review</p> <p>12 process?</p> <p>13 A. A poor, meaning incompetent? I</p> <p>14 mean --</p> <p>15 Q. Okay.</p> <p>16 A. As opposed to pore, p-o-r-e? You're</p> <p>17 talking poor, p-o-o-r?</p> <p>18 Q. Yes, sir, p-o-o-r.</p> <p>19 A. No. It would -- in urology, it is</p> <p>20 probably one of the most strict peer review, along</p> <p>21 with the European Urology Journal.</p> <p>22 Q. All right. So among the various</p> <p>23 things assessed, one was polypropylene mesh.</p> <p>24 Another was autologous fascia; correct?</p> <p>25 A. That is correct. And it was the Sparc</p>	<p>1 However, in the first 10 patients we didn't know</p> <p>2 the tensioning of this. No one had ever done it</p> <p>3 before. And so we're accounting for a lot of</p> <p>4 different factors. Is it going to -- is it going</p> <p>5 to tighten up or is it going to stretch out. We</p> <p>6 didn't know.</p> <p>7 Q. Okay.</p> <p>8 A. And that's why it's a feasibility</p> <p>9 study.</p> <p>10 Q. Okay. The last page you talk about</p> <p>11 "the polypropylene mesh has extremely low</p> <p>12 stiffness at baseline, but it demonstrated</p> <p>13 increasing stiffness with time. This phenomenon</p> <p>14 is likely caused by the ingrowth of tissues into</p> <p>15 the interstices of the mesh."</p> <p>16 A. That's correct. That's what we</p> <p>17 stated.</p> <p>18 Q. Is that an accurate statement?</p> <p>19 A. That is an accurate statement of what</p> <p>20 we found. We did not know at that point in time</p> <p>21 the potential implications of that.</p> <p>22 Q. You concluded that the biomechanical</p> <p>23 results of the current study support the use of</p> <p>24 polypropylene mesh for sling surgery relative to</p> <p>25 other non-autologous materials; right?</p>
Page 291	Page 293
<p>1 that we used.</p> <p>2 Q. And Sparc was a -- that was a</p> <p>3 monofilament polypropylene mesh; correct?</p> <p>4 A. Correct. Quite similar to TVT.</p> <p>5 Q. And there was a rapid loss of strength</p> <p>6 and stiffness in the porcine and cadaveric</p> <p>7 materials; correct?</p> <p>8 A. That is correct.</p> <p>9 Q. And the autologous fascia, as well as</p> <p>10 small intestinal submucosa demonstrated the</p> <p>11 highest rate of contraction; correct?</p> <p>12 A. In this short-term limited, yes,</p> <p>13 that's what we found.</p> <p>14 Q. Does the autologous fascia contract in</p> <p>15 the human body?</p> <p>16 A. It is reabsorbed. And remodeled is</p> <p>17 the term we usually use. As opposed to</p> <p>18 contraction.</p> <p>19 Q. I saw in your pilot study with the</p> <p>20 10 patients with the transobturator autologous</p> <p>21 sling that you reported that you placed that sling</p> <p>22 loosely in order to hopefully minimize contraction</p> <p>23 of the autologous tissues.</p> <p>24 Do you recall that statement?</p> <p>25 A. I don't recall that statement per se.</p>	<p>1 A. Again, that's what we stated as of</p> <p>2 2004 in our short-term study because we found the</p> <p>3 increased stiffness and thought that that would be</p> <p>4 increased as far as efficacy. And we didn't</p> <p>5 realize that that process continues.</p> <p>6 Q. You published a subsequent study in</p> <p>7 follow-up; correct?</p> <p>8 A. Correct. By Krambeck, et al.</p> <p>9 MR. SNELL: Go off the record for a</p> <p>10 second.</p> <p>11 (Exhibit 25 marked.)</p> <p>12 Q BY MR. SNELL: So-Exhibit 25, Doctor,</p> <p>13 is your follow-up study that you published in 2006</p> <p>14 in the Urology Journal; correct?</p> <p>15 A. Correct.</p> <p>16 Q. And this was a study where you found</p> <p>17 significant differences were found for</p> <p>18 inflammation, eosinophil infiltrate and</p> <p>19 inflammatory rind at 12 weeks with polypropylene</p> <p>20 mesh having the lowest degree; correct?</p> <p>21 A. That was one of our findings.</p> <p>22 Q. And that was a study looking at</p> <p>23 polypropylene mesh versus cadaveric fascia,</p> <p>24 porcine dermis, porcine small intestine submucosa,</p> <p>25 and autologous fascia; correct?</p>

74 (Pages 290 to 293)

Daniel Steven Elliott, M.D.

Page 294	Page 296
<p>1 A. Those were all the properties or the</p> <p>2 substances we studied.</p> <p>3 Q. All right. And you reported that the</p> <p>4 inflammation with the cadaveric fascia and porcine</p> <p>5 may cause rapid clinical deterioration compared to</p> <p>6 the autologous fascia and polypropylene mesh?</p> <p>7 A. That is correct. That was the main</p> <p>8 purpose of this study, looking at what happens to</p> <p>9 the cadaveric and porcine materials. Does the</p> <p>10 body rapidly absorb them, which we found out it</p> <p>11 did. And the polypropylene had the greatest</p> <p>12 degree of scar formation.</p> <p>13 Q. And that's one of the reasons why</p> <p>14 cadaveric fascia and porcine materials for use in</p> <p>15 the sling application never really caught on to a</p> <p>16 large degree because, with longer term follow-up</p> <p>17 surgeons found that those slings would actually be</p> <p>18 absorbed into the body; correct?</p> <p>19 A. Partly correct. The porcine, no</p> <p>20 question. The porcine dermis and then the porcine</p> <p>21 SIS, in my opinion, were horrible products. I</p> <p>22 used them and they failed miserably. It was</p> <p>23 worthless to do that. Actually worse than</p> <p>24 worthless.</p> <p>25 The -- I forget the rest of what your</p>	<p>1 incorrect with that. We had our facts right, our</p> <p>2 conclusion wrong.</p> <p>3 Q. You wrote that the facial slings using</p> <p>4 harvested autologous fascia which increases</p> <p>5 operative time and patient morbidity.</p> <p>6 And that's true as of today; correct?</p> <p>7 A. I would not disagree with that.</p> <p>8 Q. And you report other studies have</p> <p>9 shown a decrease in tensile strength of cadaveric</p> <p>10 fascia; correct?</p> <p>11 A. Correct. But the issue was -- we</p> <p>12 assumed at that point in time that increasing</p> <p>13 tensile strength was a good thing. We're now</p> <p>14 realizing that the pelvis and the vagina are</p> <p>15 elastic and have to bend, and so we're not</p> <p>16 necessarily agreeing with the conclusions I had in</p> <p>17 this study.</p> <p>18 Q. You found that the xenograft and</p> <p>19 cadaveric products demonstrated high degrees of</p> <p>20 inflammatory infiltrate; correct?</p> <p>21 A. That is correct. Specifically with</p> <p>22 the SIS. And those had a significant immune</p> <p>23 response to it. Yes. And those are not used in</p> <p>24 our practice at all anymore because of that.</p> <p>25 Q. Okay. What is the significance of the</p>
Page 295	Page 297
<p>1 statements were. But the --</p> <p>2 Q. Cadaveric. With regard to the</p> <p>3 cadaveric.</p> <p>4 A. And the cadaveric -- there's multiple</p> <p>5 different types of cadaveric and how they are</p> <p>6 processed. And some are good and some are not</p> <p>7 good. The one we found here raised questionable</p> <p>8 results.</p> <p>9 Q. How do you know which ones are good</p> <p>10 and not good until you try them?</p> <p>11 A. That's a major problem, but pretty</p> <p>12 much agreed upon, freeze dried irradiated cadaverics</p> <p>13 have a higher -- not degradation. Decomposition.</p> <p>14 De --</p> <p>15 Q. The irradiation process that you need</p> <p>16 to do to cadaveric tissue to reduce any potential</p> <p>17 transmission of disease is known to cause those</p> <p>18 materials to degrade; correct?</p> <p>19 A. Yes.</p> <p>20 Q. And you wrote here that the fibrosis</p> <p>21 and scarring noted with the polypropylene mesh may</p> <p>22 also contribute to a more lasting repair; correct?</p> <p>23 A. You're correct. That was at that</p> <p>24 point in time the conclusions that we reached.</p> <p>25 And we subsequently discovered that we were</p>	<p>1 SIS for the porcine? Is that a single incision</p> <p>2 sling?</p> <p>3 A. No. It's just like -- instead of</p> <p>4 using cadaveric tissue for the sling, we use SIS,</p> <p>5 which is pig intestine, submucosal pig intestines.</p> <p>6 There's also porcine dermis, but both of them</p> <p>7 contain porcine DNA and are not recommended to be</p> <p>8 used.</p> <p>9 Q. And you're right. "We also noted a</p> <p>10 low degree of inflammation with polypropylene mesh</p> <p>11 compared to the other materials."</p> <p>12 A. Yes. And that's a relative statement</p> <p>13 in the short-term in the rabbit model compared to</p> <p>14 the processes that we know create a significant</p> <p>15 amount of immune response because they still have</p> <p>16 porcine DNA. So there's a major foreign body</p> <p>17 reaction to that.</p> <p>18 Q. And you found that there was a low</p> <p>19 degree of inflammation with polypropylene mesh,</p> <p>20 which was similar to what was seen with the</p> <p>21 autologous fascia; correct?</p> <p>22 A. Correct. In the short-term that is</p> <p>23 correct. That's what we found.</p> <p>24 Q. And so the polypropylene mesh in your</p> <p>25 study acted most closely to the autologous fascia;</p>

75 (Pages 294 to 297)

Daniel Steven Elliott, M.D.

Page 298	Page 300
<p>1 correct?</p> <p>2 A. Correct. In the rabbit model, placed</p> <p>3 transabdominally, that is the conclusions we</p> <p>4 reached in 2008.</p> <p>5 Q. All right. I mean, some of the</p> <p>6 studies you cite to are in dogs and other animals</p> <p>7 that are not even in the sling application like</p> <p>8 you tried to do; right?</p> <p>9 A. I agree.</p> <p>10 Q. So are you saying that your study is</p> <p>11 not important, or that --</p> <p>12 A. No.</p> <p>13 Q. -- the findings are inaccurate?</p> <p>14 A. No. I'm saying it has to be looked at</p> <p>15 as far as -- this is looking what the rabbit model</p> <p>16 does to these various different slings in the</p> <p>17 short-term. I think they're very important</p> <p>18 findings.</p> <p>19 Q. You say, our results -- "the</p> <p>20 alternatives to biologic material, synthetics are</p> <p>21 gaining popularity. The polypropylene mesh has</p> <p>22 shown promising initial and long-term results</p> <p>23 similar to that of autologous sling material";</p> <p>24 correct?</p> <p>25 A. Correct.</p>	<p>1 Q. You say UCLA State of the Art Urology</p> <p>2 Meeting --</p> <p>3 A. Oh. Oh.</p> <p>4 Q. -- page 4.</p> <p>5 A. That's a yearly meeting that they have</p> <p>6 that Raz and other experts discuss. That was an</p> <p>7 attendance-only meeting. That's not Grand Rounds.</p> <p>8 Q. Okay. I'm sorry.</p> <p>9 A. No.</p> <p>10 Q. Were you just kind of -- were you</p> <p>11 identifying different conferences or meetings you</p> <p>12 go to typically?</p> <p>13 A. Correct. That was continuing medical</p> <p>14 education.</p> <p>15 Q. Okay.</p> <p>16 A. Where specifically UCLA is well-known</p> <p>17 for having Dr. Raz there. So there's always a</p> <p>18 strong female urology section to it. That's all</p> <p>19 that's stating.</p> <p>20 Q. Dr. Raz is one of the proponents of</p> <p>21 needle suspension procedures over the years;</p> <p>22 correct?</p> <p>23 A. Well, he used to be. He's not</p> <p>24 anymore. He doesn't do his own procedure anymore.</p> <p>25 Q. Why not?</p>
Page 299	Page 301
<p>1 Q. And then you go on to say, "Our</p> <p>2 results indicated little degree of inflammation</p> <p>3 and significant fibrosis similar to that with</p> <p>4 autologous material"; correct?</p> <p>5 A. Correct. And that is the significant</p> <p>6 finding of that, which we did not correctly</p> <p>7 interpret our results at that point in time.</p> <p>8 Q. Well, you've stated significantly that</p> <p>9 none of the material appeared grossly infected at</p> <p>10 explantation in your study either; is that right?</p> <p>11 A. That's correct. In the rabbit model</p> <p>12 placed transabdominally, that is correct.</p> <p>13 Q. All right. I think in your report</p> <p>14 somewhere you mentioned -- and maybe I'm</p> <p>15 misstating this, but you were relying on -- or you</p> <p>16 found something important coming out of the UCLA</p> <p>17 Grand Rounds?</p> <p>18 A. No. No. I don't recall that.</p> <p>19 Q. Okay.</p> <p>20 A. I attended multiple UCLA meetings</p> <p>21 which involved discussions of meshes, but I think</p> <p>22 that's the only thing I could --</p> <p>23 Q. Okay.</p> <p>24 A. I don't think I ever attended what we</p> <p>25 call Grand Rounds.</p>	<p>1 A. Didn't work.</p> <p>2 Q. Okay. Do you have that Ford Cochrane</p> <p>3 Review you cited to in your expert report handy?</p> <p>4 I think it was one of the first exhibits we</p> <p>5 marked. Can I just turn to a page. I have a</p> <p>6 question for you.</p> <p>7 With the 2.1 percent mesh exposure</p> <p>8 rate they saw with the retropubic sling in the</p> <p>9 Ford Cochrane Review of 2015, would there be a</p> <p>10 scientifically reliable way of stating which, if</p> <p>11 any, of those exposures occurred due to the</p> <p>12 mechanically cut nature of the mesh?</p> <p>13 A. You have to look at those studies and</p> <p>14 see when they were published. If they're</p> <p>15 published prior to 2007, you could say all of them</p> <p>16 were attributed. If they're published after that</p> <p>17 we don't know, and they'd have to look at the</p> <p>18 studies, see if they break it down in mechanical</p> <p>19 versus laser.</p> <p>20 Q. Do any of the randomized control</p> <p>21 trials report that there was a sawing effect with</p> <p>22 the TVT mechanically cut mesh in the treatment of</p> <p>23 stress incontinence?</p> <p>24 A. I have not seen that in the</p> <p>25 literature. That is based upon my personal</p>

76 (Pages 298 to 301)

Daniel Steven Elliott, M.D.

Page 302	Page 304
<p>1 experience with Sparc, not the TVT, and then also</p> <p>2 internal documentation.</p> <p>3 Q. So if there was a 2.1 percent rate --</p> <p>4 if there was a 2.1 percent rate of exposure with</p> <p>5 the retropubic TVT sling -- and I want you to</p> <p>6 assume that all of those were mechanically cut,</p> <p>7 okay -- how would you scientifically, reliably</p> <p>8 ascertain which of those 21 patients' exposures</p> <p>9 were because of the mechanical cut nature of the</p> <p>10 mesh?</p> <p>11 A. Looking at this, I have no idea how</p> <p>12 many of these are TVT or not. It says retropubic</p> <p>13 slings, but that could be anything. It's not</p> <p>14 talking up-down, top-down, or anything. They're</p> <p>15 not comparing TVT right here necessarily.</p> <p>16 So based upon that, I don't know how</p> <p>17 to answer your question because I don't know what</p> <p>18 they're looking at, because they just say</p> <p>19 retropubic.</p> <p>20 Q. You didn't look and see how many of</p> <p>21 those studies were the TVT study?</p> <p>22 A. I did not look through those to find</p> <p>23 out that information, no.</p> <p>24 Q. So let me ask you this hypothetical</p> <p>25 then. If there were hypothetically 21 mesh</p>	<p>1 A. Correct.</p> <p>2 Q. That study didn't assess the TVT</p> <p>3 retropubic mid-urethral sling to treat stress</p> <p>4 incontinence; correct?</p> <p>5 A. Correct. It was TVT-Secur versus the</p> <p>6 TVTO.</p> <p>7 Q. And the TVTO, in that study, do you</p> <p>8 recall if there were any mesh exposures?</p> <p>9 A. I'd have to look at the study. I</p> <p>10 don't recall.</p> <p>11 Q. Do you know if that TVTO mesh was</p> <p>12 mechanical cut?</p> <p>13 A. The Secur was laser cut. And it was</p> <p>14 my understanding that the TVTO was mechanically</p> <p>15 cut.</p> <p>16 Q. And the TVTO mechanically cut had a</p> <p>17 lower rate of exposure than the TVT-Secur;</p> <p>18 correct?</p> <p>19 MR. CARTMELL: Tell him, if you know.</p> <p>20 A. Again, I do not know. I'd have to</p> <p>21 look at the study.</p> <p>22 Q. BY MR. SNELL: Are there any data in</p> <p>23 women on the TVT used to treat stress incontinence</p> <p>24 which report how many, if any, of those TVT</p> <p>25 mechanically cut slings have a sawing effect?</p>
Page 303	Page 305
<p>1 exposures out of 1,000 TVT mechanically cut</p> <p>2 retropubic device cases, how would you -- would</p> <p>3 you be able to scientifically reliably say which</p> <p>4 of those 21 exposures were due to the mechanical</p> <p>5 cut nature of the mesh? And if so, how did you do</p> <p>6 that?</p> <p>7 A. In a retrospective fashion, you would</p> <p>8 not be able to determine that with precision. You</p> <p>9 could say it's going to be a contributing factor</p> <p>10 in certain numbers. Also contributing could be</p> <p>11 degradation, infection, subclinical infection, all</p> <p>12 those things. In a retrospective fashion, you</p> <p>13 cannot. That's why it has to be done</p> <p>14 prospectively.</p> <p>15 Q. And as you sit here today, you have</p> <p>16 never seen, in any prospective TVT retropubic</p> <p>17 study, any author attribute clinical mesh exposure</p> <p>18 due to a sawing of the mesh; correct?</p> <p>19 A. I'd only have to go off of data on</p> <p>20 TVT-Secur and TVT -- TOT, the Hinoul study, but</p> <p>21 that is not a TVT study. To the best of my</p> <p>22 knowledge, that has not been evaluated. It should</p> <p>23 have been, but it has not been evaluated.</p> <p>24 Q. The TVT-Secur, that was the laser cut</p> <p>25 mesh; correct?</p>	<p>1 A. To the best of my knowledge, in those,</p> <p>2 they did not use that specific terminology. The</p> <p>3 fraying and the sawing is more from internal</p> <p>4 documentation of complaints coming into Ethicon</p> <p>5 and their discussions about it.</p> <p>6 Q. Do any of the clinical studies on TVT</p> <p>7 used to treat stress incontinence report the mesh</p> <p>8 frame and its use in women?</p> <p>9 A. Again, just like the last answer, I am</p> <p>10 unaware of any manuscript that discusses that</p> <p>11 specific terminology. That comes from internal</p> <p>12 documentation and also comes from my experience</p> <p>13 with the TVT, which did the same thing. But I</p> <p>14 didn't write on that either.</p> <p>15 Q. Have you ever seen any scientifically</p> <p>16 reliable studies in women that document the</p> <p>17 incidents at which there is -- withdrawn.</p> <p>18 I just didn't remember the word. You</p> <p>19 used two words, and I wanted to use one of them.</p> <p>20 Have you ever seen any scientifically</p> <p>21 reliable studies in women utilizing the TVT</p> <p>22 retropubic device to treat incontinence that</p> <p>23 states the incidence of fraying of the mesh?</p> <p>24 A. Again, this is -- what I stated</p> <p>25 before. I've not seen that in the literature,</p>

77 (Pages 302 to 305)

Daniel Steven Elliott, M.D.

Page 306	Page 308
<p>1 that specific terminology used. That comes from</p> <p>2 the internal documents and complaints that came</p> <p>3 in.</p> <p>4 Q. Do you know the incidence for which</p> <p>5 fraying of TVT retropubic mesh in the treatment of</p> <p>6 stress incontinence occurs?</p> <p>7 A. We have to go to my report on page 21,</p> <p>8 where I talk about fraying --</p> <p>9 Q. Um-hum.</p> <p>10 A. -- and particle loss, and the sawing</p> <p>11 effect. And the incidence -- okay. It varies --</p> <p>12 as you go through the various sections here in the</p> <p>13 report on that.</p> <p>14 Say on page 22, testing done by</p> <p>15 Ethicon. So that after elongation, 18 percent of</p> <p>16 the weight was lost due to particle loss.</p> <p>17 Pariente says the point -- 8.5 percent of the</p> <p>18 particle loss.</p> <p>19 Q. But my question is specific to</p> <p>20 fraying. So what --</p> <p>21 A. Fraying?</p> <p>22 Q. Yes, sir. What -- I'm sorry. Yes,</p> <p>23 Doctor.</p> <p>24 What's the incidence of fraying that</p> <p>25 occurs? I didn't see that number in your report.</p>	<p>1 obstruction, and then what happened to those</p> <p>2 individuals.</p> <p>3 Q. What types of slings were those?</p> <p>4 A. Those were all types of slings.</p> <p>5 Retropubic, suprapubic, transobturator, and</p> <p>6 vaginal.</p> <p>7 Q. Were there any retropubic TVTs in that</p> <p>8 study?</p> <p>9 A. I'd have to look and see what we</p> <p>10 documented.</p> <p>11 Q. What was the main result of that</p> <p>12 study? What percent of the patients remained</p> <p>13 continent following sling release.</p> <p>14 A. Again, I'd have to look at that study,</p> <p>15 the exact numbers on it.</p> <p>16 Q. Do you have it with you?</p> <p>17 A. Yes, I do. I should. Actually I</p> <p>18 don't have the paper. I would have to guess on</p> <p>19 the numbers. It was a high -- the issue was --</p> <p>20 MR. CARTMELL: Don't guess. If you</p> <p>21 know, you know.</p> <p>22 A. All I'll say is there's a high rate of</p> <p>23 reoperation once we cut the sling over time. That</p> <p>24 was the significant findings.</p> <p>25 Q BY MR. SNELL: What do you mean by</p>
Page 307	Page 309
<p>1 A. I don't think I state a specific</p> <p>2 number in there. However, during the placement of</p> <p>3 it, where, you know, they talk about 50 percent of</p> <p>4 these devices are elongated during the</p> <p>5 implantation with 12 pounds of force, that causes</p> <p>6 the -- to rope, fray, and particle loss. So I</p> <p>7 can't give you an exact percentage. But it is a</p> <p>8 constellation of problems that happen with that.</p> <p>9 Q. Other than your paper on the use of</p> <p>10 the Holmium laser, have you published on treating</p> <p>11 any mesh complications?</p> <p>12 A. Yes.</p> <p>13 Q. Where? What paper would that be? For</p> <p>14 stress urinary incontinence?</p> <p>15 A. Stress urinary incontinence.</p> <p>16 Q. Yes.</p> <p>17 A. I have the copy of my CV, which is an</p> <p>18 exact copy of yours.</p> <p>19 My page 17 of 25, I have the Holmium</p> <p>20 laser complication, as you mentioned. And then</p> <p>21 number 9 on this is Clifton, et al., where I'm the</p> <p>22 senior author, of Repeat Anti-Incontinence</p> <p>23 Procedures Following a Sling Release.</p> <p>24 So that's a study of individuals who</p> <p>25 had obstruction following a sling. We treated the</p>	<p>1 that?</p> <p>2 A. What I mean is the traditional thought</p> <p>3 was, based upon a Webster paper, George Webster</p> <p>4 out of Duke, is that if you cut slings, 85 percent</p> <p>5 of people stayed dry. But the problem is no one</p> <p>6 had followed those individuals long-term. So we</p> <p>7 followed them long-term and found out that over</p> <p>8 time the rate of incontinence increased, requiring</p> <p>9 further treatment. So bottom line, it's not like</p> <p>10 if you obstruct somebody, you treat it, they're</p> <p>11 done. They're great. No, they have problems</p> <p>12 later.</p> <p>13 Q. What was the mean time for your</p> <p>14 surgery to release the sling?</p> <p>15 A. I'd have to look at the paper.</p> <p>16 Q. Was it more than a year or less than a</p> <p>17 year?</p> <p>18 A. I'd have to look at the paper. I</p> <p>19 don't recall and I don't, for some reason, have a</p> <p>20 copy of it here.</p> <p>21 Q. What was the long-term follow-up that</p> <p>22 you say that you all conducted? How long was</p> <p>23 that?</p> <p>24 A. Again, that's what I'm saying. I need</p> <p>25 to see the paper because I can't recall what the</p>

78 (Pages 306 to 309)

Daniel Steven Elliott, M.D.

Page 310	Page 312
<p>1 duration was.</p> <p>2 Q. As you sit here today, do you know</p> <p>3 whether 50 percent or more -- strike that.</p> <p>4 As sit here today, was it more likely</p> <p>5 than not that those papers who had a sling release</p> <p>6 would not require reoperation for incontinence?</p> <p>7 A. I'll get the paper.</p> <p>8 Q. Okay.</p> <p>9 A. Because I can't recall.</p> <p>10 Q. That's fine. I don't think I have it.</p> <p>11 So if you don't remember, that's fine.</p> <p>12 MR. CARTMELL: You don't need to get</p> <p>13 the paper.</p> <p>14 MR. SNELL: It would be good if he got</p> <p>15 the paper. But that's fine. If he doesn't</p> <p>16 remember his own data, that's fine. I'm not</p> <p>17 trying to trick him. I just want to know.</p> <p>18 MR. CARTMELL: I mean, if you don't</p> <p>19 know the answer, then say you don't know, okay.</p> <p>20 A. I don't know the exact number. We</p> <p>21 worked hard on it, and to do it justice, I'd have</p> <p>22 to find the paper.</p> <p>23 Q BY MR. SNELL: Fair enough.</p> <p>24 In your Holmium laser paper, the</p> <p>25 majority of women got better; right?</p>	<p>1 off the record while he reviews it.</p> <p>2 MR. SNELL: It's his own paper. So</p> <p>3 you're going to waste my -- you're going to burn</p> <p>4 my time with him looking at his own paper?</p> <p>5 MR. CARTMELL: You wanted him to look</p> <p>6 at it. This is your time, period.</p> <p>7 Q. BY MR. SNELL: Okay. Doctor, could</p> <p>8 you quickly look at your own paper that you wrote?</p> <p>9 A. 14 percent of patients after a sling</p> <p>10 release ultimately went on to a repeat operation.</p> <p>11 That's what we had in our data.</p> <p>12 Q. All right. So that means 86 percent</p> <p>13 of those patients did not go on to a repeat sling</p> <p>14 operation?</p> <p>15 A. Yes. But some of those elected not to</p> <p>16 because they were scared from previous surgeries.</p> <p>17 Q. What percentage of the patients</p> <p>18 elected not to?</p> <p>19 A. I'd have to look at the study. I</p> <p>20 don't have that. So I mean, that's -- again, I'd</p> <p>21 have to look at the study.</p> <p>22 Q. Fair enough.</p> <p>23 When you do your autologous fascial</p> <p>24 slings, and the transobturator autologous slings,</p> <p>25 how do you tension those slings?</p>
Page 311	Page 313
<p>1 A. At this point. But we are still</p> <p>2 continuing to follow those, and that's what was</p> <p>3 raised in the SUFU lecture when I talked about</p> <p>4 this. We don't know what's going to happen to</p> <p>5 these people long-term.</p> <p>6 Q. Here, I have your paper. We have it</p> <p>7 here. Clifton, you said?</p> <p>8 A. Clifton.</p> <p>9 Q. This says median follow-up after</p> <p>10 release was 32 months. Of the 93 patients,</p> <p>11 14 percent required repeat anti-incontinence</p> <p>12 procedure after sling realize.</p> <p>13 A. Okay. All right.</p> <p>14 Q. That's your paper; right?</p> <p>15 A. I can't see the top of it. I'll</p> <p>16 assume you're telling me the truth, though.</p> <p>17 That's it. Yes.</p> <p>18 Q. All right. So actually, your data</p> <p>19 were consistent with other data in the literature,</p> <p>20 because 86 percent of your patients didn't require</p> <p>21 repeat anti-incontinence procedure; right?</p> <p>22 A. I'll have to see the paper.</p> <p>23 MR. SNELL: We can go off the record</p> <p>24 while he reviews that.</p> <p>25 MR. CARTMELL: No. We're not going</p>	<p>1 A. How do I tension them? I -- well, you</p> <p>2 said two different things. Pubovaginal or</p> <p>3 autologous transobturator. Which one?</p> <p>4 Q. Either one. Or if there's a</p> <p>5 difference, just tell me there's a difference.</p> <p>6 A. Well, there's a difference between the</p> <p>7 two.</p> <p>8 Q. Fair enough. How do you tension</p> <p>9 autologous fascial slings?</p> <p>10 A. Well, again, there's two different</p> <p>11 types. Pubovaginal or transobturator?</p> <p>12 Q. Pubovaginal?</p> <p>13 A. Pubovaginal, there's three steps to do</p> <p>14 this. Place a cystoscope in the urethra, deflect</p> <p>15 it 15 degrees. Up top in the abdomen, you tie</p> <p>16 initial knot that you can fit two finger breadths</p> <p>17 in it. Secure it with a clamp. Tie multiple</p> <p>18 knots. In doing that, you're fairly reproducible</p> <p>19 as far as the tension goes.</p> <p>20 Q. Some surgeons use one finger breadth;</p> <p>21 correct?</p> <p>22 A. It's -- you can -- yeah. Well, I</p> <p>23 can't speak to that. I do two finger breadths and</p> <p>24 it works.</p> <p>25 Q. Is that because that's how you were</p>

79 (Pages 310 to 313)

Daniel Steven Elliott, M.D.

Page 314	Page 316
<p>1 taught to do that procedure?</p> <p>2 A. Yeah, but I'm going to modify it.</p> <p>3 That's originally how -- oh, I was taught the</p> <p>4 leave a gap. The key is you leave it loose.</p> <p>5 Q. Okay.</p> <p>6 A. And so if you use one finger breadth</p> <p>7 or two finger breadths might not make all that</p> <p>8 difference because it's the distance from the</p> <p>9 fascia to your knot, not necessarily the width.</p> <p>10 So one finger breadth and two finger breadths is</p> <p>11 actually going to be the same.</p> <p>12 Q. You don't really use any objective</p> <p>13 measurement to assess tension; correct?</p> <p>14 A. That is an objective. 15 degrees and</p> <p>15 one finger breadth. So I have objective,</p> <p>16 reproducible data. And I have never had, in my</p> <p>17 pubovaginal slings, a patient go into retention</p> <p>18 that was not a purposeful retention.</p> <p>19 Q. You don't use any type of gauge to</p> <p>20 assess tension on the sutures; correct?</p> <p>21 A. That does not exist for the</p> <p>22 pubovaginal slings.</p> <p>23 Q. All right. And is there any</p> <p>24 literature that reports on the effect, if any, of</p> <p>25 using one, two, or three suture finger breadths of</p>	<p>1 reproducible in my hands.</p> <p>2 Q. Right. But you don't do all the sling</p> <p>3 surgeries in this country. So I'm more interested</p> <p>4 in out in the masses in the United States.</p> <p>5 There is a fairly high rate of urinary</p> <p>6 retention following the autologous pubovaginal</p> <p>7 sling; right?</p> <p>8 MR. CARTMELL: Object and move to</p> <p>9 strike the statement of counsel. Object to the</p> <p>10 form as well.</p> <p>11 MR. SNELL: I'll withdraw the</p> <p>12 statement.</p> <p>13 Q BY MR. SNELL: Let me just -- looking</p> <p>14 broadly, nationally, okay, across the data, there</p> <p>15 is a fairly high rate of urinary retention</p> <p>16 following autologous pubovaginal slings; correct?</p> <p>17 MR. CARTMELL: Object to the form.</p> <p>18 A. I can't agree with that. You say</p> <p>19 fairly high. I don't know that. I've not seen</p> <p>20 that data.</p> <p>21 Q BY MR. SNELL: You've seen reports in</p> <p>22 the data of rates of retention higher than</p> <p>23 20 percent following autologous pubovaginal sling?</p> <p>24 A. It depends on how you're describing</p> <p>25 retention. If you're talking immediately</p>
Page 315	Page 317
<p>1 detensioning for the autologous pubovaginal sling</p> <p>2 as opposed to some other method of tensioning?</p> <p>3 A. No, there's nothing in the literature</p> <p>4 like that. The teaching is to leave it loose.</p> <p>5 Q. And realizing you don't really do the</p> <p>6 Burch. Do you even remember how you were taught</p> <p>7 to tension or detension a Burch?</p> <p>8 A. No, I don't remember that.</p> <p>9 Q. What is wrong with the tensioning of</p> <p>10 the TVT retropubic device, if anything, in your</p> <p>11 opinion?</p> <p>12 A. It's not reproducible. The</p> <p>13 pubovaginal sling, I can tell somebody exactly</p> <p>14 like I told you. Cystoscope in, deflect it</p> <p>15 15 degrees, two finger breadths up, tie it loose,</p> <p>16 and you won't have retention.</p> <p>17 TVT, it says tension free, but then</p> <p>18 there's tension. And so it's not reproducible. I</p> <p>19 can't tell you how to tension it correctly. I can</p> <p>20 tell you the pubovaginal sling.</p> <p>21 Q. Well, with the pubovaginal sling,</p> <p>22 there is a fair number of patients who have</p> <p>23 urinary retention after that procedure; right?</p> <p>24 A. I can't speak to those. I can speak</p> <p>25 to my own experience. Like I say, it's</p>	<p>1 postoperatively, yes, that is very commonly.</p> <p>2 That's why a suprapubic tube or intermittent</p> <p>3 catheterization is not uncommonly required.</p> <p>4 Permanent retention after a month or six weeks,</p> <p>5 that's debatable, the duration, should be very</p> <p>6 low. In experienced people's hands, it's</p> <p>7 essentially zero. Again, my hands zero.</p> <p>8 Q. You've read the sister study by the --</p> <p>9 that was funded by the NIH that compared the</p> <p>10 autologous pubovaginal fascial sling to the Burch</p> <p>11 colposuspension, and they found statistically</p> <p>12 significant higher rates of not only voiding</p> <p>13 dysfunction and retention but retention requiring</p> <p>14 reoperation in the autologous sling arms; correct?</p> <p>15 A. That's been a long time since I've</p> <p>16 read it. I have to look at that paper. That was</p> <p>17 a good paper, but it's been a long time since I've</p> <p>18 seen it.</p> <p>19 MR. CARTMELL: I don't mean to</p> <p>20 interrupt, but I'd like to check the time, please.</p> <p>21 THE REPORTER: 7 hours and 13 minutes.</p> <p>22 MR. CARTMELL: Okay. You're done. If</p> <p>23 you want to go -- I may have a few questions. But</p> <p>24 if -- if -- we can go off the record if you want</p> <p>25 and talk about what you and Ben agreed to. It's</p>

80 (Pages 314 to 317)

Daniel Steven Elliott, M.D.

Page 318	Page 320
<p>1 just nobody told me that, and I really need to be 2 somewhere. 3 But let's go off the record right now. 4 MR. SNELL: Well, no. This needs to 5 be put on the record, and I have emails 6 documenting this, where Ben said, Burt, the MDL 7 design defect dep and New Jersey general TVT dep 8 have to done in one sitting on one day; you got to 9 do it today. And I said, okay, Ben, I will. And 10 then he and Judy Walberger, are doing the case 11 specific Watkins deposition next weekend. So that 12 was the agreement. 13 And I emailed Ben, fine, I'll do that. 14 No problem. I'll start the New Jersey general TVT 15 dep after this deposition, okay. And nobody ever 16 said that that wasn't going to occur. And I came 17 here with that expectation. And I wouldn't lie to 18 you. I mean, you've seen the email. Were you on 19 the email? It's in the email. 20 MR. CARTMELL: You don't have to 21 answer that. 22 MR. SNELL: You don't have to answer. 23 You're not under oath. 24 But with that said, what do you want 25 to do? I understand you have to do something with</p>	<p>1 idea. 2 MR. SNELL: Okay. Yeah, I mean, that 3 wasn't my idea, okay. One. 4 Two, I understand. I know -- you 5 know, look, I have a family, too, and I sympathize 6 for you. 7 But, three, I came here with that 8 intention and am ready to go. 9 And four, in New Jersey, my experts 10 have been deposed for pretty much more than 11 12 hours in a sitting. 12 (Recessed from 5:33 p.m. to 13 5:42 p.m.) 14 MR. SNELL: So I will pass the witness 15 in the MDL design defect case, and I reserve the 16 right to do the New Jersey TVT general deposition, 17 as I told Ben. 18 And I'm looking at my email that I 19 sent to him, where I said, "That's fine. I will 20 do my MDL design defect deposition first. And 21 after that we will do the New Jersey general TVT 22 deposition for anything that was not already 23 addressed." 24 I'll stand by that statement I sent to 25 Ben. I will not be duplicative. I really only</p>
Page 319	Page 321
<p>1 your family. 2 MR. CARTMELL: We've been here nine 3 hours, and I don't want to put him through -- if 4 you told me you had 30 minutes or an hour, then 5 maybe, but I mean -- 6 MR. ROSENBLATT: Did they agree to 7 extend any deadline? Will that work? 8 MR. CARTMELL: What's the deadline in 9 New Jersey we're talking about? 10 MR. SNELL: I don't know. I think 11 it's October 5th or something. 12 MR. ROSENBLATT: I don't know. 13 MR. CARTMELL: Let me make a call, 14 okay. 15 MR. SNELL: Yeah. 16 MR. CARTMELL: I mean, I don't want to 17 get anybody in trouble and all that, and I get the 18 idea of having -- you know, doing them all at 19 once. But I'm telling you, I knew nothing about 20 this. And I think the idea of making a 21 deposition -- you know, he's been here 9 hours. 22 We've been on the record over 7 hours. That's 23 hard. I don't know that I want him to continue 24 this. 25 MR. ROSENBLATT: It wasn't Burt's</p>	<p>1 have the warning stuff from my quick review of his 2 report left over. So I am not foregoing my right 3 to do that portion. And I will make a statement 4 on the record that New Jersey, the deposition of 5 an expert is not limited to 7 hours. My experts 6 have been deposed in cases in New Jersey for well 7 over 10 hours. But so that's my position. And 8 I -- go ahead, Tom. 9 MR. CARTMELL: Okay. Just so it's 10 clear. We took a break. I called Ben. He told 11 me that the correspondence back and forth was -- 12 or our position, I guess, that he stated was you 13 needed to do both the New Jersey and the MDL 14 deposition today, meaning in 7 hours, because 15 there's a 7-hour requirement from the -- I'm just 16 telling you what he said, from the MDL. And that 17 the reports are the same. The general causation 18 reports. 19 You just pointed out to me that in 20 New Jersey there are failure-to-warn opinions that 21 you have not yet been able to question the witness 22 on. And I do agree with that. You have not done 23 that. 24 You've said you wanted to continue the 25 deposition for that. I had not been told -- and</p>

Daniel Steven Elliott, M.D.

Page 322	Page 324
<p>1 we've been here for 9 hours. I had not been told</p> <p>2 that that was going to happen today. I actually</p> <p>3 have a prior commitment that I really need to go</p> <p>4 to, and I believe the doctor is tired as well.</p> <p>5 So I've agreed, and I think you have,</p> <p>6 too, that we would go ahead and allow you that</p> <p>7 time for the warnings opinions that you have and</p> <p>8 set it up at an additional time.</p> <p>9 MR. SNELL: And at a mutually</p> <p>10 convenient date between doctor, myself, and</p> <p>11 whoever will defend.</p> <p>12 MR. CARTMELL: That's right.</p> <p>13 MR. SNELL: And I will just state for</p> <p>14 the record, too, Ben Anderson never told me he</p> <p>15 expected me to do both in 7 hours, nor does he</p> <p>16 have a basis under the New Jersey Rules of</p> <p>17 Procedure to make such a statement. I have my</p> <p>18 email that I sent to him, and there was no reply</p> <p>19 saying, no, Burt, you're wrong.</p> <p>20 MR. CARTMELL: Okay.</p> <p>21 MR. SNELL: But we have an agreement,</p> <p>22 and I'm passing the witness. Let's get this</p> <p>23 design defect deposition in the books.</p> <p>24 MR. CARTMELL: Okay.</p> <p>25 MR. SNELL: That way you can go do</p>	<p>1 A. That based upon the medical</p> <p>2 literature, Klosterhalfen, Klinge, as stated in my</p> <p>3 report, lightweight large pore meshes have lower</p> <p>4 complication rates, and that is also including the</p> <p>5 internal Ethicon documents that state</p> <p>6 acknowledgment of that fact.</p> <p>7 Q. You mentioned, when you were</p> <p>8 questioned by Mr. Snell, that the TVT, I believe</p> <p>9 you said during the first six weeks, may result in</p> <p>10 more pain.</p> <p>11 Do you recall that?</p> <p>12 MR. SNELL: Objection. Misstates.</p> <p>13 A. I don't believe I said that. That the</p> <p>14 TVT may result in more pain? No, I didn't --</p> <p>15 Q BY MR. CARTMELL: You didn't say that?</p> <p>16 A. I didn't say that.</p> <p>17 Q. I think you were talking about</p> <p>18 perioperative pain when comparing the TVT to maybe</p> <p>19 pubovaginal slings or the Burch.</p> <p>20 A. Correct.</p> <p>21 Q. Okay. When you were talking about</p> <p>22 pain during that perioperative period or during</p> <p>23 the first six weeks, what type of pain were you</p> <p>24 talking about?</p> <p>25 A. I'm talking about incisional pain,</p>
Page 323	Page 325
<p>1 your thing.</p> <p>2 MR. CARTMELL: Doctor, I just have a</p> <p>3 few follow-up questions.</p> <p>4 You recall that you were asked</p> <p>5 previously about --</p> <p>6 MR. SNELL: Can you give me one</p> <p>7 second, Tom. I'm essentially sorry to interrupt</p> <p>8 you. I just have to get something to write with.</p> <p>9 Very, very sorry. Go ahead. I'll shut up.</p> <p>10 EXAMINATION</p> <p>11 BY MR. CARTMELL:</p> <p>12 Q. Do you recall being asked questions by</p> <p>13 Mr. Snell about large pore lightweight mesh?</p> <p>14 A. Yes.</p> <p>15 Q. And do you have an opinion within a</p> <p>16 reasonable degree of medical certainty that</p> <p>17 lightweight large pore mesh would lead to less</p> <p>18 complications in the TVT or in a mid-urethral</p> <p>19 sling than the TVT heavy weight small pore mesh?</p> <p>20 A. Yes.</p> <p>21 MR. SNELL: Objection. Leading. Go</p> <p>22 ahead.</p> <p>23 A. Yes.</p> <p>24 Q BY MR. CARTMELL: And what is your</p> <p>25 opinion?</p>	<p>1 pain in the suprapubic region, where the tissue</p> <p>2 may have been harvested. I'm not talking about</p> <p>3 vaginal discomfort. That would be equal. We're</p> <p>4 just giving the harvest area.</p> <p>5 Q. Are you talking about dyspareunia?</p> <p>6 A. No. I'm talking specifically</p> <p>7 perioperative incisional pain.</p> <p>8 Q. Do you have an opinion within a</p> <p>9 reasonable degree of medical certainty whether or</p> <p>10 not TVT, when compared to pubovaginal slings or</p> <p>11 Burch slings, causes more dyspareunia or vaginal</p> <p>12 pain on a long-term basis?</p> <p>13 MR. SNELL: Objection. Beyond the</p> <p>14 scope. Non-disclosed opinion in the report.</p> <p>15 Go ahead.</p> <p>16 A. Based upon my clinical experience, my</p> <p>17 discussion with colleagues, review of the</p> <p>18 literature, and what is outlined in my expert</p> <p>19 report, TVT, in the long-term, causes increased</p> <p>20 risk for dyspareunia and the severity of that</p> <p>21 dyspareunia.</p> <p>22 Q BY MR. CARTMELL: What about with</p> <p>23 vaginal pain?</p> <p>24 A. Vaginal pain would be the --</p> <p>25 MR. SNELL: Same objection. Go ahead.</p>

82 (Pages 322 to 325)

Daniel Steven Elliott, M.D.

Page 326	Page 328
<p>1 Doctor. I'm sorry.</p> <p>2 A. They would be the same. Vaginal pain</p> <p>3 implies a constant vaginal pain. Dyspareunia is</p> <p>4 just during sexual activity. And, yes, in my</p> <p>5 experience, I do not see pubovaginals and Burchs</p> <p>6 come in with that type of pain. On a daily basis,</p> <p>7 I see the TVT that way.</p> <p>8 MR. CARTMELL: Okay. That's all I</p> <p>9 have.</p> <p>10 MR. SNELL: A couple of quick</p> <p>11 questions in follow-up.</p> <p>12 EXAMINATION</p> <p>13 BY MR. SNELL:</p> <p>14 Q. Cobb, Klosterhalfen and Klinge, none</p> <p>15 of those are pelvic surgeons; correct?</p> <p>16 A. Clave, I don't know what he is. The</p> <p>17 first two, Klinge and Klosterhalfen are</p> <p>18 pathologists, I believe.</p> <p>19 Q. Cobb is not --</p> <p>20 A. Cobb is not. And I don't know if I</p> <p>21 mentioned it. I mentioned -- Clave should be on</p> <p>22 there, and I believe he is a pelvic surgeon, but I</p> <p>23 don't know his specific credentials.</p> <p>24 Q. But Cobb, Klosterhalfen, Klinge, none</p> <p>25 of them published on the TVT device assessed in</p>	<p>1 pain from either of those aforementioned</p> <p>2 procedures. But I see it commonly, weekly with</p> <p>3 the meshes, including the TVT.</p> <p>4 Q. You can't point to any comparative</p> <p>5 trials that show a statistically significantly</p> <p>6 higher rate of dyspareunia for the TVT retropubic</p> <p>7 device compared to either the Burch or the</p> <p>8 pubovaginal sling; correct?</p> <p>9 A. Those studies, as you've mentioned,</p> <p>10 have not been done.</p> <p>11 Q. And actually, the one paper you</p> <p>12 pointed me to earlier about the Burch had the</p> <p>13 4 percent rate of dyspareunia with that procedure</p> <p>14 long-term; correct?</p> <p>15 A. It wasn't 4 percent. It was</p> <p>16 3.9 percent.</p> <p>17 Q. So -- okay. If you round up, it's</p> <p>18 4 percent; correct?</p> <p>19 A. I don't round up, though.</p> <p>20 Q. Okay. And you can't point to any</p> <p>21 studies on TVT that show a rate higher than</p> <p>22 3.9 percent at that length of follow-up for</p> <p>23 dyspareunia; can you?</p> <p>24 MR. CARTMELL: Object to the form.</p> <p>25 A. Because that study has not been done.</p>
Page 327	Page 329
<p>1 women; correct?</p> <p>2 A. That is correct, yes.</p> <p>3 Q. Just so we're clear on the record, the</p> <p>4 increased perioperative incisional pain that you</p> <p>5 just talked to Mr. Cartmell about, that actually</p> <p>6 occurs in the autologous pubovaginal arm; is that</p> <p>7 correct?</p> <p>8 A. That is correct. It would be fair to</p> <p>9 say that, in my experience, the immediate</p> <p>10 perioperative period, you will have an increased</p> <p>11 incisional pain that is still treated with</p> <p>12 medications and tolerable, but it will be more</p> <p>13 than the TVT.</p> <p>14 Q. Now, I believe you said that you</p> <p>15 believe that the long-term dyspareunia rates with</p> <p>16 the TVT were higher than pubovaginal, did you say,</p> <p>17 and the Burch?</p> <p>18 A. I don't recall if I mentioned the</p> <p>19 Burch in there.</p> <p>20 What I mentioned was the pubovaginal</p> <p>21 and the Burch have traditionally been a very</p> <p>22 common procedure done up until the mid-'90s and</p> <p>23 into probably early 2000's.</p> <p>24 And in my practice, I have never seen</p> <p>25 a woman come in with severe pain, life altering</p>	<p>1 As I mentioned, no studies focused specifically on</p> <p>2 output -- end point of dyspareunia have been done.</p> <p>3 Q BY MR. CARTMELL: So the answer to my</p> <p>4 question is, yes, you can't point to that study;</p> <p>5 correct?</p> <p>6 MR. CARTMELL: Object to the form.</p> <p>7 Asked and answered.</p> <p>8 A. That's what I mentioned. Those</p> <p>9 studies with that specific end point have not been</p> <p>10 done.</p> <p>11 Q BY MR. CARTMELL: Except you know that</p> <p>12 there's a 10-year TVT retropubic study, lead</p> <p>13 author Heinonen, that reports zero cases of</p> <p>14 dyspareunia at 10 years follow-up.</p> <p>15 Did you know that?</p> <p>16 A. You would have to show me that study.</p> <p>17 Q. Do you know that study?</p> <p>18 A. I'm saying, you'd have to show me that</p> <p>19 study. I've read a lot of studies. I can't</p> <p>20 recall that one specifically. So I'd have to look</p> <p>21 at that.</p> <p>22 Q. So you very well may be wrong when you</p> <p>23 make statements like there's no long-term studies</p> <p>24 that look at TVT and dyspareunia?</p> <p>25 MR. CARTMELL: Object to the form.</p>

83 (Pages 326 to 329)

Daniel Steven Elliott, M.D.

Page 330	Page 332
<p>1 Q. BY MR. SNELL: Correct?</p> <p>2 A. Also certain studies I've looked at, I</p> <p>3 disregard --</p> <p>4 Q. Can you say yes or no?</p> <p>5 MR. CARTMELL: Let him answer the</p> <p>6 question?</p> <p>7 A. That's not a yes or no. It's more</p> <p>8 complicated than that. I review a lot of studies.</p> <p>9 Some of them get disregarded because they're so</p> <p>10 poor quality that they're not worth quoting. So</p> <p>11 that particular study I'd like to see and we can</p> <p>12 dissect that one out.</p> <p>13 Q. And if I'm correct --</p> <p>14 MR. CARTMELL: You said a couple. So</p> <p>15 you went over 7 hours. And I'm here for the MDL</p> <p>16 portion.</p> <p>17 MR. SNELL: I didn't go over 7 hours.</p> <p>18 MR. CARTMELL: You went 7 hours and 13</p> <p>19 minutes.</p> <p>20 MR. SNELL: No, no. That was 6 hours;</p> <p>21 wasn't it?</p> <p>22 MR. CARTMELL: No. It was 7 hours and</p> <p>23 13 minutes. I let you ask a few. We done.</p> <p>24 MR. SNELL: Okay.</p> <p>25 MR. CARTMELL: And you could have</p>	<p>1 compared to the mid-urethral sling; correct?</p> <p>2 A. I'd have to look at that. That's a</p> <p>3 799-page document. I'd have to see that.</p> <p>4 Q. As you sit here today, you can't</p> <p>5 answer my question?</p> <p>6 A. Oh, I can answer. Let's pull out the</p> <p>7 document, take a look at it.</p> <p>8 Q BY MR. SNELL: Do you want to do that?</p> <p>9 MR. CARTMELL: I mean, I'm not giving</p> <p>10 you any more time. So you don't have the time to</p> <p>11 do that. This whole day you've been asking him</p> <p>12 questions about things and you've been making</p> <p>13 statements from those documents without showing</p> <p>14 them to him.</p> <p>15 MR. SNELL: No, no. He's got these</p> <p>16 documents.</p> <p>17 MR. CARTMELL: No, no.</p> <p>18 MR. SNELL: I wouldn't misrepresent.</p> <p>19 MR. CARTMELL: All day long.</p> <p>20 MR. SNELL: Do you want me to show him</p> <p>21 the numbers? You know the numbers. I used them</p> <p>22 with Dr. Rosenswath.</p> <p>23 MR. CARTMELL: No. I want to be done.</p> <p>24 You're over your 7 hours. So let's go.</p> <p>25 Q BY MR. SNELL: As you sit here,</p>
Page 331	Page 333
<p>1 saved your time.</p> <p>2 MR. SNELL: Well, I have two more</p> <p>3 considering you've asked him to comment and say</p> <p>4 rates are higher. That's not even in his expert</p> <p>5 report, okay. He doesn't put in his expert report</p> <p>6 what the rates are for Burch, for the pubovaginal,</p> <p>7 or the TVT.</p> <p>8 MR. CARTMELL: I didn't ask him what</p> <p>9 the rates were.</p> <p>10 MR. SNELL: Yes, you did.</p> <p>11 MR. CARTMELL: No, I didn't. I</p> <p>12 said --</p> <p>13 MR. SNELL: You said higher.</p> <p>14 MR. CARTMELL: -- the claim is it's</p> <p>15 higher, and it says that in his expert report.</p> <p>16 MR. SNELL: No, it doesn't.</p> <p>17 MR. CARTMELL: Yes, it does.</p> <p>18 MR. SNELL: It can't be higher. He</p> <p>19 doesn't even have the rates.</p> <p>20 Q BY MR. SNELL: How about this? You've</p> <p>21 seen the AUA guideline from 2012 and the SGS</p> <p>22 systematic meta-analysis and review, and in both</p> <p>23 of those systematic reviews, they report higher</p> <p>24 rates of dyspareunia, pain, and sexual dysfunction</p> <p>25 with the autologous sling and the Burch as</p>	<p>1 Doctor, can you answer my question without me</p> <p>2 showing you those papers?</p> <p>3 A. I want to see those papers.</p> <p>4 MR. CARTMELL: No.</p> <p>5 MR. SNELL: Fair enough.</p> <p>6 MR. CARTMELL: The question was: Can</p> <p>7 you answer it without seeing the papers. If you</p> <p>8 can't answer it without seeing it, just say no.</p> <p>9 A. I cannot answer it without it. It's a</p> <p>10 799-page document. I would need to see those</p> <p>11 papers.</p> <p>12 MR. SNELL: Fair enough.</p> <p>13 MR. CARTMELL: Go ahead. Thank you</p> <p>14 very much.</p> <p>15 (Deposition concluded at 5:54 p.m.)</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>

84 (Pages 330 to 333)

Daniel Steven Elliott, M.D.

Page 334	Page 336
1 REPORTER'S CERTIFICATE	1 -----
2	2 E R R A T A
3 I, NAOLA C. VAUGHN, a Certified Court	3 -----
4 Reporter within and for the States of Missouri and	3 PAGE LINE CHANGE
5 Kansas, hereby certify that the within-named witness	4 _____
6 was first duly sworn by me to testify to the truth;	5 REASON: _____
7 and that the deposition by said witness was given in	6 _____
8 response to the questions propounded, as herein set	7 REASON: _____
9 forth; was first taken in machine shorthand by me	8 _____
10 and afterwards reduced to writing under my direction	9 REASON: _____
11 and supervision; and is a true and correct record of	10 _____
12 the testimony given by the witness.	11 REASON: _____
13 I further certify that I am not a relative	12 _____
14 or employee or attorney or counsel of any of the	13 REASON: _____
15 parties, or a relative or employee of such attorneys	14 _____
16 or counsel, or financially interested in the action.	15 REASON: _____
17 WITNESS my hand and official seal at	16 _____
18 Tonganoxie, Kansas, this 29th day of September 2015.	17 REASON: _____
19	18 _____
20	19 REASON: _____
21	20 _____
22 NAOLA C. VAUGHN, CCR, CRR, RPR	21 REASON: _____
23 Missouri CCR No. 1052	22 _____
24 Kansas CCR No. 0895	23 REASON: _____
25	24 _____
	25 REASON: _____
Page 335	Page 337
1 INSTRUCTIONS TO WITNESS	1 ACKNOWLEDGMENT OF DEPONENT
2	2
3 Please read your deposition	3 I, _____, do
4 over carefully and make any necessary	4 hereby certify that I have read the
5 corrections. You should state the reason	5 foregoing pages, and that the same
6 in the appropriate space on the errata	6 is a correct transcription of the answers
7 sheet for any corrections that are made.	7 given by me to the questions therein
8 After doing so, please sign	8 propounded, except for the corrections or
9 the errata sheet and date it. It will be	9 changes in form or substance, if any,
10 attached to your deposition.	10 noted in the attached Errata Sheet.
11 It is imperative that you	11
12 return the original errata sheet to the	12
13 deposing attorney within thirty (30) days	13
14 of receipt of the deposition transcript	14
15 by you. If you fail to do so, the	15 Subscribed and sworn
16 deposition transcript may be deemed to be	16 to before me this
17 accurate and may be used in court.	17 _____ day of _____, 20____.
18	18 My commission expires: _____
19	19
20	20 Notary Public
21	21
22	22
23	23
24	24
25	25

85 (Pages 334 to 337)

Daniel Steven Elliott, M.D.

Page 338	
1	LAWYER'S NOTES
2	PAGE LINE
3	_____
4	_____
5	_____
6	_____
7	_____
8	_____
9	_____
10	_____
11	_____
12	_____
13	_____
14	_____
15	_____
16	_____
17	_____
18	_____
19	_____
20	_____
21	_____
22	_____
23	_____
24	_____
25	_____

Daniel Steven Elliott, M.D.

Page 339

A	accepted 141:7 199:3	adequate 70:23 166:6	148:4,9,12,19 157:12,23	2:4,4,5,5,6,7,8 2:10,11,11,12
abbreviated 55:6 169:2	access 138:4	admission 135:2	158:15 159:4,6	2:12,13,14,14
abdomen 85:14	accomplished 248:14	admit 102:24	159:9,11,23	2:15,15,16,17
87:9 132:20	accounting 292:3	admitted 65:7 159:19 196:24	161:12,15,22	2:18,18,19,19
166:18,22	accruing 96:10 98:23	259:3	163:12 164:6,8	2:20,21,21,22
313:15	accurate 14:9 20:20 32:6	advancement 185:5	165:1 170:17	2:22,23,24
abdominal 193:19	36:20 106:4	advantage 119:5 119:8,12,13,18	174:14 175:18	54:11 55:16
able 32:5 54:22 66:8 85:13	116:18,19	121:23 122:2	176:2,20	64:1 65:2
106:11 162:20	117:11,16,19	123:12 124:13	183:11,20	66:14 89:13
162:21 165:19	117:21 170:4	124:16	184:7,14,19	109:5 112:5
203:12 246:23	174:6 292:18	advantages 120:23 121:8	185:2 203:17	116:22 119:1
303:3,8 321:21	292:19 335:17	135:1 160:13	215:19,20,22	122:5 123:16
abscess 31:14,17 31:18 195:23	acid 248:18 253:24	adverse 108:2 110:3 127:16	217:14,15	125:22 128:18
abscesses 44:25 45:3	acknowledge 111:8,11	advised 108:2 110:3 127:16	218:12 240:20	166:4 177:3
absolutely 45:1 74:10 148:16	219:13 220:19	aforementioned 328:1	274:9,20,23	178:10 192:1
165:14,17	acknowledged 136:17 223:20	africa 135:12	280:4 283:3	192:24 198:12
199:6 201:20	acknowledgm... 324:6 337:1	agents 158:8	298:9 316:18	200:13 201:9
225:25 238:13	acog 156:15	aging 247:5	319:6 321:22	237:25 247:20
absorb 294:10	acta 88:19,19	ago 18:7 74:23 129:14 185:13	agreed 91:19 181:16 279:24	260:14 293:8
absorbable 288:2 289:14	acted 297:25	agree 53:9 56:12 56:17,22 57:12	280:17 295:12	307:21
absorbed 73:13 74:1 294:18	action 18:15 334:16	63:11,17,21	317:25 322:5	algorithm 153:4 153:18
absorbs 289:16	activated 163:16	89:24 90:6,12	agreeing 144:6 224:1 296:16	alloplastic 213:17
abstract 21:21 55:22 89:15	activities 135:3	90:17,22 91:2	agreement 3:3 279:4,6 281:21	allow 322:6
206:7 250:16	activity 326:4	93:5 94:6	281:24 318:12	altering 327:25
252:4,8,10	actual 127:18 223:2	96:13,18,23	322:21	alternative 118:21 135:20
253:7 286:25	acute 206:19	98:23 99:21	agrees 117:19 162:24	183:23 218:23
288:20,20,22	ad 158:24	105:6 106:23	ahead 134:2 167:19,21	alternatives 118:10 158:13
abstracts 41:23	adaptor 43:18	107:2 110:11	276:20 282:10	158:14 298:20
accentuate 288:3	added 50:24	117:21 118:7	285:20 321:8	ama 11:21
accept 273:1	addition 87:24	119:3 121:25	322:6 323:9,22	amaro 119:1 122:5 123:16
acceptable 12:21 45:15,17	additional 288:7 322:8	122:12,17	325:15,25	amassed 160:7
68:3,13 122:23	address 221:17	123:12 124:4	333:13	ambiguous 101:19 107:7
203:10,18,19	addressed 270:25 320:23	127:11 135:18	ai 29:2	107:19 121:11
acceptably 126:17		136:5 138:20	aint 279:2	124:19 182:2
		140:22 142:24	al 1:7,8,8,9,10	261:22
		143:14,16	1:10,11,11,12	amended 5:8
		144:4,8 147:22	1:12,13,14,14	america 135:12 135:13
			1:15,16,16,17	american 6:3
			1:18,19,19,20	
			1:21,21 2:2,3,3	

Daniel Steven Elliott, M.D.

Page 340

7:13 11:21,22 11:23 116:3 145:15,19 146:4 amid 161:23 162:2,4,11,15 163:1,5 217:9 217:16 274:22 amount 30:8 53:20 82:12 139:22 184:11 246:18 297:15 amounts 101:3,3 ams 27:19 29:16 29:18 30:2,11 31:9 32:1 34:5 34:18 35:20 39:5,12,17 40:8,14 41:6 41:16,22 43:16 45:16 48:5 49:1 145:19 146:3 ams800 145:15 analgesia 121:3 analgesic 44:11 analyses 61:24 243:19 248:21 analysis 7:15,25 54:23 61:2 79:17 80:7 82:14 98:17 113:18 185:24 191:2 200:1 242:21 analysts 54:23 analyze 25:1 62:13 102:7 187:19 198:10 229:11 243:7 247:2 analyzed 136:11 136:12 142:6,9 142:11 202:15 204:2 analyzes 62:22	analyzing 54:21 61:10 anatomical 26:18 83:20 94:16 95:16,17 95:25 anderson 17:23 322:14 anesthesia 120:2 120:10,14 anesthetic 120:24 121:9 anger 200:13 angle 232:17 248:24 animal 174:2 284:24 289:25 animals 298:6 answer 20:1 30:5 35:17 39:15 40:4 41:10 44:20 48:9 49:22 50:8 52:13 57:16 63:1 68:17 76:10,13 82:18 83:3,19 86:19 87:9 91:13 92:12,14 93:22 103:23 104:3 107:12 112:13,21 113:15,24 114:3,8 163:23 164:1 166:16 167:13,14,18 176:12 198:11 202:10 203:12 217:5 219:16 223:6 224:25 228:12 232:20 232:22 233:2 237:6 270:14 271:17 302:17 305:9 310:19 318:21,22	329:3 330:5 332:5,6 333:1 333:7,8,9 answered 47:22 71:15,15 82:24 86:9,17 90:15 90:24 91:13,18 92:5 93:11 94:4 95:14 114:9,10 117:23 133:21 144:1,2 176:10 179:24 198:1,2 198:4,22 208:13,14 271:20,20 329:7 answering 163:25 236:8 answers 15:25 39:25 337:4 anterior 151:7 169:24 170:9 antiincontine... 307:22 311:11 311:21 antimesh 259:22 anybody 58:10 112:6 203:9 210:16 276:8 319:17 anymore 58:12 163:2 296:24 300:24,24 anytime 15:14 apologize 99:20 apostle 219:11 apparently 40:2 appear 189:7 appearance 48:13 49:12 appeared 94:17 186:10 187:10 188:6 299:9 appears 49:22 49:24 52:25	284:2 apples 60:8,9 71:8 93:19 104:1 application 166:11 218:3 222:22 223:8 223:13,25 236:5,14 238:6 240:10 241:10 274:2 275:14 276:11 277:19 281:20 283:22 284:12 285:4 285:18 286:5 294:15 298:7 applies 266:4 apply 128:22 130:15 appreciate 192:7 approach 26:11 26:23 34:10 38:5 42:23 43:4 81:1 83:21 189:24 approaches 175:11 appropriate 13:10 155:19 158:1 335:6 approved 273:1 approximately 178:25 284:5 april 16:13 archaic 162:5 163:1 area 46:20 157:15,18 325:4 arent 95:23 102:5 160:13 197:18 198:8 210:16 255:10 269:16 arguably 60:10	130:19 131:1 225:19 argue 127:14 argument 72:24 125:13 137:17 137:18 150:22 argumentative 216:20 277:10 aris 29:2,2,7 38:4,21 39:2 40:22 42:9,17 237:7 arm 327:6 arms 59:18 317:14 arnaud 137:20 138:7 159:18 177:8,10,20 180:11,22 181:16 196:24 arnauds 138:2 177:14 arose 206:18,19 art 149:17 300:1 article 8:6 11:9 125:22 181:19 255:21 articles 160:9 199:17 artificial 145:16 asbestos 269:15 ascertain 17:5 40:16 100:11 302:8 asia 135:12 asked 36:25 47:21 66:13 71:14 82:24 90:15,24 91:12 91:19 94:3 95:13 99:19 101:14 114:12 143:16 144:2 176:11 177:20 192:14 198:1 198:21 208:14
--	---	---	---	---

Daniel Steven Elliott, M.D.

Page 341

212:17 213:1	associations	142:17,25	293:25 294:6	222:1,6,9
221:1 271:19	116:3	146:9,9 147:22	296:4 297:21	223:6 226:2,16
323:4,12 329:7	assume 50:22	august 17:8	297:25 298:23	234:2 236:13
331:3	189:19 254:23	20:11 25:16	299:4 312:23	239:5,23 249:3
asking 71:17	302:6 311:16	26:1,1 27:3,12	312:24 313:3,9	254:7 276:3
117:18,20	assumed 296:12	42:25	315:1 316:6,16	283:20 284:11
144:8 177:10	assumes 206:5	australasia	316:23 317:10	284:18 285:15
177:11 212:10	atkins 1:16	135:12,13	317:14 327:6	286:12,14,15
212:23 220:6	atrophy 234:3,7	author 11:9 95:8	331:25	awareness 100:3
221:23 227:21	234:16	286:20 290:6	available 14:9	axel 138:2,6
228:6 256:2	attach 174:19	303:17 307:22	29:11,12,14	
332:11	attached 10:2	329:13	30:13 33:13	B
aspect 160:8	35:2 229:22	authority	40:25 81:4	b 4:11
200:25	335:10 337:6	180:23	124:4 142:4	back 21:22 28:9
aspects 118:15	attempted	authors 57:25	143:13 144:4	30:19 31:16,19
assertions	266:20	88:25 89:17	144:14,17,17	31:22 34:5
183:12	attend 139:5	94:24 96:2	148:21 156:20	35:14 36:25
assess 40:24	160:9	104:14 105:17	202:14 219:23	37:4,16 38:24
70:3 73:19	attendance	141:17 180:14	265:3 266:16	46:3,21 54:10
74:8 80:15	199:15	214:8 246:17	266:16 277:15	60:21 69:10
95:11 100:23	attendanceonly	290:5	279:21,23	74:24 76:22
101:7,16,20	300:7	autologous 8:13	avaulta 18:17,21	77:8 87:22
106:11 232:14	attended 299:20	20:9,10,14,21	avenue 3:7 4:3	88:24 96:6
284:11 304:2	299:24	21:1 22:25	average 20:15	98:6 99:18
314:13,20	attest 127:2	23:4,18 24:7	21:8 22:21	102:15 104:13
assessed 70:18	attorney 334:14	24:11,16,20	97:13 111:24	113:3 122:3
77:20 79:4,7,8	335:13	25:3 32:20	113:1 124:9	123:23 126:11
79:20,24	attorneys	33:5 37:20	132:16 156:22	146:5 150:6
100:10,15	334:15	38:2 56:14,21	196:21 197:7	179:25 190:6
102:12 265:14	attribute 272:11	63:17,18,25	197:11,23	216:4 228:14
274:14 285:3,8	303:17	74:3 75:19	198:8,19 200:2	239:1 248:22
286:3 290:23	attributed	85:6 86:6,15	201:7,14 202:6	248:25 259:2,2
326:25	227:23,23	87:2 93:15,24	202:8 203:14	283:1,18
assesses 15:18	301:16	118:24 120:1	awake 120:21	284:19 321:11
16:11 222:21	aua 6:4 102:19	120:13 122:14	awarded 62:4	background
assessing 16:3	108:24 116:12	123:18,20	aware 42:7,17	56:6 104:15,16
74:19 101:14	117:6 120:23	124:5,17	62:16 64:24	104:20 160:23
166:10 265:25	125:9,23	128:24 129:7	76:17 98:12	bacteria 162:17
assessments	134:15 141:10	129:12 130:4	99:9 100:4,21	164:13 166:21
22:17	141:19,23	130:24 131:8	101:6,11,15,25	194:17 195:11
associated 81:18	156:16 161:2	132:10,15	103:2,6,24,24	bacterial 194:5
288:15	331:21	133:11 140:8	116:7 122:4	194:7,9,12
association 6:3	auas 126:20	142:7 149:4	123:23 155:7	bad 32:2 34:16
11:21,22,23	aug 6:11,14	176:23 186:15	155:10 158:10	35:3 61:10
12:6,7,13,14	138:23 139:3,5	265:11 290:24	160:23 191:5,8	68:8 80:5
14:25 116:9	139:10,17,18	291:9,14,20,23	194:15 196:18	149:2 168:21

Daniel Steven Elliott, M.D.

Page 342

226:22 228:17	39:17 40:6,8	bennett 2:23	183:7,18,19	285:10 291:15
232:12 254:14	40:14,21 41:6	best 62:5 148:19	210:5	294:10,18
254:18,19	48:24,25 49:1	149:21 150:5	bits 56:1	297:16
balanced 56:3	49:2	174:11 208:4	bladder 34:21	bold 140:20
ballpark 255:17	beginning 53:7	226:13 303:21	35:3,4,5 36:4,9	bone 26:16
ban 155:16	156:7 167:6	305:1	67:11 78:14	58:16 85:12
bar 103:21	253:15	better 13:3	82:5,16 85:22	108:5
128:22	behalf 3:2	60:11 151:22	85:23,24 87:12	book 217:23,24
bard 18:21	belief 205:8	158:14 159:19	108:6,14	218:19,22
77:25 138:13	believe 13:18	159:24 160:2	109:22 125:19	books 322:23
180:24	21:5 40:5	228:17 238:17	125:20 132:21	border 47:6
bards 177:24	49:11,15 54:20	239:7 240:9	133:3 136:10	boston 77:25
barr 2:5	107:1 111:1	262:3,7 277:15	136:24 156:6	bother 102:17
base 212:6 228:5	119:2 124:15	277:19 286:23	172:21 173:9	103:9
256:20 288:14	138:8 143:11	310:25	174:4 188:22	bottom 26:19
based 37:15	143:23 144:12	beyond 46:20	189:10 190:1	43:5,12 51:16
78:23 83:20	144:16 178:1	162:19 210:18	204:9 254:4	51:17 77:8
109:3 110:19	198:7 200:16	247:6 258:8,11	blame 65:9	82:7 115:20
112:9 114:3	201:17 205:10	258:25 267:21	bleeding 136:24	147:1,3 172:2
123:16 128:4	205:12 224:22	268:22 325:13	208:2,24	243:21 244:5
132:25 137:20	233:10 246:8	bias 59:2 180:12	209:10,13	309:9
146:4 150:14	253:8 254:25	181:5,14,20	270:23	bottomdown
151:13 164:11	255:21 262:14	182:11,13,14	blind 57:6 84:10	109:11
191:2 199:14	266:24 267:5	182:21 183:10	84:13,15,21	bottomtotop
200:8 201:9	276:18 282:9	221:19	85:8,16,18	77:1,6,19
203:16 221:19	284:5,21 322:4	biased 182:8	86:2,7,23 87:5	78:13,18
233:5 244:16	324:8,13	216:22	87:13	189:15
255:3,3 256:12	326:18,22	big 55:10 62:15	blue 49:13,14	bottomup 77:12
257:5 258:18	327:14,15	67:20 108:16	50:19,21	78:2 108:11,13
259:5 260:4	bellew 14:6	125:13 280:20	blurbs 41:19	bowel 136:24
301:25 302:16	19:10	bilaterally 85:11	bmi 235:6	box 48:8 164:18
309:3 324:1	belong 11:15	bill 17:17	board 16:8,10	164:22,25
325:16	125:4 134:13	bioabsorbable	125:14 139:19	165:2,10,16,19
baseline 292:12	139:2,3 155:1	288:11	155:5,6 165:3	165:21 236:20
basic 259:20	156:2 163:4	biocompatible	184:6 261:13	boxes 221:10,13
basing 225:8	ben 17:23	205:1,11,12,18	266:4	221:13,13
basis 75:13	278:12 279:3,7	biologic 265:11	boards 112:10	brains 280:1
189:4 258:19	279:10 280:19	298:20	116:25 139:16	branch 156:16
322:16 325:12	317:25 318:6,9	biological	body 50:14	brandnew 39:10
326:6	318:13 320:17	169:23	131:24 133:4	39:25 40:18
beat 260:18	320:25 321:10	biomechanical	175:2 183:8	breadth 313:20
280:1	322:14	8:10 237:11	230:22 247:18	314:6,10,15
becoming	bend 296:15	292:22	249:8,12	breadths 313:16
135:11	benefit 121:4	birch 28:2	253:21,23	313:23 314:7
began 29:5 38:8	190:16 240:21	bit 12:22 58:18	258:4,9 267:9	314:10,25
38:20 39:5,12	benefits 78:17	87:17 105:14	275:20 276:1	315:15

Daniel Steven Elliott, M.D.

Page 343

break 87:17 192:10,14 208:3 209:7 224:4 238:22 251:2 252:4 260:19 278:5 301:18 321:10	122:14,24 124:6 128:23 128:24 129:8 129:12 130:15 131:9 132:9,23 140:8,11 142:7 142:16 148:22 149:7 150:9,19 169:12,15,16 169:18,19,20 169:22 171:10 183:12,20,25 184:2,25,25 185:25 188:13 189:6,8 200:18 265:10 315:6,7 317:10 324:19 325:11 327:17 327:19,21 328:7,12 331:6 331:25	295:4,5,16 296:9,19 297:4 cadaverics 23:2 295:12 calculate 196:8 calculated 271:1 call 12:24 14:24 52:7 115:10 141:18 168:6 237:5 245:13 250:9 261:22 299:25 319:13 called 59:5 61:13 169:21 186:17,19 199:6,9 321:10 calling 231:3 245:21 cancer 269:14 candidate 24:13 canine 53:21 cant 25:23 31:2 36:7 43:1 45:13 46:21 49:23 53:23 65:9 68:17 74:2 75:11 78:2 81:25 100:20 113:24 114:2,7 118:11 132:23 140:10 166:16 182:17 182:24 194:1 197:9 202:10 202:17 211:17 213:1 228:5 231:14 235:25 238:12 254:17 257:16 258:23 267:20 272:6 272:25 275:17 282:9 283:7 307:7 309:25 310:9 311:15 313:23 315:19 315:24 316:18	328:4,20 329:4 329:19 331:18 332:4 333:8 caps 13:4 caption 2:1 capture 81:15 care 71:16 109:25 140:15 140:23 141:4 147:19 148:14 199:16 204:10 209:6 233:5 256:17,17 257:9 career 28:17,20 30:3 43:13 48:17 careful 24:12 61:23 201:6 carefully 101:10 335:4 carried 178:5 carry 108:5 cartmell 3:6 4:3 4:6 5:4 10:18 47:21 52:2,5 53:17 56:16 57:1 68:14 70:20 71:14,20 71:24 73:7 78:8,21 80:24 82:23 87:19 89:25 90:7,14 90:23 91:6,12 91:16,18,25 92:3,5,8,15,19 92:22,25 93:10 93:25 94:3 95:13 96:16 97:1,8 99:1 101:18 103:17 106:17 107:6 107:18 110:21 110:24 113:13 113:17,20 114:15 115:5	115:12,17,24 117:13,17,22 121:1 122:16 124:18 125:8 125:11 130:6 131:12 133:24 141:5 143:17 143:19 144:1 144:23 157:13 159:5 163:14 164:1 166:13 167:13,18,21 168:10,12,22 168:25 171:5 173:1 176:9 177:16 178:12 178:14 182:1 184:12 195:1 197:25 198:21 204:5 205:25 206:4 208:13 211:10,18,25 212:3,7,9,14 212:19 213:11 215:2 216:19 217:13 218:8 218:10 219:15 219:21,25 220:5,13,20 221:3,7,11,14 223:1 229:12 229:16,20 230:1,6 232:3 237:4 238:18 238:21 240:12 240:24 244:11 245:2 250:8 251:13,19 260:10 261:21 265:18 271:19 273:6 276:17 277:9,23 278:5 278:11,13,16 278:21,23 279:2,5,8,17 279:23 280:7
brittle 243:14 245:9,16 249:22 broad 14:1 broadly 316:14 broke 245:16 brought 114:1 114:23 115:6 building 173:12 173:20 bulking 158:8 bulky 34:23 bunches 61:19 burch 5:21 28:12,13,14,16 28:22 32:14,15 56:24 57:11,15 64:23 65:2,6 66:17 67:22 68:3,12 69:7 70:25 71:11 72:3,6,11,17 73:6,16,20 74:3,5,9,22 75:9,22 76:7 94:9,10 95:11 97:11,16,21 98:9 103:1,4 103:19,22 118:7 119:5,15 119:25 121:5	burchs 326:5 burgoyne 2:22 burn 312:3 burning 93:4 burt 4:11,11 318:6 322:19 burts 319:25 butler 4:8,12 butlersnow 4:11 4:15 buttock 31:14 31:17 45:8 <hr/> C <hr/> c 3:9 4:1 334:3 334:22 ca 213:9 cadaver 22:20 cadaveric 8:11 20:10 23:21 24:18 25:6 32:23 265:12 289:25 291:6 293:23 294:4,9 294:14 295:2,3			

Daniel Steven Elliott, M.D.

Page 344

280:11,13,18	187:1	277:21	185:11,13	cited 54:2 55:15
280:22 281:3,5	catheter 176:18	certain 14:11	changes 337:5	59:5 60:24
281:9,16,22	catheterization	62:12 139:21	chapter 217:24	76:23 96:14
282:2,9 283:6	317:3	139:22 200:5	chapters 217:24	181:22 183:4
285:19 286:9	caught 119:8	202:21 203:8	charge 54:21	189:22 213:14
288:16 304:19	294:15	229:19 231:13	charleston 1:2	213:22 217:22
308:20 310:12	causal 254:11	231:15 232:5,7	charts 258:23	229:13,19
310:18 311:25	259:11	235:23,23	check 317:20	301:3
312:5 316:8,17	causation	254:20 260:12	chemical 248:11	cites 220:17
317:19,22	321:17	260:13,14	248:21	citing 191:11
318:20 319:2,8	cause 136:18	269:17 303:10	cheshire 2:21	218:22
319:13,16	254:23 256:15	330:2	choice 135:11,19	city 3:7,7 4:4
321:9 322:12	294:5 295:17	certainly 78:4	158:21	claim 51:23
322:20,24	caused 67:11,13	207:23,24	choose 126:23	331:14
323:2,11,24	67:14 110:1	283:13	232:7	clamp 313:17
324:15 325:22	249:4,18	certainty 323:16	chooses 174:9	clarification
326:8 327:5	257:14 267:5	325:9	choosing 24:14	34:13 229:13
328:24 329:3,6	271:6 272:8,11	certificate 334:1	chose 26:4	clarify 36:1
329:11,25	273:4 292:14	certified 3:9	180:18 277:15	clarifying
330:5,14,18,22	causes 166:8	334:3	chosen 119:14	177:12
330:25 331:8	248:16 249:8	certify 334:5,13	chow 11:10	classic 81:24
331:11,14,17	254:9 256:18	337:3	19:22	132:19
332:9,17,19,23	266:9 307:5	certifying	chris 168:15,22	classical 215:13
333:4,6,13	325:11,19	116:24	christina 221:12	215:23
cascade 195:25	causing 96:20	cetera 21:19	chronic 261:15	classification
249:7,15 254:6	131:23 258:3	54:24 74:17	chughtai 116:22	162:11 163:6
case 16:22,24	caveat 91:4,22	77:25 128:6,20	cicatrization	234:20 235:5
18:2 51:22	93:7 97:2	145:23 166:8	287:14,15	235:15,20
52:12 60:7,15	105:11	167:7 193:13	circle 165:4	clave 166:4
127:1 203:5,6	caveats 122:11	248:18 264:9	circles 165:24	236:7 241:17
217:1 261:1,7	ccr 3:9 334:22	chaken 64:1	circling 34:5	241:23 242:4
276:18 278:18	334:22,23	challenge	citation 52:23	245:17,22
279:12 280:16	cell 267:8,8	106:15 188:9	288:17	246:5 247:21
318:10 320:15	cells 162:16,18	189:1	citations 166:24	254:25 255:7
casecontrolled	163:13 165:23	challenged	230:8	255:13 256:5
7:18	center 4:9 64:15	106:8	cite 53:8,11	256:12,20
cases 1:6 14:7	119:20 199:16	challenging	54:10 55:23	257:6 259:3,11
19:5 20:2 28:8	centimeter	96:19 106:21	96:7 98:3,14	326:16,21
28:11 46:20	46:16 84:21	chance 87:11	104:5 107:25	clean 144:11
139:22 218:17	86:5 236:16	change 14:11	111:4,12	262:18
234:13 303:2	282:24 283:4	20:13 231:13	166:24 183:6	clear 22:1 26:25
321:6 329:13	centimeters 84:2	336:3	213:23 217:19	43:10 146:21
categories 61:5	84:8 85:17	changed 12:19	225:2 229:8	159:18 211:11
172:23 173:8	86:24 213:10	13:23 19:14	230:20 251:12	211:15 228:11
categorized 96:2	236:19 241:11	22:21 27:19	251:16,17	230:11 321:10
category 175:1	275:6 276:5	30:11 103:21	298:6	327:3

Daniel Steven Elliott, M.D.

Page 345

clearly 180:21 181:15 202:3	54:19 55:14,19 55:23 58:17	45:19 46:2,25 47:2,11,18	227:7 244:14 288:22 299:16	226:14 328:4
clermontferra... 288:22	59:4 60:23 61:1,2,5,25	48:2 51:5	305:4	compare 60:8,9 74:2 79:10
clifton 307:21 311:7,8	62:16,22 69:10 76:22 77:15	colopress 26:21 colporrhaphy 169:24 170:9	comment 53:2,5 94:23,25 225:9 225:12 331:3	124:25 158:11 184:15
clinic 6:25 18:25 19:24 33:19,25 156:24 171:19 172:4,9,10,16 172:17,20 173:6,12,16,19 173:24 175:14 175:21 189:4 210:16	79:17,21,24 82:13 83:16 88:24 89:13 96:6 97:22 98:15,16 104:4 107:25 110:3 111:3,11 113:9 113:18 118:16 185:18,23 187:16 191:5 274:4,11,15 301:2,9	colposuspension 5:21 28:2,5,22 56:25 64:23 65:3 69:8 70:25 71:12 72:3,17 73:6 73:17,20 74:6 75:9 76:7 128:23 132:10 140:8 149:8 169:5,6 171:2 171:6 186:3 187:4 188:7 265:11 317:11	commentary 126:6 comments 222:16 commission 337:16 commitment 322:3 committee 12:1 12:17 13:7 14:14 15:11 common 24:23 116:14 117:4 135:21 148:12 172:22 201:2,3 201:5,6,7,13 234:14 327:22	compared 56:13 78:19 82:21 97:20 98:9 100:6 118:9,20 119:4,15 121:5 124:5 148:18 148:21 149:22 150:4 158:13 183:22,23 187:22 189:8 190:9 200:2 225:5 226:19 254:10 265:5 265:16 274:16 294:5 297:11 297:13 317:9 325:10 328:7 332:1
clinical 11:22 41:23,23 107:2 148:6 160:17 195:22 196:2 222:23 225:3 226:15,17 227:10 228:15 230:24 238:14 239:23 247:6,9 248:5 249:17 252:24 258:19 260:5 265:1 284:11 287:23 294:5 303:17 305:6 325:16	coherent 9:18 cohort 19:18 20:25 21:16 22:2,13 64:11 80:21 124:24 124:25,25 151:14,15 209:21 211:5 211:14 cold 9:13 collaboration 5:15 collagen 288:14 colleague 36:13 36:15 colleagues 160:11 227:14 260:6 325:17 colony 4:13 coloplast 25:10 25:19,21 26:6 26:9,22 27:6 27:14,20,23 28:25 29:1,6,6 30:13 38:5,8 38:14,20 39:2 40:22 42:6,9 42:11,15 45:9	column 179:9 com 4:5,11,15 combating 162:17 combination 54:21 61:6 258:14 289:10 combined 42:11 come 31:16,19 37:4 43:21 46:3 54:22 83:3 112:24 150:24 232:18 253:23 257:18 257:19 287:6 326:6 327:25 comes 81:6 164:18,21 200:13 219:19 219:21 236:20 249:10 305:11 305:12 306:1 comfort 120:20 coming 48:7 52:9,14 112:22 160:3 221:20	commonly 85:2 120:14 148:24 237:23 317:1 328:2 communicating 255:12 community 12:2 268:9 comorbidities 136:9 companies 77:24 company 25:9 30:24 39:22 40:1 41:13,16 53:13,13 225:23 226:3 228:8,20,22 231:6 248:24 comparative 7:24 98:15 150:15,15 185:24 189:5	comparing 71:8 93:19 104:1 118:24 150:9 184:16,16,17 185:12 187:4 189:6 302:15 324:18 comparison 56:19 150:18 184:1,24 comparisons 60:16 compiling 98:17 complain 44:8 complained 44:9 207:2,4,5,7,11 207:13,18 208:1,1,2,5,22 208:23,24 209:1,4,4,9 270:21,22,23 complaints 227:7 305:4

Daniel Steven Elliott, M.D.

Page 346

306:2	227:10,22	97:18 142:8	consider 153:12	49:5
complete 68:9	233:11 239:8	187:16 189:17	considerations	continues
70:21	239:19,22,24	296:2	8:7 95:11	128:19 210:14
completely 47:6	241:4,8 246:6	conclusions 89:1	264:13	293:5
218:12	249:18 252:11	89:18 104:14	considering	continuing
completing 65:8	253:9,16 254:9	105:17 287:5	331:3	300:13 311:2
complicated	254:21 256:13	295:24 296:16	consisted 179:10	contract 291:14
30:5 37:25	256:16,21	298:3	consistent 109:2	contraction
118:13 200:22	260:2,9 261:10	condition 234:8	187:15,17	95:20 102:4
330:8	266:9,23 267:5	conditions 156:6	189:21 190:4	128:20 164:23
complication	269:17 270:6	conducted 76:18	311:19	191:14,22
31:22 35:7	271:25 272:20	80:7 206:24	consistently	192:9,16,20,22
75:14 159:2,20	273:4,11,14,17	309:22	234:1,5	193:1,4,5,13
193:1 196:8,11	273:21 274:11	conducting 75:8	consolidation	193:15,17
196:14,24	274:16 276:24	conference	279:9	253:5 254:2
197:2,17	287:19 307:11	288:23	constant 326:3	255:5 270:8
200:15,19	323:18	conferences	constantly	283:25 287:18
201:10 203:1	comply 10:1	300:11	200:11	291:11,18,22
217:18 233:22	comport 80:22	confidential	constellation	contractions
241:12 246:11	compound	217:7	307:8	191:19
246:12,16	118:14	configuration	construct	contractor
249:4 250:5	comprised	276:13	272:22	172:18
253:2 271:12	158:20	configured	consulted	contribute
274:5 276:15	compute 261:3	193:21 275:23	154:22	295:22
307:20 324:4	computer	275:25	contact 64:5	contributing
complications	286:18	confirm 244:9	195:15	303:9,10
15:13 21:19	con 56:3	confirmation	contacted 21:14	control 38:9,13
22:18 30:8	concern 140:4	137:25	contain 10:15	38:22 39:1,7
34:10 35:10	214:18 215:7	confirmed 35:5	297:7	39:13 59:6,10
47:13,20 71:6	215:18 224:13	243:25 248:20	contaminated	59:14,15 61:13
81:18 93:19	224:20 257:8	conflict 180:11	253:21	64:9 78:6 80:2
94:15 96:3,4	concerned 73:24	181:5,16,17	contamination	80:10,19
105:12 110:6	183:2 189:3	182:11,12,19	194:6,7,10,12	118:17,23
113:7 126:15	232:19	182:20 219:14	194:14 195:18	123:20 124:10
126:17 129:21	concerning	219:19 221:18	context 130:4,7	127:2 150:8
135:4 136:18	53:14 136:13	221:19	167:1 214:24	151:14 246:9
136:21,22	140:7 227:5	conflicting	216:6 284:24	246:14 301:20
145:23 175:24	233:16 240:25	226:12	continence 7:17	controlled 60:15
183:13 184:9	243:23	confused 86:25	continent 37:18	convenient
187:24 188:19	conclude 271:8	connected	308:13	322:10
189:3,7 193:11	concluded	179:11 283:14	continuation	conventional
196:18 201:16	152:22 263:20	connection	21:17	176:14
203:10,17,23	292:22 333:15	14:13	continue 255:5	cook 2:7 18:14
204:3,8 208:4	conclusion	connector 34:22	319:23 321:24	copies 89:5
209:8 225:3	90:22,25 91:20	consequences	continued 2:1	copy 5:24 10:5,9
226:18,22	92:16 94:24	247:5 287:24	6:1 7:1 8:1	11:1,17 54:17

Daniel Steven Elliott, M.D.

Page 347

89:5,7,13	96:5,11 97:2,7	189:16 190:2	288:4,5 289:8	121:16
307:17,18	97:22,23 98:1	190:14,15,18	289:11,17,19	costello 166:4
309:20	98:2 99:13	191:1 193:18	290:2,3,5,9,24	247:20
corporation	106:16 107:5	193:21,23	290:25 291:3,4	costs 16:19
277:14	107:17 108:2,3	194:3,12,18,20	291:7,8,11	couldnt 266:22
correct 11:4,5	108:6,7,9,15	196:18 198:6	292:16 293:7,8	275:14
13:15,16,17,21	108:16 110:23	198:17 204:24	293:14,15,20	counsel 218:11
16:8,20 17:19	111:6 116:7,10	205:2,24 206:1	293:25 294:7	224:21 316:9
17:22,24 18:22	116:15 117:12	206:18 207:15	294:18,19	334:14,16
18:24 19:7,13	117:16 120:3,6	208:12 209:13	295:18,22,23	counseled 199:7
19:20 20:23	126:12 127:7	209:14,18,19	296:6,10,11,20	counseling
21:2,3,4,8 23:5	127:17 128:23	211:6 213:10	296:21 297:21	158:1
23:13,16,17,20	131:9,21	214:9,14,21	297:22,23	country 316:3
24:25 25:4,5,7	134:14,17,18	215:1,15 225:7	298:1,2,24,25	counts 166:21
25:13,14,17	134:20 136:15	227:19 229:5	299:4,5,11,12	194:17
26:24 27:13,17	136:16,19	236:19 241:20	300:13,22	county 3:7
28:23,24 29:17	137:9 140:1	241:21,24	303:18,25	couple 196:16
29:19,23 30:1	141:4 142:2,13	242:4,5,9,23	304:1,4,5,18	258:2 326:10
30:16 31:24	146:10,11,22	243:9,19,20	313:21 314:13	330:14
32:10 33:7,9	147:20 148:25	244:10 245:1	314:20 316:16	course 59:13
33:12 37:13	149:5,6,8,9,11	245:17 246:7	317:14 324:20	229:23
38:6,7 42:19	149:12 150:10	246:11 247:8	326:15 327:1,2	court 1:1 3:10
43:14 49:4,7	151:4,5,8	250:16 251:4,5	327:7,8 328:8	13:1 334:3
50:15 51:10,12	152:1,2,18,24	251:7,9 252:1	328:14,18	335:17
51:12,16 52:19	153:9,11,17,24	252:7,12,25	329:5 330:1,13	cover 156:5
52:20,24 53:18	154:10,11,20	258:1 260:3,9	332:1 334:11	covered 281:12
54:2,7,14 55:5	154:21 156:3,4	260:23 261:3	337:4	covering 251:22
55:15,16,20,21	157:11,12	261:10,17,18	correction	cracked 245:16
57:21,23 58:10	158:6,7,8,9,15	261:20 262:9	126:23	cracking 242:23
58:20,22,23,25	160:4,5,7	262:15 263:10	corrections	243:5,14
59:6 60:13	162:2,8,13,18	264:17,20,22	335:5,7 337:5	244:21,24
63:1,4 64:9,10	163:13 165:16	265:6,7,17	correctly 57:20	245:24 246:19
64:12,16,17,19	166:12 167:1,9	266:3,5 270:4	94:21 110:9	249:22 252:25
65:25 67:5	170:15 172:12	271:18 272:8,9	236:9 244:1	253:3 255:8,14
69:8,9,19,23	172:14,15	273:2,18,19,24	299:6 315:19	255:23 267:11
74:6 75:20	174:4,5,7,9,10	274:6,18,19	correlate 195:23	cracks 245:9
76:7 77:6,21	174:15,21,22	275:7,8 276:7	245:5 249:3	248:4
78:7,15,20,22	174:24 175:3,4	276:16 282:21	correlating	cracky 9:14
80:3 82:22	175:7,13 177:4	282:22,24	230:25 231:3	crazy 221:16
84:12,24 85:8	178:11,20	283:5,11,12,14	correspondence	281:11
85:19 86:8	179:6,18 180:4	283:15,17	321:11	create 174:3
87:5 88:1,2,4,9	181:23 185:20	284:21,25	corresponds	275:24 297:14
88:22,23 89:15	185:21 186:1	285:1,6,12,18	248:5	creates 253:22
89:24 90:6,13	186:11,12,15	286:6,13	cost 96:22 97:3	253:25
90:22 91:5	187:13 188:23	287:11,15,22	106:9,22	credentialed
93:9 95:12	189:8,9,12,13	287:24,25	107:14,22	19:23

Daniel Steven Elliott, M.D.

Page 348

credentialing 14:21	304:12,13,15 304:16,25	18:6 21:9,11 21:20 22:5	30:10 40:13 day 3:4,5 230:24	269:25
credentials 139:19 326:23	308:23 309:4	25:2 40:1,2,24 41:8 60:4,11	230:24 318:8 332:11,19	decreases 164:19
criteria 14:19	cutting 224:14	61:2 64:13	334:18 337:15	deemed 335:16
23:24 41:20	cv 10:5 11:3,16	66:10 70:25	days 14:2,3	deep 9:14
62:12 129:13	11:17 21:22	74:15 79:6,9	177:15 257:21	deeper 87:8
critical 269:12	36:3 307:17	79:13 80:5	258:2 335:13	defect 16:24
criticize 277:5	cycle 254:1	93:8,15,24	de 67:9,11,17,25	18:2 51:23
critique 68:7	cystoscope 313:14 315:14	98:15 99:24	68:16 94:15	169:25 170:13
crr 3:9 334:22	cystoscopic 34:25	100:5 107:1	95:16,24	227:25 272:10
crying 209:7	cystoscopies 108:22,24	113:14 114:5	187:13 295:14	279:14 318:7
233:6	cystoscopy 108:15 109:11	117:3,7 118:2	dead 25:12	320:15,20
culligan 194:16	109:15	124:7,23 127:9	deadline 319:7,8	322:23
194:25	cytotoxic 262:14	133:20 142:6	deal 256:19	defective 7:16
cure 77:2 144:21	263:1,2,21	146:1 150:15	dealing 97:14	206:9,12 213:7
190:9	268:13,16,21	151:14 158:10	227:10	224:23 268:3
cured 105:25	269:8,23	158:25 159:18	dealt 258:21	268:23 269:10
curling 256:15	cytotoxicity 262:16,21	162:23 167:25	death 64:6 65:11	269:22 270:1,5
current 13:9	264:9 266:9,12	179:20 180:21	66:5 75:14,18	270:12
147:19 148:14	266:24 267:6,8	181:1 187:15	76:5,19 267:8	defects 94:16
161:9 292:23	268:4,8,16,19	187:17 198:9	267:8	95:17,17,25
currently 11:15	270:6,17 271:6	198:10 202:13	debatable 119:12 122:7	defend 322:11
20:7 116:14	271:11,22,23	202:15 203:16	125:1 127:13	defendants 3:3
143:13 144:4	272:8,12,19,24	210:20 216:12	317:5	4:7
144:16 251:23		217:6,7 218:22	debating 124:11	deficit 276:21
curtain 285:24		218:23 226:17	deborah 36:16	define 67:9
curve 112:6		240:3,16 255:3	decade 65:23	87:12 112:3,14
cut 47:4,4 49:10		262:16,22	decades 23:10	122:19 127:21
49:10,11,21,21		265:3,21	deceitful 14:12	127:22,25
49:23,23,25		267:21 273:20	decent 170:16	129:11 162:6
167:14 193:20	D	273:21,24	decide 180:3	163:4 202:20
224:8,10,16,23	d 1:24 3:1 5:1,2	274:1,3 276:22	decided 229:8	defined 112:5
225:4,5,11,23	5:9 9:2 337:8	277:1,6 303:19	239:19	162:12
225:24 226:4,8	daily 189:4	304:22 310:16	decision 233:9	defining 158:2
226:18,19	196:21 245:19	311:18,19	277:2	definitely 40:20
227:2,5,14,23	258:19 326:6	312:11 314:16	declare 181:7,8	41:3 195:19
228:4,4,17,21	damage 15:14	316:14,20,22	181:11	definition 112:17 128:11
231:9,9,14,22	136:24	database 5:23	decline 24:8	130:23
231:22 232:9	damaged 256:23	54:19	declining 24:10	definitive 157:9
232:15,16	257:10	datas 202:16	24:11	157:15,19
241:11 275:5	dameron 1:19	date 32:6 55:19	decomposition 295:13	158:3,16
275:15,19	dangerous 79:3	267:15 268:6	decrease 296:9	definitively 31:3
276:4 301:12	daniel 1:10,24	322:10 335:9	decreased	130:25
301:22 302:6,9	3:1 5:2,9 9:2	337:8		deflect 285:24
303:1,5,24	9:11 337:8	dates 27:18		313:14 315:14
	data 13:24 14:9			

Daniel Steven Elliott, M.D.

Page 349

degradation 128:6,17,19 131:23 133:4 164:4 166:2,8 193:13 195:25 210:14 243:3,6 243:8,9,13,23 244:10,20,21 245:11,21 247:11,20 248:4,9,15,19 249:5,6,13,15 249:18,21,23 249:25 250:6 252:19,20 253:3,10,14,17 253:19 254:5,9 254:23 255:2,4 255:14 256:5,6 256:13,22,23 257:4,14,22 258:5,12 259:1 259:5 267:11 270:7,9 295:13 303:11	demonstrates 96:10 demonstrating 98:24 denied 94:20 denis 286:21,21 286:23 288:19 288:19 denise 221:9,11 denominator 260:22,24 261:2 deny 58:4 denying 182:16 dep 318:7,7,15 department 33:21,22 34:2 170:23 176:3 depend 128:2 dependent 8:9 23:23 273:17 depending 174:8 depends 37:6 48:7 57:3,4 112:21 129:17 141:12 158:2 174:12 201:20 203:4,20 254:24 316:24 depo 281:13 deponent 230:12 250:12 282:13 289:1 337:1 deposed 13:17 18:17 19:4 221:9,14 281:14 282:8 320:10 321:6 deposing 280:10 335:13 deposition 3:1 5:8 9:22 19:10 114:13 137:20 138:3,5 177:19 279:9 281:10	282:6 318:11 318:15 319:21 320:16,20,22 321:4,14,25 322:23 333:15 334:7 335:3,10 335:14,16 depositions 14:2 53:19 164:6 221:6 280:14 dermis 8:11 293:24 294:20 297:6 describe 26:16 26:17 228:3 244:20,20 245:14 described 76:1 132:19 185:9 243:23 describes 26:18 describing 68:23 316:24 description 5:7 6:2 7:2 8:2 59:20 design 16:24 17:2,4 18:2 45:4 51:23 259:19 272:17 273:9 279:14 281:20 318:7 320:15,20 322:23 designed 107:9 destroyed 261:6 detail 148:2 167:4 details 213:2 286:15 detension 315:7 detensioning 315:1 deter 50:9 deterioration 294:5	determination 264:3 determine 17:7 18:6 22:15 240:18 303:8 determining 13:12 230:19 detrusor 67:4,9 94:15 101:24 devastating 254:21 260:1,8 269:17 develop 131:17 developed 35:3 developing 9:13 device 23:19 25:4,7,8,11 28:23 31:14 34:18 35:12,20 36:10 38:10,14 39:7,13,14 40:9,15,17 41:9,25 42:6,9 43:6,16,16 44:4,13 51:11 51:15 57:13 70:7 71:4 72:7 73:8,11,12 75:23 76:9 77:20 78:5,7 79:5 80:11 82:3,21 84:4 93:20 96:24 99:12 100:4,12 100:23 101:15 103:20 105:7 106:24 107:5,9 107:15 110:15 110:20 119:14 123:13 124:3 128:17 129:1 131:4 147:6 149:11 161:14 161:20 166:10 167:9 174:24 191:11,12	192:16 196:9 197:6,22 199:25 202:20 203:16,22,24 210:12 216:3,8 222:22,24 223:18,20,23 224:9 236:4 238:17 240:21 241:19 247:10 249:3 251:7 254:14 262:20 263:9,20 264:2 264:4 266:10 266:21 267:4 272:21 276:6 282:20 283:22 289:8 303:2 305:22 315:10 326:25 328:7 devices 8:6 40:23 45:16,17 45:21 73:23 84:11 99:15,25 100:7 145:13 161:8,17 250:20 251:23 252:1,3 268:12 307:4 diabetics 82:15 diagram 153:7 diameter 165:4 didnt 10:10 43:25 47:22 49:7 68:24 87:1 92:17 95:2 96:3 98:3 98:14 101:13 110:13,18 111:8 114:13 115:5 143:18 144:7 181:9,10 181:14 182:4 182:14 185:11 209:3 211:5 212:12,19,25
--	--	--	---	--

Daniel Steven Elliott, M.D.

Page 350

213:23 217:19	197:2 199:17	175:19,20	disregard 330:3	70:22 77:17
224:15 225:1	200:10 208:18	176:7 183:11	disregarded	114:17,19,19
232:20 244:1,2	215:6 227:9	183:18,22	330:9	114:20,22,25
245:15,18	228:2 233:13	184:4 185:2	dissect 85:10,11	115:22 118:1
252:16,18,21	237:15 247:17	214:16,17	87:7 330:12	134:10 152:3,7
252:23 255:7	249:10,11	216:14 217:9	dissecting	153:1 155:15
255:14,23	252:1,2,21	261:11 296:7	109:23	155:21 176:6
256:6 260:21	265:12 273:16	disagreeing 95:9	dissection 20:5	213:14 225:16
268:7,23	292:4 295:5	224:1 283:9	57:16 85:10	226:6 228:25
269:22 271:15	298:16 300:11	disagrees 92:16	disservice	231:24,25
275:20,22	313:2,10	176:12	126:22	264:15 283:1
278:11,12	differently 66:6	discomfort 37:2	distance 314:8	283:19 284:7
279:3,17 281:8	66:10	43:23 207:9	distinction	305:16 332:3,7
292:1,6 293:4	difficult 17:4,12	325:3	236:21	333:10
301:1 302:20	18:5,8 22:24	discovered	distinguishing	documentation
304:2 305:14	31:1,2 35:14	295:25	229:21	53:19 227:6
305:18 306:25	57:5 67:18	discovering 35:1	distracting	228:11 229:1
311:20 324:14	119:17 224:2	discretion	216:20	264:8 302:2
324:15,16	233:24	109:14	distress 103:9	305:4,12
330:17 331:8	difficulty 136:25	discuss 68:20	district 1:1,1,6	documentations
331:11	dillon 1:11	160:10 192:8	divide 34:8	228:13
die 65:22	dimensions	192:15 300:6	dmochowski	documented
died 65:9,16	282:25	discussed 132:1	109:4,6 141:18	284:16 308:10
66:9,10 295:12	direct 150:15	158:22 175:11	dna 297:7,16	documenting
difference 57:8	directing 20:4	218:2 230:25	doc 58:20	318:6
82:6 182:10	direction 210:18	discusses 134:21	282:12	documents 10:2
194:11 313:5,5	285:25 334:10	222:23 305:10	doctor 10:24	10:15 14:11
313:6 314:8	directly 60:10	discussing 88:25	66:21 67:2	49:24 52:8
differences	director 179:19	89:14 128:3	110:23 116:2	53:13 56:5
293:17	180:16,19	178:10 188:13	134:6 171:18	153:2 167:7
different 8:18	284:4	244:19 260:5	178:9 180:5	225:14,23
23:25 29:4	disagree 72:13	discussion 148:7	182:6 185:17	226:3 227:18
34:10 47:5,6	78:3 85:9	185:15 192:21	192:7,14	228:8,20,23
53:24,24 55:25	90:25 93:13	193:16 213:24	204:23 209:6	230:19 231:1,7
59:17 60:5,8	94:7 105:10	227:14 325:17	210:10 212:13	231:19 239:6
62:4 66:11	110:12 118:10	discussions	232:17 250:9	263:25 266:15
73:10,10 81:2	118:19 123:14	299:21 305:5	251:17 280:5	306:2 324:5
81:17 85:10	124:21 135:7,9	disease 295:17	281:10 293:12	332:13,16
91:9 102:2,19	135:18 138:20	dishonest 14:12	306:23 312:7	doesnt 26:16,17
113:1 116:22	138:22 142:24	disingenuous	322:4,10 323:2	71:4,19 94:11
128:22 129:19	143:1,2,5,9,19	208:4	326:1 333:1	137:21 138:13
132:23 136:20	143:20 144:19	dismiss 233:7	doctors 166:9,25	165:21 173:12
156:5 166:21	147:22,23,24	disorders 6:16	270:16	173:16 189:18
168:9 185:9	148:5 154:1	146:12	document 1:6	190:20 209:1
186:23 192:22	159:6,8,21	disposing	9:23 55:9,10	215:3,19,21
193:23 194:17	161:24 166:5	280:15	60:17 69:3	218:21,24

Daniel Steven Elliott, M.D.

Page 351

229:24 232:10	44:16,20,22	188:8,12 191:8	309:19,19	dry 88:11,15
252:10 274:12	46:15 47:1,7	194:21 195:8	310:10,11,12	309:5
281:16 300:24	47:14,23,25	196:4,10,14	310:18,19,20	dryness 68:8
310:15 331:5	48:9 51:9 52:1	198:10,18	311:4 312:20	dsc 243:19
331:16,19	52:9,14,16	199:1,18	314:12,19	dscs 244:9
dog 61:21,22,22	55:9 58:10	200:21,24	315:5,8 316:2	due 59:1 68:9
62:14,15	59:7 61:4	202:10,22	316:19 317:19	99:18 256:22
131:25	62:19,20 63:5	206:2 208:10	318:20,22	256:23 257:22
dogmatic 158:18	63:9,10 68:20	210:4 211:23	319:3,10,12,16	258:5 266:24
dogs 298:6	71:16,22 72:8	211:25 212:22	319:23 324:13	273:4 301:11
doing 22:16	72:20,21 74:16	213:25 216:5	326:16,20,23	303:4,18
23:13 24:22	77:12,22,25	217:9,14,23	327:18 328:19	306:16
27:4 28:13,13	78:3,11 79:15	219:10,10,12	332:10	duke 309:4
30:21 37:6	79:19,25 81:12	219:20 220:9	doo 61:22,22,23	duly 9:3 334:6
39:17 41:6	81:14,16 82:14	221:22,24	62:14,15	dump 253:23
57:14 58:10	82:17 83:1,5	222:9,12	dots 223:5	dumping 249:10
103:7 112:6,10	83:23 91:12	225:15 226:5	doubt 70:11	duplicative
112:15 113:5	92:11 94:5	226:20 230:13	77:23 78:11	320:25
119:9,19	95:3 99:19	231:17,24	90:1 94:22	durability
132:18,22	104:11 109:6	232:9 233:6,17	116:20 117:4	106:19,24
166:17 179:25	109:18,24	233:19,25	188:12 285:14	durable 107:13
184:23 187:3,7	111:1,1,15	234:4,24	dr 5:24 9:7	159:1,14
201:8 203:5,8	113:23 115:18	235:24 237:8	19:22 36:16	duration 47:13
217:1 222:17	115:23 116:19	238:19 242:12	55:4 93:7	47:20 70:14
230:5 243:17	117:3 120:7,20	244:14,22	115:16 138:2	73:18 74:10
248:12 276:8	120:21 121:11	245:13 246:14	144:12 181:23	99:10 100:22
278:19,23	123:10,10	248:13 251:1,2	182:5,23 183:4	122:6 123:17
279:2,18 280:2	125:15 128:8	252:6 253:6,6	214:7,16,23	132:16 204:15
281:5,6 313:18	131:3,17 139:2	255:21 256:17	216:24 217:4	209:23 210:1
318:10 319:18	139:9 140:18	257:5,16	218:2,9 219:5	240:23 262:10
335:8	143:15 146:24	259:21 261:16	219:11 220:3,4	268:25 310:1
dollars 216:17	146:25 147:12	262:24 263:23	262:19 285:9	317:5
dominantly	148:13,16	264:7 266:14	286:3 288:21	dynamesh 219:6
117:1	150:12 151:9	266:18,18,19	300:17,20	219:19,22,22
donor 174:2	152:10 153:1	269:14,15	332:22	220:7
dont 9:16 10:22	154:17 155:21	270:15 271:18	draft 191:6	dysfunction
10:25 13:20	156:23 160:3	271:25 272:15	drafting 152:7	78:14 82:2,5,9
15:24 17:2,13	160:12 163:10	277:24 281:7	dramatically	82:16,20
18:19 25:10	163:20 165:3	282:25 283:7,8	23:7	124:14,20
26:3 29:8,14	165:24 170:13	283:9,16	drawn 260:23	125:3,8,10,17
30:10 34:3	172:24 176:12	285:13 289:5	draws 57:25	125:20 136:10
36:7,12 38:11	177:24 178:20	291:25 299:18	drink 9:15	145:8 187:12
38:15,17,18,24	178:20 179:20	299:24 301:17	drive 4:9 10:19	188:20 190:1
39:3,3,15	180:13,17	302:16,17	10:22,24	317:13 331:24
40:12 41:2,11	182:6,9,24	304:10 307:1	115:11,12	dyspareunia
42:3,12 44:16	186:3,5 187:25	308:18,20	dropout 64:5	43:23 44:18

Daniel Steven Elliott, M.D.

Page 352

67:21 68:2,5,8 68:12,19 69:4 72:11,14,15,17 72:20,21 73:5 73:8,16,20 100:24 101:24 111:10,20 191:25 207:2,5 207:8,13,14,18 208:1,6,16,23 253:5 254:3 270:10,19,22 270:24 271:4 272:5,6 325:5 325:11,20,21 326:3 327:15 328:6,13,23 329:2,14,24 331:24	94:24 education 12:1 12:16 13:7,8 13:12 14:13 15:22 59:16 156:20,25 300:14 effect 177:23 252:24 269:24 272:19 301:21 304:25 306:11 314:24 effective 77:1 96:9,15,24 97:6,9 118:6 134:25 142:18 143:3 147:17 148:8 150:21 151:12 175:15 175:22 184:8 186:10 187:10 188:6 189:16 189:18 263:10 264:4 effectiveness 96:10,18,20 137:6 264:25 265:10,14 effects 106:12 108:2 110:4 efficacious 97:15,19 107:21 238:8,9 efficacy 21:18 22:19 37:15 45:10,14,17 54:23 71:5 94:6 97:12 98:24 99:2 106:8 127:2,6 127:11 129:19 129:19,19 134:16 158:12 159:1,15 161:10 188:15 238:15 239:12	240:13,14,18 241:1,5 293:4 eight 31:19 38:2 either 40:21 50:3 52:7 53:12 68:24 106:1,16 108:10 214:12 238:7 299:10 305:14 313:4 328:1,7 elastic 296:15 elected 312:15 312:18 electron 242:8 243:18 245:23 electronic 287:9 element 149:24 elements 254:11 eliminate 249:12 eliminated 197:15 198:13 elliott 1:24 3:1 5:2,9 9:2,7,11 11:10 93:7 144:12 262:19 337:8 elliotts 5:24 115:16 elongate 48:12 elongated 307:4 elongation 47:9 48:22 49:3 306:15 email 318:18,19 318:19 320:18 322:18 emailed 318:13 emails 228:14 279:7,15 280:20 318:5 employed 43:5 79:2 132:9 172:17,19 employee 334:14,15	employees 274:9 employer 173:7 175:20 employs 78:19 encountered 24:19 ended 209:15 endopelvic 85:11 endorsed 234:21 235:15 engine 81:3,4 enhance 163:19 entire 77:15 81:23 209:21 211:5 254:13 entirety 90:9,18 enuresis 125:19 environment 166:20 253:22 eosinophil 293:18 equal 162:12,14 163:6 325:3 equally 59:18 equals 65:19 equations 150:24 equivalent 127:6 127:12 eradiated 295:12 erradiation 295:15 erosion 69:13 101:4 110:7 131:20 132:9 133:5 175:25 191:1 204:12 217:11 249:20 250:1 254:3,4 287:13 erosions 78:15 83:9 101:23 108:18,19 132:15,21	133:11,13 190:2,10,18 192:25 204:9 211:13,14 212:3,4,11,14 212:15,20 214:19 errata 335:6,9 335:12 337:6 error 52:25 errors 61:9 especially 122:22 163:16 essentially 44:5 45:5 48:14 161:3 317:7 323:7 established 129:14 148:9 153:19 159:14 265:1 estrogen 234:11 et 1:7,8,8,9,10 1:10,11,11,12 1:12,13,14,14 1:15,16,16,17 1:18,19,19,20 1:21,21 2:2,3,3 2:4,4,5,5,6,7,8 2:10,11,11,12 2:12,13,14,14 2:15,15,16,17 2:18,18,19,19 2:20,21,21,22 2:22,23,24 21:19 54:11,24 55:16 64:1 65:2 66:14 74:17 77:25 89:13 109:5 112:5 116:22 119:1 122:5 123:16 125:22 128:6,18,20 145:23 166:4,8 167:7 177:3
E e 4:1,1 5:1 193:25 336:1 earlier 80:4 83:7 87:1 151:3 171:22 184:21 233:10 260:3 267:17 328:12 early 43:2 181:9 185:7 327:23 easier 13:1 26:20 35:17 183:15 250:10 265:20 266:1,6 easy 15:1 eau 6:17,19 14:24 15:19 16:3 151:19,22 151:24 153:17 154:1,6 155:2 155:9,12 eous 15:1 ed 64:18 132:14 133:12 editor 200:9 editorial 94:23				

178:10 192:1 192:24 193:13 198:12 200:13 201:9 237:25 247:20 248:18 260:14 264:9 293:8 307:21 ethical 202:12 247:1 ethically 272:22 ethicon 1:3,8,9,9 1:11,12,13,13 1:15,15,17,17 1:18,20,21 2:3 2:4,5,6,6,7,8,9 2:9,10,11,12 2:13,14,15,16 2:17,18,19,20 2:21,22,24 18:3 51:14,18 53:13 78:22 80:11 83:18 113:2 131:15 132:1 133:1 137:23,23 138:7,12 162:23 164:5 168:1 177:23 179:19 180:16 216:3 227:18 228:8,13 229:1 230:19 231:1 239:6 240:5 246:3 251:8 257:8 259:18 262:21 271:9 272:18 274:9 276:9,22 277:5 305:4 306:15 324:5 ethicons 128:18 236:18 278:2 284:3 etiology 244:22 europe 135:11 154:14	european 12:7 12:13 14:25 290:21 evaluated 58:22 61:7 136:3 242:11 303:22 303:23 evaluating 238:15 evaluation 237:11 evaluations 59:19 event 258:12 events 127:16 181:10 249:7 249:16 eventually 175:5 everybody 170:17 280:20 evidence 5:18 56:1,2 59:23 60:2,25 61:11 63:2,19 80:22 96:10 98:24 106:11,18 110:5 135:25 161:9 183:8 191:21 204:6 206:5,6 226:12 249:20,23 evidencebased 152:15 evolved 149:25 150:17 exact 17:5 18:6 25:23 27:18 30:10 31:3 36:7,21 40:12 54:18 133:15 226:11 242:12 244:22 258:18 307:7,18 308:15 310:20 exactly 29:14 42:1 43:1	67:24 140:11 147:24 189:18 233:19 245:13 248:13 254:12 263:14 315:13 exam 35:1 102:15 139:20 139:23,24 140:2,4,7 249:22 examination 5:3 5:4 9:5 323:10 326:12 examine 102:5 examined 3:2 204:12 example 102:19 129:2 141:19 231:6 267:16 270:18 examples 204:9 excision 209:16 209:20 210:25 211:6 212:18 212:24 213:4 excluding 232:1 exclusively 27:23 145:12 excuse 14:3 29:2 30:9 48:15 83:14 238:1 exercises 158:5 exhibit 5:8,10,11 5:15,18,19,23 6:3,8,11,14,17 6:19,21,22,25 7:3,8,13,20,21 8:3,6,9,16 9:1 9:21 11:2,7,8 11:13 19:17 54:25 55:2 60:18,19 66:22 66:25 89:11,12 116:1 134:5 139:1 146:7,9 151:18,19,24	154:4 155:22 160:15 171:17 171:19 178:7 185:16 204:21 213:15 222:8 222:25 242:1,3 250:7 264:11 264:12 289:23 289:24 293:11 exhibits 5:6 6:1 7:1 8:1 74:21 301:4 exist 71:18 100:12 117:7 118:2 273:24 273:25 274:1 274:13,25 314:21 existed 38:22 39:7 40:17 231:25 268:8 existence 78:11 existing 82:16 285:7 exists 133:20 181:6 182:23 266:14 268:11 275:1 expectation 318:17 expected 51:24 322:15 expenses 16:19 experience 82:11 85:23 107:2 148:6 160:7 184:11 199:14,20 200:8 201:21 227:10 228:15 230:24 245:4,6 245:19 248:5 258:19 260:5 302:1 305:12 315:25 325:16 326:5 327:9	experienced 75:15 159:2,16 159:17,23 197:3 201:12 202:4 203:23 317:6 expert 10:14 16:15 18:10 19:1 53:10,12 60:24 76:23 106:8 110:13 112:2,4,14,18 112:24 133:1 148:3,6 166:1 167:2 183:5 191:7 192:15 196:7 217:20 218:15 219:2 225:1 228:20 229:8 248:13 301:3 321:5 325:18 331:4,5 331:15 expertise 201:15 218:14 219:1 experts 111:23 112:11 191:3 221:5 225:20 231:2 279:22 282:7 300:6 320:9 321:5 expires 337:16 explain 26:13 71:25 explained 71:21 explanation 83:4 explantation 299:10 explanted 246:6 252:13 explants 7:25 8:5 242:7,10 246:5,10 248:1 248:6,20 250:3 251:2,22 255:8
---	---	---	---	---

Daniel Steven Elliott, M.D.

Page 354

exposed 209:17 212:24 213:4 269:15,16	85:22 242:24 292:11	171:13 184:1 191:15 206:25	313:19	107:10,16
exposure 42:5 46:17,20 69:13 69:23 100:24 101:5 110:14 110:19 136:25 193:11 204:16 205:23 210:25 215:13 233:16 234:6 254:22 257:14,22 258:12,25 267:18 285:5 301:7 302:4 303:17 304:17	extrusion 31:15 31:18,20 35:9 35:11 36:18 46:23 69:13 191:21 225:10 226:9 249:20 250:1 253:5 270:7	213:12,13 220:24 224:22 225:6 231:22 234:11 236:25 241:16 310:23 312:22 313:8 315:22 327:8 333:5,12	fascia 8:11,14 74:4 85:11 120:19 289:25 290:24 291:9 291:14 293:23 293:25 294:4,6 294:14 296:4 296:10 297:21 297:25 314:9	117:9 136:3 138:16 139:15 139:20 140:23 144:14 145:5,7 152:9 155:3 170:6 223:14 300:18
215:13 233:16 234:6 254:22 257:14,22 258:12,25 267:18 285:5 301:7 302:4 303:17 304:17	extrusions 43:24 83:22 108:19	fairly 153:2 313:18 316:5 316:15,19	fascial 312:23 313:9 317:10	fewer 78:14 188:19 190:10 190:18 239:7 265:4 276:24
257:14,22 258:12,25 267:18 285:5 301:7 302:4 303:17 304:17	F	fairness 212:4 271:17	fashion 79:2 303:7,12	fiber 8:4
exposures 42:8 42:18 46:11,14 101:24 193:1,2 233:12 234:2 255:20 256:4 257:3 259:12 285:17 301:11 302:8 303:1,4 304:8	facets 81:2 facial 296:3 fact 6:21 73:22 132:8 155:23 182:22,24 232:8 250:24 324:6	faithful 219:11 fall 61:4 173:8 fallen 151:2 170:12	fast 51:20 faster 124:8 fault 221:21 favor 151:3 170:13 171:3	fibers 50:6 165:5 248:1
extend 164:12 319:7	factor 65:11 233:8 234:1,5 303:9	falling 46:4 50:1 172:22	fda 8:6 262:17 262:22 263:3,7 263:19 264:1,6 264:18 266:16	fibrosis 295:20 299:3
extended 223:24 273:22	factors 17:6 81:17 82:13,17 150:5 183:15 233:13,14,14 233:17,23 234:4 254:11 256:14 258:14 259:12 272:14 292:4	fallout 75:12 falsely 197:16 familiar 26:14 61:16 116:21 134:10 160:19 179:4 185:21 198:15 216:25 217:17 264:15	fdas 264:13 fears 185:9 feasibility 20:24 21:18,24 22:8 22:12,13 199:7 199:10 292:8	field 66:1 file 1:3 10:12,13 10:15 114:12 114:25 115:16 220:17 221:1,6
extensive 117:7 118:2	facts 78:9 102:3 206:5 296:1	family 319:1 320:5	february 21:23 feedback 84:23 85:1	fill 145:22 financial 181:23 182:18 183:1
extensively 61:4 89:19 136:2 147:16 148:4 149:1 197:1 200:5 223:3	faded 151:6 fail 335:15 failed 294:22 failure 21:7 273:9	far 10:7 13:23 16:25 17:1,7 20:4 35:9,15 42:12 46:22 77:7 81:3 96:18,20 97:12 99:4 119:21 128:5 179:23 201:13 218:14 221:17 235:17 235:19 239:13 239:15 245:3 248:12 260:25 264:9 274:13 293:4 298:15	fees 16:14,17 fell 150:20 fellows 170:22 fellowship 28:15 32:9,13 33:7,9 33:14,24 34:3 112:23 160:4	financially 334:16 find 44:24 51:9 63:10 68:19 70:13 147:9 165:20 178:13 206:11 218:18 244:19 249:14 249:22 252:16 252:21 254:17 255:7 266:22 280:23 302:22 310:22
extent 232:5 extrapolate 167:25 253:1 261:13	fail 335:15 failed 294:22 failure 21:7 273:9		female 7:6 11:25 12:11,20 13:10 14:17 15:6,12 15:20 16:5	findings 105:16 105:20,22 106:5 108:1 293:21 298:13 298:18 308:24
extrapolated 240:4 extrapolating 276:25 extremely 74:12	fair 42:14 53:16 57:17 100:8 136:19 152:20 160:1 170:25			fine 9:20 59:25

Daniel Steven Elliott, M.D.

Page 355

91:11 127:12	five 10:6 11:3	250:1 307:23	84:19,20 87:10	240:24 244:11
204:11 230:15	73:25 92:11	307:25 308:13	force 307:5	245:2 251:13
278:6 280:7	106:2 129:14	316:6,16,23	forcefully 43:22	260:11 261:21
286:19 310:10	147:1 204:15	follows 9:4	forces 193:24	265:18 273:6
310:11,15,16	204:17 207:4	215:10	ford 54:11 55:4	276:17 277:23
318:13 320:19	208:22,23	followup 5:20	55:16 59:4	283:6 285:19
finger 84:18,22	209:1,12 210:9	21:8 22:10	89:13 98:5	286:9 316:10
85:14,16 86:1	211:1 237:23	37:8,14,19	189:21 190:4	316:17 328:24
86:3,23 87:11	251:25 252:2	46:9 64:7 65:2	301:2,9	329:6,25 337:5
87:14 109:23	270:21,22	65:6,8,12,20	foregoing 321:2	formal 44:21
245:10 313:16	fiveyear 74:15	66:7,12,12,16	337:3	formally 139:6
313:20,23	201:25 202:1,2	66:19 70:5,9	foreign 131:24	formation 8:17
314:6,7,10,10	fixed 179:12	70:14,25 71:1	133:4 247:18	294:12
314:15,25	fixing 15:15	71:12 72:4	249:8,12	forming 230:2
315:15	flag 270:16	74:11 88:11,15	253:21,22	forms 175:5
fingers 86:20	271:11,22	91:23 94:19	258:4 267:9	fort 4:10
245:16	flags 246:2	95:10 99:10	297:16	forth 228:14
finish 131:12	flat 193:25	100:11 101:3,4	forewarn 9:13	321:11 334:9
279:14	flew 279:20	103:3,9 125:22	forget 294:25	forward 178:5
first 9:3 27:10	flip 104:17	125:25 127:17	form 21:21	fossa 31:17
30:17,22,23	floor 6:16	127:19 129:5	45:22 52:3	found 67:9
31:6,8 32:3	140:24 146:11	132:16 133:13	53:17 54:6	81:22 116:25
33:1,2,16	158:5	145:3 178:19	55:6 56:16	187:8 191:4
34:12 39:21,22	fly 278:3	178:21 188:2	68:14 70:20	194:16 226:7
39:23 48:21	focus 51:24	191:3 196:13	73:7 78:8,21	242:21 248:7
58:19 87:15	111:20 223:7	197:3 203:20	80:24 82:23	250:5 255:1,9
104:8 105:8	229:7 236:3	206:2,8 207:17	89:25 90:7,14	285:4,9,9
112:3 121:21	246:22 267:2	208:7 209:22	90:23 91:6	286:7 291:13
122:19,19,22	focused 16:3	209:25 210:6	93:10 96:16	292:20 293:2
126:9 140:17	19:5 103:8	210:24 211:3	97:1,8 99:1	293:16,17
152:23 153:11	157:3 272:4	261:25 262:11	101:18 103:17	294:10,17
154:12 204:4	277:13 329:1	265:3,5 266:7	106:17 107:6	295:7 296:18
204:25 205:3,5	focusing 13:8	268:1 269:1	107:18 117:13	297:18,23
217:14 232:18	follow 65:23	293:7,13	117:22 121:1	299:16 309:7
240:15 247:22	73:11,14,16,19	294:16 309:21	122:16 124:18	317:11
264:24 281:13	179:5 311:2	311:9 323:3	125:11 141:5	foundation
292:1 301:4	followed 75:11	326:11 328:22	144:23 159:5	156:16
320:20 324:9	137:8 177:6	329:14	163:14 166:13	four 21:8 22:10
324:23 326:17	210:16 265:2	followups 37:22	170:9 171:5	91:9 129:14
334:6,9	268:22 309:6,7	70:22 102:6	176:9 177:16	207:1,4,7,13
firstline 153:15	following 22:18	footnote 52:22	182:2 184:12	208:23 225:9
153:20,22	37:4 67:10	54:13 229:21	195:1 197:25	226:8 230:17
155:19 170:19	99:22 100:14	footnotes 52:19	204:5 205:25	248:3 258:20
firsttime 24:4	126:15 129:24	53:7,12 230:21	215:2 216:19	270:20,21
fit 231:4,4	132:15 144:20	foramen 43:20	217:13 223:1	272:5,7 320:9
275:25 313:16	145:11 246:24	44:8 45:7	237:4 238:18	fourth 69:11

Daniel Steven Elliott, M.D.

Page 356

fourtime 225:9	garcia 1:17	103:9	113:2,3 146:5	220:20 226:20
frame 27:22	gathered 21:13	glove 50:7,17	162:19 236:1	227:16 230:16
29:10 37:23	60:5 64:14	glowing 286:1	313:19	232:19 233:3,8
179:22 210:19	gauge 314:19	go 11:3 21:22	going 11:19 17:4	243:3 246:14
305:8	general 19:12	34:12 35:18	17:12 18:15	249:14 253:6
france 288:24	23:13 63:14	54:9 58:18	21:15 22:25	255:6,10,25
frankly 52:6	100:3 120:2	74:19,25 75:8	23:2,23 25:1	258:11,13
fray 307:6	123:25 212:20	95:4 98:6,7	30:19 31:1	261:20 266:13
fraying 47:8	266:13 278:19	114:5 122:3	35:13 36:1	268:10,11,24
227:7 231:16	280:5 281:2	123:23 125:15	46:21 50:22	269:1,3,16
305:3,23 306:5	318:7,14	134:1 153:3	57:10,10 58:4	270:7 271:23
306:8,20,21,24	320:16,21	156:21 164:7	61:20 62:11	278:4,24 280:1
free 315:17	321:17	165:24 166:1	63:8 70:9,10	280:3 281:11
freeze 295:12	generally 279:11	167:2,5,19,21	71:6 73:9 81:1	292:4,4,5
frequency 47:12	284:4	179:8 180:18	81:7,14 82:10	303:9 311:4,25
47:19 125:19	generation	183:16,18	83:22 87:17	312:3,3 314:2
frequently	160:24	186:7,22	91:7 92:8,9,22	314:11 318:16
154:13 287:23	genitourinary	191:25 201:16	92:25 93:17	322:2
front 186:8	12:10 15:3,4	202:12 216:21	96:19,22 97:13	gold 147:19
220:8,13,16	george 309:3	239:18 240:14	97:15 98:6,7	148:14,15,23
ftir 243:19 244:9	german 219:6	242:19 246:15	98:16,20,21,22	149:4,8,11,16
full 9:9 114:19	getting 87:11	248:22,25	99:6,7 103:7	149:20,22,23
114:21 146:19	180:14,15	258:23 259:2,2	108:12 112:14	149:23,24
146:21 147:7	246:25 256:10	270:7 276:20	112:15 113:1,6	150:2,14,16,22
147:13,13	gillum 2:14	281:22 282:5	119:24 120:17	150:25 199:9
247:22 263:8	give 22:24 32:6	282:10 283:1	124:7,23	214:10 218:5
fulllength 147:5	36:7 41:22	283:18,25	127:14 128:2,7	222:16
147:12	44:10 54:18	285:19 286:24	138:8 141:6	good 9:7,8 12:19
fully 105:12	60:21 74:20	287:5 288:6	144:25 148:3	22:15 34:15
function 16:5	81:25 87:13	293:9 299:1	159:19,24	61:21 62:8,9
functional 12:12	100:20 115:8,9	300:12 303:19	160:13 165:5	67:19 73:1
15:12,21 16:6	119:10 122:11	306:7,12	165:25 166:19	88:6 90:5 91:3
155:4	123:2,6,8	311:23 312:13	166:22 167:3	93:6 132:2
funded 81:5	159:24 204:9	314:17 317:23	170:18 185:1	168:1,20 169:1
317:9	232:25 233:1	317:24 318:3	188:25 189:1	202:24 205:20
further 105:14	237:22 247:15	320:8 321:8	190:19,23	219:2 257:7
110:2 135:24	307:7 323:6	322:3,6,25	192:9 195:15	261:14 288:6
137:5 176:14	given 9:21 13:14	323:9,21	195:17 196:25	295:6,7,9,10
189:14 210:5	113:12 185:17	325:15,25	197:18 198:9	296:13 310:14
309:9 334:13	242:2 334:7,12	330:17 332:24	198:23,25	317:17
	337:4	333:13	199:2,23	goodwin 1:6
G	gives 213:9	goals 13:12	201:10,14	52:9,12,15
gaining 298:21	giving 92:20	14:18 15:24	202:5,21,23,24	google 266:17
games 91:24	213:16 272:15	god 285:25	203:11,24	gortex 129:3
gap 230:17	325:4 332:9	goes 20:5 62:7	208:5,18,18	gortexes 274:22
314:4	global 102:17	72:22 86:22	210:2,19,20	government

54:20	141:20,24	322:2	heard 18:15	hey 288:16
grain 27:21	151:20,22,24	happened 18:18	59:23 78:9	high 57:21 63:15
grams 48:4	153:17 154:2,5	42:1 65:13	154:17 168:9	63:22 64:24
237:3	154:19,23	74:13 245:7	219:7 225:7	132:20 138:7
grand 3:6 4:3	155:8 161:2	308:1	heavily 156:23	160:11 161:9
299:17,25	guides 156:17	happening	heavy 45:5	184:9 196:12
300:7	guys 125:12	95:19 196:20	235:20,21	201:22 202:20
granulomas	209:7	happens 74:14	323:19	214:19 242:24
131:17	gyn 28:11	131:25 294:8	heinonen 329:13	287:13 296:19
graph 67:20	170:23	happy 37:18	helical 109:24	308:19,22
69:4	gynecol 88:20	209:10,12	hemmed 47:5	316:5,15,19
graphs 67:18	gynecologists	haptic 84:23	224:20	higher 111:25
great 51:20 89:6	176:5	hard 43:20	herbertson	166:20 187:23
232:11 255:10	gynecology 7:14	249:23 310:21	66:15	189:8,11 190:9
309:11	gynemesh 251:4	319:23	herbertsson	196:25 200:14
greater 44:15	251:23 285:7	harmon 2:9	66:14 87:25	200:18 201:10
66:18 108:5	gyns 171:1,1	harvest 120:5	88:15,19	203:1 225:4
112:7 113:5		325:4	heres 66:17	226:17,21
132:17 133:13	H	harvested 23:15	114:24 188:16	274:5,15,22
162:12,14	half 94:17	25:12 296:4	hernia 7:20	295:13 316:22
163:6,17 165:6	167:15 242:22	325:2	53:14 54:3,8	317:12 327:16
211:4 265:15	255:8,9,10,11	harvesting	166:10,18,18	328:6,21 331:4
285:5	255:15 288:1	56:15	167:1,23	331:13,15,18
greatest 294:11	hammered	hasnt 91:14,15	193:16,24,25	331:23
gross 14:6	280:17	257:23	194:2 213:23	highest 291:11
grossly 299:9	hammock 174:3	havent 83:1,13	223:3,4 240:3	highland 4:13
group 64:2	hand 334:17	132:13 136:14	241:7 273:20	highlighted
137:8 154:10	handed 55:1	223:10 237:20	274:8 275:13	94:25
155:1 246:9	116:2 134:6	head 36:19	276:4,23	highlights 95:6
270:20 286:3	146:8 171:18	119:1 195:9	284:24	highly 75:15
groups 59:17	handle 179:11	headtohead	hernias 223:14	96:8,15 119:18
69:14 142:12	handouts	118:24	223:24 239:17	125:1 197:3
guess 10:14 17:2	156:25	heal 129:22	hes 91:12 93:11	201:11 202:6
82:18 118:10	hands 36:11	176:19	115:22 144:6	highquality 70:1
234:24 246:23	70:1 75:15	healed 74:1,7	161:23 182:8	highvolume
257:15 308:18	97:16 111:22	257:23	208:13 211:24	111:23 112:2,4
308:20 321:12	159:3,17	healing 7:16	214:25 215:3,8	112:19 119:20
guesstimate	170:16 191:3	74:13 206:9,13	215:25,25	170:15 201:15
30:14	201:12 203:18	206:17,19,22	216:16,22	202:18
guideline 160:17	261:14 316:1	213:8 258:3,8	218:15,16,16	hinoul 303:20
160:24 168:24	317:6,7	267:20 268:4	218:18,22,25	hired 173:13
169:4 331:21	handy 282:15	268:23,25	219:2,13 220:3	histologic 7:14
guidelines 6:17	301:3	269:11,23	220:4 221:20	hit 109:22
6:19 15:24	happen 43:25	270:1,5,13	222:17,18	hitting 108:14
109:4,10	145:2 210:2,19	hear 15:15 87:1	259:16 300:23	hold 74:22 75:6
125:16 141:11	307:8 311:4	130:14 180:2	319:21 332:15	131:12 181:21

Daniel Steven Elliott, M.D.

Page 358

214:20 245:8 256:25 holding 222:8 holds 175:2 277:2 hole 35:5 holmium 307:10 307:19 310:24 honest 156:21 282:4 hopefully 54:22 99:7 224:19 291:22 horrible 30:7 232:12 294:21 hospital 44:11 122:6 123:17 124:9 176:17 183:14 184:4 184:10 188:21 hospitalization 123:13 124:5 hou 260:14 hour 16:16,18 17:17 319:4 hours 3:5 16:21 17:8,9,10,14 17:16 18:6 92:10 278:25 281:6,10 282:8 317:21 319:3 319:21,22 320:11 321:5,7 321:14 322:1 322:15 330:15 330:17,18,20 330:22 332:24 house 173:21 houston 64:20 huge 276:21 human 53:22 291:15 humbert 2:13 humum 278:13 hundreds 80:15 160:9 198:16	216:16 hydrogen 253:24 hypochloric 248:18 253:24 hypotheses 243:22 hypothetical 144:24 302:24 hypothetically 302:25 I ics 6:21 155:24 156:2 288:23 id 14:3 20:19 21:22 32:5,15 62:19 63:5 67:8,23,25 88:12,17 94:12 99:3 102:6 109:9 114:5,24 123:23 124:21 126:8 133:21 138:4 142:14 150:11 152:25 157:24 187:18 187:25 188:2 190:6 192:4 194:21 195:4 216:4 233:19 242:10 244:13 244:17,18 251:15 283:18 284:22 289:12 303:19 304:9 304:20 308:9 308:14 309:15 309:18 310:21 312:19,20 317:20 329:20 330:11 332:2,3 idea 72:15 302:11 319:18 319:20 320:1,3 ideally 68:4	identical 49:12 82:9 84:13 identifiable 254:17 identified 80:23 254:10 identifies 162:1 identify 110:14 153:21 251:11 253:12 identifying 300:11 ifu 41:17,24 47:11,14 ifus 47:18 ignore 180:6 ill 9:12,15,17 30:4 60:21 66:15 87:13 113:19 115:9 119:10 137:16 199:20 200:6 207:22 209:5 215:20 228:2 228:11 237:22 264:22 279:13 288:24 308:22 310:7 311:15 311:22 316:11 318:13,14 320:24 323:9 im 9:13 10:13 12:9 16:8 19:5 24:1,3 26:13 26:25 27:8 31:1 36:1 42:10 43:10 47:24 49:15 52:5,6 54:12 58:3,20,21 59:7,9 61:16 62:3 63:1,8 65:15 67:3 71:17 74:24 75:4,4 77:23 79:16,22 80:18	86:11,13 87:15 88:3,4 91:7,25 92:3,8 95:6,6,9 96:19 98:6,7 99:14 100:2,13 100:13 101:9 102:7,13 103:6 103:7,7 104:11 106:21 109:23 117:20 119:24 122:4 123:22 124:11,12 125:14,15 126:4 127:14 128:10 138:8 142:21,23 144:8 146:18 146:23 147:8,9 155:4 157:3,13 159:15 162:4 162:25 164:2,8 168:19,21 172:19 173:19 173:20,23 177:9,10,10,17 178:13 179:25 180:20 182:15 186:4,8 188:10 188:25 189:3 190:23 192:6,9 194:9 198:15 198:16 200:10 201:7,13 203:25 205:4 206:11 207:2 208:15 210:7 210:17 211:21 211:23 214:4,4 214:5 215:17 216:25 217:1 217:17 218:25 219:11 221:23 222:6,8 223:18 224:1 225:8,12 227:3,21 229:23 230:6	231:10 232:19 233:3 234:2,3 236:8,11 237:19 238:12 239:5 242:13 244:6 245:18 245:21 246:23 246:24 248:13 248:22 251:17 252:3 253:6,13 256:2,9 257:11 259:8 264:7 267:12 269:19 270:8 274:8,23 277:12,13 278:4,9,19,23 279:15,18,21 279:23,25 280:1,2,8,24 280:25 281:1,3 281:5,6,9,25 283:8,8 284:15 285:23 298:14 299:14 300:8 306:22 307:21 309:24 310:16 314:2 316:3 319:19 320:18 321:15 322:22 323:7 324:25 325:2,6 326:1 329:18 330:13 330:15 332:9 immaterial 232:13 252:19 immediate 122:17 188:25 327:9 immediately 44:10 121:21 316:25 immune 249:9 296:22 297:15 immunohistoc... 7:15 impair 258:3
---	--	--	---	--

Daniel Steven Elliott, M.D.

Page 359

impaired 267:20 269:2,13	205:19	incompetent 290:13	175:23 183:24 185:6 189:20	189:25
impeded 9:16	imprecision 59:2	inconsistent 187:18 205:8	191:13 192:18 200:17 201:1	independent 40:19 80:12
imperative 335:11	improved 32:21 142:19 143:6	incontinence 5:17,22 6:7,10	205:18 213:18 214:2,5,25	90:2 117:5 137:25 138:6
implant 289:21	improvement 106:1 144:22	6:13,18,20,22 6:23 7:7,11	216:8 218:4,14 222:4,23 223:9	172:17 254:25
implantable 70:7 72:9	inability 68:9	13:11 15:10,19 16:4,12 19:6	223:15,21,25 234:21 235:3	independently 78:10 136:12
73:11 75:23 128:17 129:1 131:4 210:12	inaccurate 14:7 298:13	20:8 24:22 27:16 30:18	235:14 236:4,5 237:2 238:6,16	indepth 8:3 247:25
implantation 249:7 250:4	inadequate 70:9 70:12	32:12 33:4,11 37:4 42:15,22	240:1,6,10 241:11,20	index 102:17 103:9
253:21 255:1 256:14 284:6 307:5	inadequately 259:9	43:13 48:3 51:19 55:4	262:21 263:5 265:13 266:1	indicate 62:1 indicated 231:7
implanted 31:20 48:8 76:9	incidence 72:15 208:19 261:3,4	56:9 63:14 76:16 78:7	272:21 273:15 274:3 275:3,14	299:2 indicates 106:12
103:20 164:22 165:22 193:19	261:9 305:23 306:4,11,24	89:21 96:21,25 97:5,14,20	275:16 276:12 277:20 281:21	indirect 243:24 individual 61:12
implanting 50:13	incidents 69:22 305:17	100:7 104:8 105:9,25	282:21 283:23 284:13 301:23	70:13 144:24 152:16 156:23
implants 145:20 213:17 242:22	incision 57:4,8 84:1,7 85:13	106:20,25 107:10,17	284:13 301:23 304:4,23 305:7	174:12 201:23
247:3,23 255:15	176:15 184:3 297:1	108:25 109:4 116:5,15,23	305:22 306:6 307:14,15	individuals 24:10 60:4
implications 8:15 274:22	incisional 324:25 325:7	124:2 125:18 134:9 136:4	309:8 310:6 incontinent	75:11 157:25 157:25 160:12
290:1 292:21	327:4,11	138:17 140:16 141:11,20,23	11:24 153:10 276:16	166:3 226:11 261:25 271:15
implies 83:1 326:3	include 15:9 83:15,17	144:15,21 145:5,6,10,14	incorporation 166:6	307:24 308:2 309:6
importance 60:1	120:24 121:8 136:23	146:14 147:20 148:15 150:17	incorrect 36:2 72:19 103:18	industry 162:3 235:17,18
important 66:8 66:9 69:7	included 58:19 59:4 69:1	151:2,25 152:22 153:16	132:12 191:17 285:14 296:1	inert 7:24 infantile 170:8
71:21,25 72:16 73:5,9,13,16	78:25 98:21,22 113:9 197:23	153:23 154:6 154:15 155:8	increase 127:15 267:9,10,11,11	infected 299:9 infection 45:7
73:19 74:8,12 74:15,16 75:24	198:9,25 231:5 248:2	155:14 156:7 157:2,5,6	increased 225:10 226:9	176:1 193:12 194:8,12,13
93:18 131:6 181:18 195:4	including 10:20 97:11 111:6,13	158:12 159:22 160:18 166:11	288:15 293:3,4 309:8 325:19	195:21,23,24 249:12 253:4
232:6,7 236:21 240:17 246:1	119:6 136:8 137:7 175:25	170:7,10,15 171:20,23	327:4,10 increases 296:4	258:15 267:12 303:11,11
298:11,17 299:16	180:9 245:19 250:3,22	172:5,14 173:3 173:8 175:16	increasing 292:13 296:12	infections 192:25 193:3
impossible 138:5 195:13	258:19 276:9 277:7 324:4		incurred 78:13	194:24 196:2 infiltrate 293:18
	328:3			296:20

Daniel Steven Elliott, M.D.

Page 360

inflammation	129:16 164:20	149:19	233:23 234:1	103:18 109:22
8:17 166:7	166:6 204:1	interrupt 146:23	268:9 299:21	109:25 110:1
193:12 195:24	integrated 46:19	285:24 317:20	involvement	115:9 116:2
227:8 253:22	integration	323:7	64:4,5 217:1	119:20 131:16
288:3,15	45:20,24 46:7	interstices	iquinto 1:9	134:6 136:12
293:18 294:4	46:13	292:15	iron 149:23	146:8 159:17
297:10,19	intended 216:7	intertwined	irritated 208:2	163:10 166:2
299:2	222:3 223:8,13	193:11,12	208:24 209:11	171:18 185:17
inflammatory	223:16,19,21	intervention	209:13	187:17 204:10
131:24 162:21	223:23 235:2	55:2 154:14	irritation 58:15	217:6 221:25
163:12 164:4	235:13 236:3	intestinal 291:10	isnt 38:19 54:2	222:9 226:15
165:23 247:19	239:25	intestine 8:12	68:2 86:17	227:25 231:10
248:15,16	intention 320:8	293:24 297:5	178:14 186:4	240:2 242:2
249:9 254:1	intercourse 68:9	intestines 297:5	210:9	244:18 252:8
258:15 267:10	111:6,13	intimately 193:2	isolated 123:25	254:12 256:18
293:19 296:20	interest 180:11	intraop 121:12	issue 17:20 66:2	256:18 264:5
information	181:5,16,17,23	intravaginal	68:25 79:17	266:21 273:7
6:25 40:24	182:6,11,13,19	217:10	96:1 106:9	281:14 286:6
104:15 114:11	182:20,20	introduced 29:9	114:2 124:11	305:25 316:19
163:10 164:21	183:1 219:19	39:18 40:10	131:6 133:17	317:15,17
171:21 173:17	221:18,19	intuitive 82:6	189:2 201:23	322:5 329:19
198:3 230:2	interested 316:3	intuitively 255:2	201:24 228:21	330:2
263:23 302:23	334:16	invaluable 60:6	232:19 261:1	
ingeneration	interesting	invasive 7:9	266:11 296:11	J
162:17	73:22 152:8	12:6 56:8,13	308:19	jackson 3:8
ingrowth 292:14	216:14	56:17,20,24	issued 51:22	jacquetin 285:9
initial 21:24	intermittent	57:7,11,13	126:5 160:17	286:3,23
87:3 214:18	317:2	134:25 183:13	issues 14:23	288:21
215:7,18	internal 14:10	183:21 184:21	25:24 65:21	javins 2:4
239:12 258:8	53:19 65:3	185:1 186:9,25	83:6 96:3	jersey 278:10,19
298:22 313:16	115:21 167:7	187:9 188:5	100:23 148:3	279:11 280:5
initially 30:4,6	227:6 228:11	inventories	179:21 195:17	281:2 282:7
44:5,7 49:17	228:13 229:1	103:10	itd 43:21 49:19	318:7,14 319:9
inject 120:18	239:6 284:7	investigators	iuga 6:8 134:9	320:9,16,21
injections	302:2 305:3,11	113:10 200:1	135:7 137:11	321:4,6,13,20
153:13	306:2 324:5	invoices 17:20	138:14 288:23	322:16
injuring 108:6	international	17:25	iugas 138:20	job 73:1 220:15
insertion 110:8	5:11,19 7:3,21	involve 70:4	ive 9:21 13:17	220:16
instability 67:5	11:23 12:3,8	81:1 87:5	18:15 27:2,9	joint 288:23
67:9 94:15	12:14,15 65:3	involved 14:15	28:8 42:7,12	jones 2:12
95:16,24	119:2 148:7	17:13 20:2,4	43:7 49:24	joseph 1:6
101:24	160:10 199:15	22:14 28:8,11	52:8,14 55:1	journal 5:11,19
instructions	200:9 227:15	150:5 152:6	58:2 59:22	7:3,13,22 8:9
335:1	internet 115:3	162:16 179:22	61:11 76:8	62:5 65:4
insufficient	interpret 299:7	197:7 202:4	83:2 85:23	119:2 160:9
128:7,21	interpretation	218:17 233:18	86:9 90:2	199:17 290:8

290:10,21 293:14 journals 62:4 199:17 200:10 judge 1:6 51:22 51:24 52:9,12 52:15 278:3 judy 318:10 july 55:21 155:24 jump 280:21 june 140:1 237:25 jury 180:2 justice 310:21	183:4 193:16 210:14 213:17 213:22 214:1,7 214:16,23 216:24 217:4 217:20,22 218:2,9 219:5 219:11 220:17 222:2,21 324:2 326:14,17,24 klengel 168:6,8 168:15,15 klinges 218:13 220:3,4 klosterhalfen 166:4 167:23 182:16 255:4 324:2 326:14 326:17,24 knew 33:1 50:13 114:22 180:8,8 240:5 276:22 277:14,14 319:19 knock 92:1,4 93:2 knot 133:3 313:16 314:9 knots 313:18 know 9:12 15:25 17:2,14 18:8 18:19,25 19:4 20:24 31:1,24 34:3 36:24 38:12,16,17,18 38:25 39:3 41:20 42:12,12 44:16,22 45:23 46:6,22 47:1 47:17,24 48:9 49:7 51:9 55:9 57:2 59:10 62:7,8,21 63:10 68:5,15 71:19 72:20 73:2 77:7,12	78:1,3,4 79:15 79:19,23,25 81:4,18 82:14 82:17,19 83:1 83:5,23 86:1 88:5,10,14 94:5 95:3 99:19 102:3 104:11 108:24 109:6,7 113:17 115:18,21,23 116:17,19 117:15,25 121:11 123:10 123:19 125:5 125:14,25 127:22 129:25 130:10,14 131:7,11,19,25 132:25 133:10 133:19 137:22 138:5,9,13 139:9,10 140:11,12 142:15 146:24 146:25 147:12 148:16 151:9 155:5,21 156:15 163:10 163:18,23 164:5 167:17 168:16 169:3 177:24 178:20 179:20 180:7 180:10,12,14 180:17 182:5,7 182:8,22,24 185:11,12 195:13 196:10 196:14,23 197:21 198:18 201:21,24 202:11,22 203:2 204:8 205:19 211:19 212:5 216:2,23	217:3,6,23 219:4,8,10,11 219:12,16,17 219:18 220:1 221:15,24 225:15 226:5 231:17 233:25 234:4 235:19 235:21,24 237:6,8,8 239:13 243:17 244:14 245:13 245:13 248:13 248:15 250:8 251:1 252:18 253:6 256:4 257:16,18 259:21 260:25 261:16 263:3 263:23 264:7 266:14,15,18 268:7,10 270:15 271:7 271:10,15,18 271:23,25 273:20 274:9 274:13 277:18 278:9,11 280:24,25 281:4,4,7 282:25 283:8,8 283:9,16 285:3 286:2 288:25 289:6 292:1,6 292:20 295:9 297:14 301:17 302:16,17 304:11,19,20 306:4 307:3 308:21,21 310:2,17,19,19 310:20 311:4 316:19 319:10 319:12,18,21 319:23 320:4,5 326:16,20,23	329:11,15,17 332:21 knowing 63:9 knowledge 90:3 149:13,15 164:11 179:23 226:13 230:23 263:19 303:22 305:1 known 75:16 84:23 105:13 108:13 110:17 111:25 132:7,8 184:9 230:25 260:25 261:5,5 268:5 282:2 295:17 knows 132:1 133:1 211:22 213:14 krambeck 293:8 kuhn 192:1,4 kuntz 10:21 kuuva 112:5 197:14 198:12 198:15 199:11 201:9
<hr/> K <hr/>				<hr/> L <hr/>
kansas 3:7,11 4:4 334:5,18 334:23 keep 36:20 44:11 69:1 102:20 112:18 175:6 182:15 182:24 200:7 228:2 keeping 180:20 keeps 145:20 kelly 2:19 151:10 kennedy 151:10 key 127:18 188:23 211:7 314:4 kind 31:11 34:9 211:19 249:25 300:10 kjoehede 66:16 88:3,11 klinge 128:18 166:3,9,25 167:23 168:7,8 168:10,11,12 168:13,18,22 181:23 182:5 182:16,23				lack 122:4 lady 120:6 lambert 2:6 langer 65:2,6 87:24,24 88:1 94:9 laparoendosc... 12:5 laparoscopic 169:6,11,15,16 169:18,21,22 laparoscopica... 58:11 large 43:19 56:1 70:2 101:2,3 162:8 211:5 273:22 274:10

Daniel Steven Elliott, M.D.

Page 362

274:12,25	112:6	274:8,10,12,24	150:7,11	147:14 153:2
276:23 294:16	leave 267:2	276:24 323:13	152:16 160:25	184:10 188:2
323:13,17	314:4,4 315:4	323:17 324:3	166:1,3 180:6	262:24 309:22
324:3	lecture 13:13	lightweights	191:12 199:24	317:15,17
larger 164:25	311:3	239:16	200:4 218:2	332:19
165:13,15	led 78:14 269:23	liked 47:9	227:20,22	longer 65:22
176:15 241:3	left 179:9 289:19	limit 192:23	228:9,14	66:4 90:10,19
275:4,13 276:3	321:2	193:7 247:16	230:23 240:23	91:1 94:9 95:5
289:7	legal 28:10	261:12	249:17 258:18	99:6,7 100:5
largest 36:4 81:4	length 84:13	limitation 261:8	258:22,24	101:6 176:17
100:5	146:19,22	261:12 263:17	260:8 265:3	184:5 206:16
laser 47:4 49:10	147:7,13,14,15	limited 79:6,9	301:25 305:25	255:2,5 258:7
49:21 224:23	263:8 282:6	196:12 209:22	311:19 314:24	265:2,16 266:2
225:5,10,23	328:22	209:25 291:12	315:3 324:2	277:2,6 278:24
226:8,19 228:4	lens 181:19	321:5	325:18	294:16
228:16 231:9	level 5:18 59:16	limiting 80:18	litigation 1:5	longest 147:15
231:13,22	60:12,14,15,25	270:12 271:12	19:2 216:18	longterm 5:12
232:16 301:19	80:22 112:22	linder 11:9,10	little 9:14 50:5,6	5:20 7:4 11:10
303:24 304:13	124:23 160:11	line 27:10 51:17	50:16 58:18	40:20 41:4,11
307:10,20	245:12 248:12	81:23 115:20	105:14 110:2	41:14 72:7
310:24	274:24	152:24 153:11	135:24 137:5	91:5,23 93:8
lasting 295:22	levels 59:16,23	309:9 336:3	158:18 176:14	93:15,17,23
lateral 57:16	60:2 80:23	338:2	189:14 208:4	94:19 95:10
launch 240:18	liability 1:4	lines 147:1,3	210:5 299:2	96:11 98:25
law 3:6	lie 318:17	list 5:10 10:20	lives 143:6	99:22,24
lawyers 216:17	lies 276:21	11:1 114:17	210:18 261:6	101:16 102:10
222:10 229:4	life 5:12 11:11	115:8 137:11	llp 3:6 4:3,8,12	103:2 105:15
338:1	22:17 102:16	220:22 229:17	load 165:22	107:13 108:18
lay 193:25	142:19 144:22	229:18,22,24	local 119:21	118:24 121:16
lead 11:9 35:18	203:25 204:13	230:2 232:4	120:10,11,12	122:9,10
72:11 141:17	272:24 327:25	237:19	120:14,18	124:12 129:23
214:19 286:20	lifelong 70:8,24	listed 1:6 11:20	locally 119:15	137:6 145:2
290:6 323:17	71:12 72:4	230:21	locate 81:20	158:25 159:11
329:12	73:12 74:20	listen 140:20	located 65:10,18	189:2 205:20
leadership 32:19	75:9 128:6,21	literal 162:9	66:8	259:24 265:4
leading 147:18	129:4,10,20	literature 23:10	location 26:18	277:12 298:22
148:11,20	145:17,24	38:19 40:15,16	46:22	309:6,7,21
195:24 323:21	ligament 56:19	40:23 41:7,12	logic 221:21,22	311:5 325:12
leads 233:22	lighter 238:7,16	41:13,15 53:21	221:24 269:13	325:19 327:15
254:2	239:7,18,25	54:2,3,4 57:24	logical 83:4,20	328:14 329:23
learn 32:11 33:4	240:8 241:3,4	58:2 79:5 80:8	logically 231:4	look 48:13 55:22
33:8 34:3 80:1	241:9 275:5,13	97:10 98:8	logs 139:21	56:2 60:20
112:23 194:23	276:3	100:10,18	long 16:10 47:23	62:3,5 66:10
257:11	lightner 36:16	108:9 123:24	68:21 73:18	67:1,17,19,24
learned 32:23	lightweight	127:9 128:5	77:17 95:18	70:13 74:15
learning 32:22	235:24 273:22	142:5 148:25	99:6 123:6,10	88:12,17 89:9

Daniel Steven Elliott, M.D.

Page 363

94:12,13 97:9	267:17,25	192:21 215:5	163:16,19	manufacturers
100:21 101:9	270:18 283:21	250:9 281:17	164:12 248:12	18:11 80:9
101:22 102:6	286:16 298:14	282:24 283:4	253:23	99:15 100:6
102:14 106:7	330:2	292:3 329:19	macroporous	manuscript 10:9
109:9 113:13	looking 14:15,18	330:8	161:21 162:1,5	305:10
113:19 114:5	21:10,18 22:18	love 181:2	162:6,7,12	march 264:21
122:8,8,9	35:14 37:7	low 69:13	163:4 214:20	mark 11:2,8
123:23 124:10	53:6 66:2,4,20	102:20 110:6	214:24 215:14	60:18 66:21
126:8 127:13	69:11 76:22	111:6,13	215:23 216:9	89:6 151:16
142:14 146:13	79:16 81:17	126:17 159:1	macroscopically	178:6 289:22
150:11 168:19	88:24 101:16	159:20 198:19	245:6	marked 9:1 11:7
182:15 183:3,9	121:13 129:18	200:2 203:11	magically 61:21	11:13 54:25
183:9 188:2	129:18,20	292:11 297:10	main 58:17	60:19 66:25
190:6 192:24	167:22 168:23	297:18 317:6	105:16,20,22	74:23 89:11
194:21 195:2,4	184:13 191:10	lowe 1:18	108:1 142:12	116:1 134:5
201:24 202:12	205:20 236:11	lower 135:3	173:8 248:17	139:1 146:7
204:12 207:25	243:4 247:12	197:15,15	258:13 294:7	151:18 154:4
210:4 214:1	247:18 293:22	239:24 241:12	308:11	155:22 160:15
216:4 220:10	294:8 298:15	276:15 304:17	major 26:2	171:17 178:7
220:11,23	302:11,18	324:3	135:1 180:25	185:16 204:21
230:22 232:14	312:4 316:13	lowered 197:17	259:1 261:8,12	213:15 242:1
232:20,24	320:18	lowervolume	295:11 297:16	250:7 263:1
242:11 243:21	looks 47:6 52:25	200:16	majority 53:8,11	264:11,12
247:23 248:25	55:18 206:13	lowest 293:20	53:18 59:3	268:16 271:11
258:23 259:15	216:3	lowvolume	112:12 170:19	289:23 293:11
262:25 280:11	loose 314:4	196:21 198:8	196:22 204:3	301:5
283:2 284:22	315:4,15	200:12,14	231:7,14,20	markedly 44:6
286:14 287:6	loosely 291:22	lumped 183:25	310:25	market 39:11,19
301:13,17	loss 47:8 65:5	lunch 133:24	making 57:8	40:5,10,19
302:20,22	66:6,6 227:8	134:2	136:2 281:9	41:5 77:12,13
304:9,21 308:9	231:17 266:7	lundell 2:20	319:20 332:12	143:14 144:4
308:14 309:15	291:5 306:10	lung 269:14	male 145:6,7,14	144:17
309:18 312:5,8	306:16,18		males 145:9	marketed
312:19,21	307:6	M	malpractice	254:14
317:16 320:5	lost 65:12,19	m 1:24 3:1,5,5	141:1,7	marketing 233:7
329:20,24	101:3 306:16	5:2,9 9:2 87:20	management	277:16
332:2,7	lot 39:25 44:10	87:21 134:3,4	6:23	marshallmarc...
looked 44:17	52:8,14 53:23	171:15,16	mandatory	28:5
45:13 50:25	53:24 54:3	192:11,12	75:10,17 76:5	martinez 1:13
51:4 52:18	61:22 62:9,14	224:5,6 238:24	76:18 109:11	mary 247:20
66:5 77:14,14	77:24 78:9	238:25 278:7,8	manifestation	mass 280:20
90:18 100:18	83:2 85:4	320:12,13	246:13	masses 316:4
134:16 136:6	109:25 139:9	333:15 337:8	manmade 25:11	master 1:3
142:5 165:10	139:12 148:17	machine 334:9	manufactured	material 26:6,7
195:5,6 206:16	167:4 175:1	macro 162:8	51:15 137:23	176:1 247:4
252:15 259:16	181:13 183:15	macrophages	161:20	286:8 298:20

Daniel Steven Elliott, M.D.

Page 364

298:23 299:4,9 materials 8:19 10:2,16 115:9 115:10,20 156:18 290:1 291:7 292:25 294:9,14 295:18 297:11 math 27:7 207:21 208:9 208:10 matney 2:11 matter 16:15 17:11,21 51:25 74:3,4 165:21 181:4 232:10 281:17 matters 188:4 maximize 286:24 mayo 6:25 18:25 19:23 33:19,24 156:24 170:22 171:19,22 172:4,8,10,16 172:17,19,20 173:6,11,16,19 173:24 175:14 175:21 176:7 mcguire 64:18 132:14 133:12 mcguires 64:2 mcintyre 1:14 mdl 1:5 16:24 318:6 320:15 320:20 321:13 321:16 330:15 mean 21:8 22:9 35:25 51:13 60:6 71:1 80:21 86:19 101:19 107:8 107:20 115:7 119:22 120:25 121:12 124:20 125:9 127:12	128:12 130:11 130:21 135:23 147:13 149:16 160:2 162:19 177:17 178:18 190:20 210:5 211:3 214:4 227:19 229:13 233:18 239:6 243:2 244:20 246:21 256:8 264:5 266:5 278:1,1 279:20 290:14 298:5 308:25 309:2 309:13 310:18 312:20 317:19 318:18 319:5 319:16 320:2 332:9 meaning 121:2 121:19,19 290:13 321:14 means 12:23 15:24 26:15 67:10 130:1 148:15,17 162:7 170:18 171:6 206:21 211:3 255:25 256:13 262:2 287:8,16 312:12 measurement 213:9 314:13 mechanical 47:3 49:10,11,23,25 224:8 225:4,24 226:4,10 227:2 227:23 228:21 231:9,16,21 232:9,15 301:18 302:9 303:4 304:12 mechanically 49:21 224:10	224:14 226:18 227:5,13 228:4 301:12,22 302:6 303:1 304:14,16,25 median 66:12 311:9 medical 8:6 11:21 12:1,2,3 23:19 25:4,6 28:23 53:20 54:1 70:7 71:4 72:7 93:20 103:20 145:13 145:15,19 146:4 148:24 160:25 179:19 180:6,16,18 210:12 218:1 227:19,21 228:9,13 230:23 231:2 233:7 234:22 284:3 300:13 323:16 324:1 325:9 medically 26:4 medications 123:7 327:12 medicine 15:6 139:16,20 152:15 181:6 medicines 123:2 mediscan 180:23 medium 96:9,15 235:24 meet 62:12 meeting 113:2 289:2 300:2,5 300:7 meetings 13:13 125:15 139:5 148:7 160:10 199:15 200:9 227:15 299:20	300:11 member 11:25 12:8,8,9,11 15:2,17 16:7 116:10 125:15 139:6,17,18 152:9 members 15:20 139:8,11 155:2 membership 11:20 139:6 memory 289:12 men 152:19 mention 61:24 69:3 72:22 73:4 102:1,2 mentioned 29:15 46:6 59:22 61:7,15 61:18 62:7 63:22 75:21,22 76:8 83:7 87:23 101:10 103:18 106:21 120:17 122:11 127:22 148:5 176:25 181:3 193:10 196:5 196:12 204:19 210:11,13 233:17 240:25 241:17 261:8 268:7 288:11 299:14 307:20 324:7 326:21 326:21 327:18 327:20 328:9 329:1,8 mentioning 112:18 mentor 18:12 30:7 31:5,8 39:20 meschia 216:1 mesh 6:5,12 8:4 8:7,13 18:11	18:15 19:2 20:12 25:15,18 26:8,23 27:15 27:24 29:3 34:23 35:2,9 35:11 36:17 42:5,8,17 43:21 45:6,6 46:11,20,25 47:3 50:2,4 53:14 54:8 56:3 69:23 74:2,3 81:18 82:11 87:7 100:24 101:23 101:23 110:14 110:19 116:4 116:13 117:8 118:3 126:22 127:3 137:22 138:9,10,11 140:13,24 143:12,24 144:13,17 146:14 147:15 158:20 164:24 165:9 166:10 166:19 167:23 174:2,21 175:6 175:15,21 177:7 179:12 179:16 180:24 193:16,19,23 194:2,24 195:10 204:16 205:10,17 210:24 211:5 213:24 215:1 215:14,24 216:18 217:10 218:3,3,15,24 219:2,6,9,23 222:3 223:4,13 223:16,23 224:10,11,12 224:14,16,23
--	--	---	--	--

225:5,5,24,24	215:19,20	164:14,17,25	millimeter	59:8
226:4,18,19	223:4 240:3,3	165:7,18	165:10,13,16	misspoke 42:10
227:2,14,24	241:3,4,14	microporous	165:17 179:11	misstates 91:7
231:9,22	245:7 246:20	162:2	million 135:14	93:11 204:6
233:12,14	274:5,6,12,14	microscopic	millions 142:19	206:4 223:2
235:11 237:1,1	274:16,25	245:12 248:11	143:6	244:12 251:14
238:7,16,20	275:5,13	microscopy	mind 112:18	251:20 276:18
239:25 240:8,9	276:23,23	242:9 243:18	180:20 182:15	324:12
241:9 247:11	277:7 289:25	245:23	200:7 228:2	misstating 92:18
248:1,7 249:23	299:21 324:3	mid90s 327:22	278:3	286:11 299:15
254:22 257:21	328:3	middle 113:3	mine 93:4	mistakes 15:16
258:3 260:1	meshrelated	midpoint 27:11	224:20	misunderstood
262:3,4,12,14	126:14	midurethral	mini 251:24	87:15
263:21 264:14	mesothelioma	5:16 6:9,12	minimal 118:9	mixed 153:23
268:13,17,21	269:15	33:18,23 37:5	118:20 201:1	mmk 28:14,19
269:9,23 270:7	metaanalyses	42:16,21 43:3	minimally 7:9	57:14,16,18
272:14 273:3	61:14 62:2	43:11 46:3	12:5 56:8,13	58:7,9,14
273:20,21	118:16 187:21	47:3,18 49:2	56:17 186:9,25	151:3 169:25
274:9,18	196:15	55:3 56:7,12	187:9 188:5	170:15,21
275:19,23	metaanalysis	56:20,23 69:15	minimize 291:22	171:10 183:12
276:4 282:24	61:3,3,6,16,19	84:9 91:3 93:6	minimum	183:20,25
283:11,17	98:17 111:19	96:8,14 99:23	129:13 162:25	184:17
284:5,14,18	154:20 188:1	100:7 104:6	minneapolis	moalli 237:18,25
285:17,17,22	331:22	108:21 116:25	278:17	moallis 237:10
286:4 287:11	meter 48:4	118:20 134:7	minnesota 12:1	model 8:14,19
287:21 288:2	237:3	134:17,20,23	12:6 30:22	53:21,21
289:6,7,7,10	method 315:2	135:10 136:1	39:23 146:5	131:25 290:1
289:18 290:23	methodology	136:23 138:15	minnetonka	297:13 298:2
291:3 292:11	80:25 98:20	138:24 140:5	146:5	298:15 299:11
292:15,24	152:15,16	140:14,25	minute 74:23	moderate 58:25
293:20,23	177:13,18,25	141:21,25	167:15	59:1 62:1
294:6 295:21	183:9 195:3,3	142:7 143:12	minutes 119:9	63:14 69:2,25
297:10,19,24	225:17 227:4	143:24 144:13	121:20 281:23	102:20 110:4
298:21 301:7	228:22,24	146:19,22	317:21 319:4	111:19
301:12,22	229:7,10	147:7 152:23	330:19,23	moderately
302:10,25	230:18 232:1	153:11,14,22	miserably	265:21
303:5,17,18,25	257:18 258:16	154:12 155:13	294:22	modified 224:17
304:8,11 305:7	271:7	155:18 158:19	mislead 188:10	288:7
305:23 306:5	methods 179:9	161:7 184:20	mispronounce	modifiers 81:10
307:11 323:13	michigan 64:3	185:3 237:24	62:25	modify 169:19
323:17,19	64:19,22	263:8 304:3	misrepresent	200:7 314:2
meshes 70:6	micrometers	323:18 332:1	242:16 332:18	modifying
128:14 166:18	163:17	midurethralu...	mississippi 4:14	224:18
176:3 193:24	microns 162:13	89:18	missouri 3:8,10	monarc 29:20
193:25 214:2,5	162:18,20,24	mild 46:17	4:4 334:4,22	29:20,24 31:9
214:19 215:7,8	163:7,13,20	miller 2:10	misspellings	32:1 34:7

Daniel Steven Elliott, M.D.

Page 366

39:12,17 40:7 40:8 41:7,16 41:25 43:16 44:4,9,15,19 45:16 48:5,16 48:22 49:20 50:4,19 51:1 money 180:15 181:12,13 219:8 monitored 75:13 75:18 76:19 monitoring 76:5 145:18 monofilament 138:15 190:8 190:13,16,20 190:25 215:14 215:23 274:6 274:16 291:3 month 317:4 months 18:19 21:8 22:10 44:15 129:15 130:17,19,20 130:25 131:1 132:17 133:14 178:19 188:3 204:4 206:12 206:13,16,18 210:6,7 265:15 268:1,22 311:10 morbidity 74:11 75:24 118:9,20 131:5 296:5 morbidity 82:15 morning 9:7,8 mortality 65:23 move 70:15 71:9 76:2,12 92:16 102:22 104:2 115:25 128:8 132:4 133:7 168:3 197:20 198:5 202:13	210:21 218:10 233:2,3 239:17 262:5 269:5 284:8 316:8 moved 12:22 moving 120:7,18 175:6 msds 50:25 51:4 mt 251:23 mullens 2:2 mullins 1:7 16:22,23 17:1 18:1 51:23 52:12 multifactorial 233:21 254:20 268:25 multifilament 190:10,17,19 274:4,14 multiincision 264:25 multiple 23:25 24:3,4 55:25 81:2,17 118:14 127:1 177:14 217:22 247:17 252:20 253:19 260:4 273:7 295:4 299:20 313:17 muscle 85:12,15 86:4,21,22 87:8 mutually 322:9 <hr/> N <hr/> n 4:1 5:1 name 9:9 12:19 31:13 62:25 109:7 117:2 141:17 247:24 286:19 288:16 names 152:11 155:5 168:20 168:20	nanoinvestiga... 8:3 247:25 naola 3:9 334:3 334:22 narcotic 123:9 narrow 236:13 239:9 narrowed 223:5 narrowing 246:21 270:13 nation 202:23 national 148:7 160:10 199:15 200:8 227:15 nationally 316:14 naturally 99:6 nature 227:24 301:12 302:9 303:5 nauseam 158:24 necessarily 15:21 23:12 94:5 147:12 160:13 163:15 180:13 296:16 302:15 314:9 necessary 26:5 71:17 76:10 335:4 necessitated 272:16 neck 172:21 173:9 174:4 need 10:21 14:16 32:2 60:9 68:15,22 71:4,7 72:8 94:18 114:11 120:24 121:9 123:9 124:23 129:4 158:1 176:16,18 211:5 230:10 270:17 279:11 286:24 295:15	309:24 310:12 318:1 322:3 333:10 needed 90:10,20 94:6,10 95:10 212:11 321:13 needle 86:21 169:24 170:12 300:21 needles 179:11 179:13 needs 90:8 91:22 179:20 201:5 232:18 246:3 259:10 261:5 271:15,25 318:4 negligible 38:3 48:15 neither 64:8 neuroanatomy 82:5 neurophysiolo... 82:4 neurolology 7:8 never 32:15 35:21,22 43:7 44:21 45:13,13 45:25 51:10 75:18 76:6 78:10 85:23 94:20 95:15 96:17,19 106:7 109:22 110:1 119:20 169:11 185:8 218:16 222:10 266:20 272:25 294:15 303:16 314:16 322:14 327:24 new 10:6 11:3 32:22 41:4 95:25 154:20 199:9 275:24 278:10,19	279:11 280:5 281:2 282:7 318:7,14 319:9 320:9,16,21 321:4,6,13,20 322:16 newell 165:8 newsletter 125:23 nice 6:22 160:16 160:17,24 168:24,25,25 169:2,4 nih 81:5 317:9 nils 4:11 nilsson 112:24 137:14 177:3 178:3,10 179:17 182:12 183:6 201:25 201:25 225:12 225:18 226:2 nilssons 225:9 nine 207:10 221:9 319:2 nocturnal 125:19 nomenclature 52:10,16 54:18 186:16,20 nonautologous 292:25 nondisclosed 325:14 nonexplanted 246:20 nonpathological 247:3 nonresponsive 70:16 71:10 76:14 102:23 128:9 132:5 133:8 269:6 nonsensical 212:17 nonsurgical
---	--	---	---	--

158:13	165:2 235:23	328:24 329:6	occasion 25:22	171:11,11
normal 135:2	303:10 308:15	329:25	27:1	177:8 180:8
186:20 247:4	308:19 332:21	objected 125:8	occasional 37:25	185:7 235:12
normally 186:17	332:21	objection 47:21	occur 24:9 45:20	244:6 261:24
north 135:13	numerous	57:1 71:14	58:13 95:17	286:25 300:3,3
notary 337:18	233:13	93:25 94:3	96:1 126:15	314:3 332:6
note 252:15		95:13 144:2	131:20 175:25	okay 9:19 11:2
noted 63:3	O	198:21 218:8	196:2 204:4	13:4,25 14:5
295:21 297:9	oath 165:8	218:10 230:5	234:18 252:20	14:22 15:2
337:6	179:25 318:23	271:19 277:9	253:9 257:3	16:14 18:4,25
notes 115:1,4,15	obese 82:15	323:21 324:12	318:16	19:23 20:6
115:18,19	obesity 136:9	325:13,25	occurred 252:11	23:18 29:15
338:1	234:3 269:2	objections 92:21	254:24 256:22	30:16,19 32:17
notice 3:3 5:8	object 45:22	objective 190:9	301:11	32:25 34:12
9:22	52:2 53:17	314:12,14,15	occurrence	36:9 42:14,20
notified 145:21	54:6 56:16	objectively	111:5	43:9 44:3
novo 67:9,11,17	68:14 70:20	183:3	occurring 83:4	51:20 52:4,11
67:25 68:16	73:7 78:8,21	objectives 13:12	133:18 204:16	53:1,4,6 56:6
94:15 95:16,24	80:24 82:23	observed 127:16	225:3 258:7	59:22 60:18
187:13	89:25 90:7,14	247:6 287:14	occurs 246:19	61:17 63:1,10
nuances 244:19	90:23 91:6	287:20	249:13 258:12	65:17 67:14
number 5:7 6:2	93:10 96:16	obstet 88:20	258:25 273:17	75:1,2,5 82:1
7:2 8:2 10:9	97:1,8 99:1	obstetrics 7:13	306:6,25 327:6	83:8 86:19
11:9 18:6,9	101:18 103:17	obstruct 309:10	october 32:7	87:16,18 88:8
20:17 22:24	106:17 107:6	obstructed 36:6	116:6 126:3,11	89:17 90:4
36:7,21 66:24	107:18 117:13	44:2	319:11	95:2,8,25
81:25 88:7	117:22 121:1	obstruction 36:4	offer 24:7	98:11,19
95:2 100:20	122:16 124:18	36:10,14	153:11,19	101:14 103:22
111:2 112:16	125:11 141:5	307:25 308:1	169:5,24 174:9	105:4 107:11
112:17 139:9	144:23 159:5	obtained 103:10	offered 152:23	107:15 110:2
191:4 198:7,24	163:14 166:13	obtape 18:12	offering 161:4,7	112:8,13 115:5
199:13,22	171:5 176:9	30:6,16,23	office 4:9 209:7	115:19 117:6
202:21,22	177:16 182:1	31:12,23 32:4	233:6	118:8,12
203:10,19,19	184:12 195:1	39:21 44:6,6	offices 3:6	119:11,13
209:23 211:13	197:25 204:5	44:25 45:3	official 334:17	122:21 128:15
216:25 219:7	205:25 215:2	217:16 266:22	ogah 97:22 98:3	129:6 130:18
242:12,24	216:19 217:13	267:3 274:21	98:7,9 191:5	131:2 132:25
243:2 254:20	223:1 237:4	obturator 20:10	oh 11:17 22:4	135:22 143:25
257:17,19	238:18 240:24	31:17 43:20,22	42:10 58:21	147:4,6,8
267:24 270:13	244:11 245:2	44:3,8,14 45:7	60:18 74:24	149:24 152:6
270:18 283:2	251:13 260:11	45:12 84:19,20	75:4 86:13	153:3 154:18
306:25 307:2	261:21 265:18	87:10	103:4 104:16	157:8 158:19
307:21 310:20	273:6 276:17	obvious 247:1	108:7 125:5	159:15 160:22
315:22	277:23 283:6	obviously 17:5	140:20 141:18	166:14 168:12
numbers 22:23	285:19 286:9	192:21 227:6	142:23 150:24	168:19 170:11
38:2 112:25	316:8,9,17	245:4 247:17	159:11 168:14	171:11 176:10

Daniel Steven Elliott, M.D.

Page 368

177:13 187:8	once 27:2 48:8	257:11 258:13	organized 224:4	252:16
187:14 192:13	92:23 109:22	259:6 272:16	organs 85:21	oxley 1:15
210:7 213:11	119:20 220:18	275:8 285:22	original 51:14	
216:6 218:19	262:11 308:23	294:21 315:11	99:23 100:4	P
224:3 228:6	319:19	323:15,25	126:8 178:4	p 3:5 4:1,1,6
229:20 230:22	ones 31:6 103:25	325:8,14	283:1,18	134:3,4 165:8
231:5 235:12	125:6 142:10	opinions 224:8	335:12	171:15,16
237:16 239:14	150:25 182:17	230:3,20 264:8	originally	192:11,12
241:25 242:6	199:2 209:10	276:18 321:20	180:15 181:7	224:5,6 238:24
242:13,18	212:17 215:6	322:7	314:3	238:25 278:7,8
245:10 247:9	217:8 229:7	opportune	osteopubis	320:12,13
247:15 248:2	269:17 277:7	238:23	58:13	333:15
250:4 252:18	295:9	opportunity	osteopubitis	package 85:18
256:3,8 257:22	oneyear 265:5	247:2	57:19	pad 22:19
258:23 259:5	open 58:9,13	opposed 139:17	outcomes 5:12	page 5:7 6:2 7:2
261:24 264:10	180:20 182:15	231:9,22 262:7	11:11 58:24	8:2 11:21
266:23 267:14	186:2 188:6	290:16 291:17	59:21	52:19,21 53:7
267:16,23,24	200:7 228:2	315:2	outlet 36:4,10	54:10,12 58:20
269:25 270:13	opened 200:25	opposite 226:11	outlined 133:1	104:5,17 115:1
270:14 271:2	201:1	285:24	148:2 273:8	126:11 140:17
272:2 275:4	operate 246:15	opposition	325:18	146:16 153:3
278:21 279:13	operated 24:2	148:12	outpatient 184:3	154:12 156:8
279:21 280:21	operates 19:21	option 22:16	output 329:2	157:8,19
280:22 281:1	operating 135:1	27:15 142:1	outside 29:25	160:21 167:6
281:25 283:20	187:11	147:19 148:20	overactive 67:11	172:8 173:3
284:18 285:11	operation 24:8	153:15,22	125:18 156:6	175:14 186:22
285:15 286:22	104:8 105:8	155:14,19	overall 45:14	192:6,7,24
286:24 287:2	106:2 135:11	157:25 174:8	63:11,13 67:12	193:6 204:25
287:17 288:8	135:19 136:18	options 6:15	69:12 79:13	205:3,5 243:22
290:7,15 292:7	312:10,14	15:18 16:3	110:5 184:23	246:25 247:13
292:10 296:25	operations 5:16	19:11 20:6	204:2 207:10	247:14,21
299:19,23	7:10 55:3 56:7	142:4 147:18	226:20 273:8	250:3 253:15
300:8,15 301:2	89:19 91:3	148:9 265:13	overarching	264:21,24
302:7 306:11	104:6 105:2	oral 21:21	157:4	282:17 284:2
310:8,19	186:10	112:10	overlapping	285:1 292:10
311:13 312:7	operative 119:6	oranges 60:8	15:8	300:4 301:5
314:5 316:14	121:8 188:21	71:8 93:20	overnight	306:7,14
317:22 318:9	296:5	104:1	176:16 184:3	307:19 336:3
318:15 319:14	opinion 26:5	order 51:22	overwhelming	338:2
320:2,3 321:9	56:4 70:12	248:9 291:22	156:22 170:19	pages 55:10
322:20,24	126:20 144:8	orders 52:12	oxford 5:18	77:16 88:20
324:21 326:8	185:13 195:10	organ 213:19	59:16,22,23	114:5 222:13
328:17,20	198:23 201:4	239:18 240:2	60:2	230:17 337:3
330:24 331:5	225:20 227:1	241:22 286:4	oxidation	paid 180:10,15
older 234:9	228:18 241:9	organization	243:25 248:7,8	216:16
olmsted 12:2	241:14 256:25	125:4 156:2	248:10,17	pain 12:15 31:15

Daniel Steven Elliott, M.D.

Page 369

35:9 36:22	199:2,5,11	161:6 215:17	passing 82:7	131:17 136:8
37:2 43:22	200:6 204:19	paravaginal	84:19 85:25	137:8 145:18
44:4,8,14	204:22,25	169:25 170:13	86:4 87:10	145:24 156:13
68:10 111:6,13	205:7,22 206:7	pariente 306:17	108:4 322:22	156:19 173:18
121:24 122:1	206:8,17	paris 288:24	pat 194:16	174:9 176:22
122:14,24	207:15 210:22	parkway 4:13	patent 31:5	177:6 179:9
123:2,5,6	211:24 212:12	parroting	pathologists	184:10 194:19
125:20 136:25	222:24 223:2	179:25 222:17	326:18	199:8 205:14
176:1 184:11	223:10 227:25	part 42:2 85:7	pathology	205:16 207:10
191:20,25	228:3 237:10	103:13,16	246:13	207:16 209:6,8
207:4,11 208:1	237:17,18,21	140:4,7 155:11	patient 16:25	209:15 210:15
208:16,17,17	238:2 241:23	160:6 175:8	23:15,24 24:4	212:23 224:21
208:17,19,19	242:3,6 244:18	176:2,5 193:14	24:5 25:24	227:11,13
208:22 209:2,9	250:14,19	209:17 212:24	31:18 37:13,14	233:5 254:21
233:6 253:5	251:14,20	213:4,7 217:14	43:22 44:7	255:20 256:12
254:3 260:17	253:7 259:6,7	217:15 249:6	48:10 57:7	256:17,23
260:18 261:15	259:15 260:16	263:2 289:15	64:4 72:10	257:9 259:4
261:20 262:1,4	267:17 271:13	partially 289:13	82:12 83:6	260:1,12,13,22
262:8,12	290:4 307:9,13	particle 47:8	113:7 120:20	262:7,11 265:2
267:11 270:8,9	308:18 309:3	227:8 231:17	156:16,24	268:3 269:10
270:9,21	309:15,18,25	306:10,16,18	188:4 204:13	269:22 270:16
324:10,14,18	310:7,13,15,22	307:6	227:12 232:18	270:19 271:3
324:22,23,25	310:24 311:6	particles 50:1,16	233:13,17,23	272:5 273:5
325:1,7,12,23	311:14,22	particular 41:8	234:1,4 249:22	275:25 291:20
325:24 326:2,3	312:2,4,8	130:8 228:22	254:11,15,22	292:1 302:8
326:6 327:4,11	317:16,17	249:4 252:4,22	255:10 257:20	308:12 311:10
327:25 328:1	328:11	330:11	258:21 266:7	311:20 312:9
331:24	papers 42:3	particularly	268:18 272:24	312:13,17
painful 74:9	53:14 54:23	56:23 159:2,16	296:5 314:17	315:22
120:5,9	81:6 98:21	172:5 243:24	patients 20:8	paul 4:15,15
palpate 85:13	99:4 183:10	parties 51:24	21:4,5,10,13	peaks 252:16
paper 64:1 65:6	198:17,18	334:15	21:15 22:3,14	peer 290:11,20
66:20 68:13	199:18 200:11	partly 254:24	23:24 24:6,17	pelvic 1:4 6:16
69:6 72:12,19	200:12 213:23	273:19 294:19	31:16,21 36:25	7:23 12:15
72:22 73:3	223:11 227:9	partner 207:6,8	37:4,17,22	15:6 85:2,4
88:1 94:8	227:17,18	207:9	44:1,11,15,19	136:25 139:15
96:13 97:24	228:7 245:4	parts 159:6,7	46:2,10 58:7	139:20 140:24
98:1 101:2	310:5 333:2,3	pass 57:6 85:15	59:20 61:7	146:11 149:17
114:3 115:21	333:7,11	320:14	65:7,9,13,16	158:5 202:8
116:21 126:5	paragraph	passage 84:10	65:22 66:7	213:19 219:1,3
137:14 150:23	69:12 76:25	84:14,15 85:16	67:10 72:5	222:15 239:17
177:3 179:16	90:9,19 104:19	86:2,7,23 87:5	82:14 93:21	240:2 241:22
181:18 189:21	104:21,22	87:14 108:20	102:5,11,15	254:3 270:9
190:4 191:9	106:10 110:3	189:12 276:14	110:1 119:19	286:4 326:15
192:3 194:15	134:22 146:13	passed 139:15	120:17,20	326:22
194:25 198:15	146:24 147:25	139:24	123:2 129:7,22	pelvis 296:14

Daniel Steven Elliott, M.D.

Page 370

pennsylvania 4:10	267:25 268:2 268:12,18,20	period 24:11 30:12 38:1	36:12 260:15	276:5 285:22
people 57:3 58:2 112:9,11,12 125:5,14 132:14 133:11 149:3,7,10 150:13,19,19 150:20 154:25 155:3 170:19 197:16 202:7 202:23 250:9 264:1 269:3,8 269:13,15 309:5 311:5	268:21 269:8,9 269:13,14,16 269:21 270:4 270:12 271:2,3 284:6 285:5,9 301:7 302:3,4 306:15,17 307:3 308:12 309:4 310:3 311:11,20 312:9,12 316:23 328:13 328:15,16,18 328:22	48:18 121:14 121:17 122:18 124:3 127:16 127:19 176:18 184:14 206:1 206:11,16 208:7,7 258:8 312:6 324:22 327:10	perspective 44:13 70:10 128:4 180:22 pertain 51:1,5 pertaining 108:1 108:10 234:24 pertains 127:11 235:7 236:9 pertinent 18:7 154:10 234:22 phenomenon 292:13 phillippe 250:12 250:18 260:15 phrase 196:5 phrasing 24:12 physical 102:15 physician 105:11 109:14 physicians 231:13 268:10 275:10,12	291:21 298:2 299:12 placement 86:7 116:13 126:16 144:21 307:2 plaintiffs 4:2 19:1 216:17 222:10 229:4 plan 37:14 plate 254:3 play 254:14 259:1 269:1 played 249:25 256:5 playing 91:24 273:11 plays 271:24 please 9:10,17 144:7 198:4 212:2 288:18 317:20 335:3,8 plication 151:10 plus 16:19 285:17
peoples 261:6 317:6	percentage 57:22 65:19 88:14 197:6 198:2 199:21 205:14,16 231:17 273:11 307:7 312:17	121:17 122:24 123:5 129:21 131:5 184:14 188:19,24,25 189:7 324:18 324:22 325:7 327:4,10	pick 282:11 picture 67:20 282:19,20 pictures 243:5 245:22 pieces 114:3 pig 297:5,5 pilot 7:18 291:19 place 34:25 48:17 49:5 175:3 195:14 218:1 313:14 placed 26:10 27:3 30:3,12 30:17 43:3 44:7 46:3 48:10 49:16,17 73:24 75:23 82:12 93:20 131:8 193:24 194:5 195:11 241:15 257:25	playing 91:24 273:11 plays 271:24 please 9:10,17 144:7 198:4 212:2 288:18 317:20 335:3,8 plication 151:10 plus 16:19 285:17 point 25:1 27:17 33:12 37:16 41:3 77:11 89:10 101:1,11 101:14 102:25 103:3,12,14,24 111:8 132:2 192:19 193:6 204:8 207:15 208:20 209:16 210:22 215:11 222:20 237:12 247:9 255:11 271:13 292:20 295:24 296:12 299:7 306:17 311:1 328:4,20 329:2,4,9 pointed 69:6 71:3 210:23 211:22 267:16
percent 21:7 34:21 36:14 37:1 44:5 57:19,25 58:3 64:4 65:19 67:4,16,17,22 68:2,5,10,12 69:19,22 72:21 72:24 74:14 75:12 85:24 88:10 94:16,17 105:24 110:8 137:6 191:1 197:22 198:18 199:22 205:24 206:10,21 207:15,20,24 208:8,11,19,21 209:21 210:24 211:4 215:12 217:11,12 232:9 255:16 255:19 256:6 256:12,21,22 257:1,13 258:10 259:4,7 260:18 261:15 262:1,3,11 267:18,22,23	perfectly 9:20 perforate 162:21 perforated 85:23 perforation 34:21 85:22,25 108:18 189:10 perforations 78:15 188:22 190:1 perform 33:10 34:25 37:21 94:2 166:23 200:23 performed 33:2 37:23 43:8 75:19 76:6,16 84:16 86:7 97:6 113:11 116:14,24 135:15,23 performs 166:22	periurethral 153:12 permanent 70:7 72:9 73:11,23 75:23 76:9 93:21 103:19 128:16 129:1 131:4,7,21 132:7,8 262:1 289:20 317:4 peroxide 253:24 peroxides 248:18 persist 106:12 persistent 260:18 261:15 261:20 262:8 person 25:12 113:1 153:6 168:16,17 173:21 280:9 280:15 personal 301:25 personally 28:8 28:14,17,20	physicians 231:13 268:10 275:10,12 pick 282:11 picture 67:20 282:19,20 pictures 243:5 245:22 pieces 114:3 pig 297:5,5 pilot 7:18 291:19 place 34:25 48:17 49:5 175:3 195:14 218:1 313:14 placed 26:10 27:3 30:3,12 30:17 43:3 44:7 46:3 48:10 49:16,17 73:24 75:23 82:12 93:20 131:8 193:24 194:5 195:11 241:15 257:25	playing 91:24 273:11 plays 271:24 please 9:10,17 144:7 198:4 212:2 288:18 317:20 335:3,8 plication 151:10 plus 16:19 285:17 point 25:1 27:17 33:12 37:16 41:3 77:11 89:10 101:1,11 101:14 102:25 103:3,12,14,24 111:8 132:2 192:19 193:6 204:8 207:15 208:20 209:16 210:22 215:11 222:20 237:12 247:9 255:11 271:13 292:20 295:24 296:12 299:7 306:17 311:1 328:4,20 329:2,4,9 pointed 69:6 71:3 210:23 211:22 267:16

Daniel Steven Elliott, M.D.

Page 371

321:19 328:12 pointing 211:24 221:25 points 229:9 pokes 245:10 polypropylene 7:22 8:13 26:8 26:22 27:9,14 27:24 29:18 30:3 33:24 39:6 42:15,21 43:11,12 45:6 45:9,19 46:3 46:25 47:2 49:2,9 50:14 50:18 80:9 116:13 117:8 118:3 126:15 126:21 127:3 128:14 137:22 138:10,11,15 140:5,13,24 141:25 143:12 143:23 144:13 155:13,18 161:21 177:22 179:12 214:20 214:24 215:14 215:24 244:25 245:1 247:18 289:11,18 290:23 291:3 292:11,24 293:19,23 294:6,11 295:21 297:10 297:19,24 298:21 polypropylenes 74:18 poor 46:7,12 61:19 63:3,12 63:14,19 79:14 80:6 83:5 111:19 169:21 265:21,22	273:22 286:8 287:4,11 290:11,13,17 290:17,18 330:10 poorly 166:22 pop 285:18 popularity 298:21 porcine 8:11,12 291:6 293:24 293:24 294:4,9 294:14,19,20 294:20 297:1,6 297:7,16 pore 45:6 46:24 48:6,14 164:24 166:2,5 167:3 236:2 241:3 273:2,5,10,17 274:10,12,17 274:25 275:4 275:13 276:3 276:23 289:7 290:16,16 323:13,17,19 324:3 pores 162:8 165:12,15 283:3 porous 162:8 portion 280:6 289:20 321:3 330:16 pose 9:17 posit 144:7 position 6:4,8,11 6:14 116:3 125:10 134:7 135:7 146:10 321:7,12 positions 14:11 positive 106:12 possibility 131:23 234:12 241:13 272:23	possible 247:7 266:25 270:17 possibly 30:22 39:23 57:2 79:17 113:6 180:24 203:6 255:21 267:7 267:12 post 284:6 postmenopausal 234:9,15 postop 44:10 59:20 121:3,12 postoperative 121:21 122:18 187:12 188:20 postoperatively 94:18 317:1 potential 119:22 122:13 124:15 181:13,15,19 182:13,19,21 183:10 267:12 268:19 283:21 292:21 295:16 potentially 61:10 pounds 307:5 pp 243:23 practice 24:2 26:3 124:1 131:16 170:5 196:21 201:8 201:18,22 296:24 327:24 pramudji 221:12 pre 59:20 precision 303:8 prediction 247:4 predominant 153:24 preexisting 67:25 prefer 13:3 231:8,21	preference 232:6 preop 72:21,25 preoperative 72:15 prepared 281:1 281:25 preparing 282:5 present 42:25 214:10 presentation 21:21 288:20 289:4 presented 21:20 21:23 22:5 262:17 pretty 32:6 168:20 248:10 295:11 320:10 prevent 49:17 104:8 105:8 257:9 prevention 166:7 previous 20:13 83:7 155:20 228:12 312:16 previously 82:16 104:10 323:5 primary 24:21 24:21,23 27:15 101:1,11,13 102:24 103:3 103:12,14,24 printed 172:1 prior 13:19 22:17 27:3,7 30:21,25 31:4 215:4 240:19 301:15 322:3 private 19:3 201:7,18,22 privy 163:10 164:20 pro 56:3 probably 20:1	20:16,19 22:25 23:2,2,3 26:20 27:3 28:18 29:13 30:12 31:10 37:1 46:15 48:18 58:5 100:8 121:2 171:13 183:6 187:5,6 236:17 290:20 327:23 problem 34:20 34:22 35:8 44:12 49:18 109:8 148:13 158:16 175:8 180:25 224:19 233:21 256:18 256:18 277:11 295:11 309:5 318:14 problems 15:16 27:20 29:16,21 31:9,11,14,25 32:1 34:6,17 35:20 43:15,17 65:21 111:5,12 129:10 164:4 206:18,19,22 226:23,24 253:20 255:6 287:13 307:8 309:11 procedure 7:5 23:9 28:6 33:2 41:7 47:10 58:9,11,14 63:19,24 67:11 67:13,15 85:7 85:19 94:1 103:7 112:22 117:1 119:21 120:5,8 128:3 132:11 133:23 135:21 136:3 136:21 141:2
--	---	--	--	--

Daniel Steven Elliott, M.D.

Page 372

142:18 143:2,5 143:9,20 149:20 150:8 150:14 161:7 169:7,19,21 174:1,11 184:18 203:8 211:6 300:24 311:12,21 314:1 315:23 322:17 327:22 328:13 procedures 7:17 19:25 28:16,19 93:8 108:25 109:10,16 129:15 131:5 135:14 151:1 161:8 171:2 172:13,20,21 172:22 173:3,7 173:9,10 184:20,22 186:23 199:5 300:21 307:23 328:2 process 21:12 210:15 224:18 245:14 248:8 254:1 270:9 290:12 293:5 295:15 processed 295:6 processes 297:14 produce 115:1 200:12 produced 3:2 product 18:21 18:23,24 25:9 25:19 27:8,19 27:21,23,24 29:21 30:7,11 30:13 39:10 40:18 41:17,19 45:5 51:18	72:9 79:1 81:23 83:18 99:5 129:9 132:3 137:21 138:1 180:24 181:24 182:3 190:20,22 193:8 232:8,11 245:21 246:4 246:22 249:8 249:11 251:8 253:25 254:18 256:24 272:10 272:23 275:1 277:5,15 products 1:4 40:22,25 41:1 42:11 47:12 48:6 50:10 51:2,6 73:10 79:14 81:23 129:9 237:9 294:21 296:19 professional 11:14,19,20 134:12 163:3 234:22 235:16 professors 203:2 profile 90:5 91:4 93:6 progress 160:12 160:14 234:8 progressive 74:17 210:15 projects 81:1 prolapse 13:11 18:23,24 19:4 19:11 53:15 95:19 136:9 170:14 171:7,8 213:19 239:18 240:3 241:7,22 276:23 285:4 286:4 prolapses 170:10	prolene 49:15 49:15 50:21,22 131:14 132:2 132:20,24 133:2 138:10 177:7 179:12 179:17 205:1,8 205:10,15,17 289:11,18 prolifer 17:6 281:15 285:16 prolonged 183:14 promising 298:22 promoting 181:24 pronounce 250:11 pronounced 141:16 pronouncing 88:4 properly 97:16 199:7 properties 8:10 237:23 294:1 proponents 300:20 propounded 334:8 337:5 proprioception 85:5 prospective 7:18 303:16 prospectively 64:13 303:14 prostate 145:11 prosthetic 12:4 protegen 129:2 274:21 protocol 37:8 prove 181:1 proven 150:4 234:5 provide 10:6,8	42:3 47:12 115:6 247:2 provided 10:4 41:12,15 114:10,16,20 217:6,7 228:25 229:3 231:19 231:23 providing 219:1 proving 143:8 pseudopodia 163:19 164:12 pubic 26:16 85:12 108:5 public 266:13 337:18 publication 5:10 55:18 185:18 204:24 263:13 publications 35:23 132:13 136:1,7,15 156:17 213:17 222:21 publicity 41:18 41:24 publicly 266:16 publish 22:9 35:19 197:19 199:19 published 10:7 11:5 19:18 20:24 21:11 35:21 36:8 41:22 55:20 62:17 88:19 109:4 146:1,3 152:12 155:8 155:10,24 199:23 218:6 237:25 290:7 293:6,13 301:14,15,16 307:10 326:25 publishing 155:11	pubmed 80:14 81:3,21 89:16 pubovaginal 8:18 20:9,10 21:1 23:5,8,12 23:18 24:7 33:5,6 37:20 56:14 62:17,23 63:3,12,17,18 63:25 75:19,25 85:7 86:6,15 87:3 93:16,24 97:10,16,21 98:10 103:1,5 103:6,19,21 118:6,25 119:4 119:16 120:1 120:13 121:6 122:6,15,25 123:1,18,20 124:6,17 130:5 130:24 131:9 132:10,16,18 133:12 140:9 142:8,16 149:4 150:9 184:8,22 184:24,25 185:25 186:4 186:15,19,24 187:23 188:14 200:18 313:2 313:11,12,13 314:17,22 315:1,13,20,21 316:6,16,23 317:10 324:19 325:10 327:6 327:16,20 328:8 331:6 pubovaginals 326:5 pull 35:2 43:20 48:12 50:3,5 102:14 115:2 332:6 pulled 43:19
---	---	---	---	--

Daniel Steven Elliott, M.D.

Page 373

48:11,11 60:17 punch 260:19 purchased 25:9 purely 259:5 277:16 purpose 154:19 223:16 294:8 purposeful 314:18 purposes 50:23 156:21 pursuant 3:3 pusing 31:15 put 37:10,12 62:10 80:6 133:3 150:24 165:9 166:19 173:16 186:23 203:21 204:10 220:7,13,15 223:4,17 228:20 234:17 234:21 250:21 254:18 268:17 275:6,19 276:5 276:12 279:6 284:23 285:16 288:16 318:5 319:3 331:5 puts 113:4 171:22 putting 48:23 57:9 112:12 113:7 222:16 272:23 pyramid 5:18 60:25 61:11 80:22	11:11 22:16 23:25 54:24 58:24 61:19,21 61:25 62:9,9 62:10 63:2,12 63:15,18,23 64:24 69:2 70:1 72:22 80:6 83:5 102:16,20 110:4 111:19 111:19 142:19 143:6 144:22 161:9 197:8,9 272:24 330:10 quantified 194:16 quantify 60:3 question 9:18 12:19 14:1,4 19:9 21:25 30:5 32:2 34:15,16 35:16 40:7 50:8 59:8 63:16 66:13 68:11,18 71:15 72:2 76:11,13 82:18 83:3,7 83:19 87:1,15 90:15,21 91:9 91:19,24 92:6 92:11,24 93:3 96:21 99:20 100:14 103:23 104:3 106:21 107:12,14,22 108:16 110:21 112:13 113:16 113:25 114:2 117:23 119:17 132:6 133:5,9 133:19 142:14 143:22 144:7 145:2 147:11 151:23 157:14 163:23,25	164:10 167:17 167:19 176:10 198:2,4,12 202:10 203:12 206:5 213:1 220:2 221:17 221:23 222:1 224:25 229:6 230:9,10 232:23 234:25 236:8 238:11 239:2,11,12,21 241:7 244:15 246:24 256:9 265:8 267:2 269:7 270:15 271:18,20 272:3 277:24 278:4,10 284:10 294:20 301:6 302:17 306:19 321:21 329:4 330:6 332:5 333:1,6 questionable 254:16 295:7 questioned 324:8 questions 13:21 15:25 39:25 75:7 87:4 114:21 140:11 140:12 177:20 220:8,23 317:23 323:3 323:12 326:11 332:12 334:8 337:4 quick 60:21 66:21 192:10 224:3 321:1 326:10 quicker 124:8 135:2 quickly 186:7 312:8	quite 22:23 48:9 183:7 238:12 291:4 quote 67:6,23 79:15 82:25 177:14 196:11 196:13,25 200:5 217:25 218:21 225:18 235:25 245:3 247:17 quoted 217:24 quoting 152:25 218:19 330:10 <hr/> R <hr/> r 1:6 4:1 336:1,1 rabbit 8:14,19 53:21 290:1 297:13 298:2 298:15 299:11 radiation 15:14 raise 179:21 raised 197:16 295:7 311:3 raises 79:17 131:22 133:17 180:24 raising 246:2 ramifications 108:17 random 101:21 randomized 38:9,13,22 39:1,6,13 59:5 59:10,14,15,18 60:16 61:12 64:9 66:2 78:6 80:2,10,19 118:17,23 122:5 123:20 124:10 127:1 150:8 151:13 218:23 226:14 301:20 range 46:16	179:1 247:5 251:22 rapid 291:5 294:5 rapidly 294:10 rare 85:22 rarely 102:3 rate 21:7 31:15 34:21 35:11 36:9,14,17,22 42:5 44:3,14 44:18,24 45:2 45:13 48:19 60:24 64:5 67:4,12,21 68:2,12 69:12 75:12,14 110:14,16 111:24 126:16 159:2 184:9 187:23 190:25 196:2,11,14 197:17,17 201:10 203:17 204:16 205:23 207:14,20 208:16 209:20 210:24 215:13 217:11,12,18 225:4 226:18 239:24 241:12 267:18 273:16 276:15 285:5 287:13 291:11 301:8 302:3,4 304:17 308:22 309:8 316:5,15 328:6,13,21 rates 5:13 11:11 79:10 110:5,19 135:3 159:20 190:9 192:16 196:9,17,24 197:2 200:15 200:19 203:1 214:19 232:15
--	--	---	--	--

Daniel Steven Elliott, M.D.

Page 374

260:8 273:14	real 55:9 60:21	39:3,15,20	recognized 56:8	123:5 274:10
274:5,15	66:21 131:22	40:1,12 41:2	66:1 140:14	288:2 295:16
316:22 317:12	196:20 221:15	41:11 42:1,4	234:19 235:4	reduced 122:1
324:4 327:15	reality 259:20	43:1,25 44:1	235:14	122:13 123:13
331:4,6,9,19	realize 293:5	44:16,21 46:15	recollection	124:4,13
331:24	311:12	46:21 47:14,22	289:13	273:21 334:10
raz 150:1,1,6,13	realizing 296:14	47:23,25 52:9	recommended	refer 101:4
150:20,20	315:5	52:16 58:10	297:7	149:3,7,10
300:6,17,20	really 16:1 19:5	62:19,20 63:5	recommends	150:13
rct 60:12 124:1	61:1 74:2	65:5 77:22	108:24	reference 18:1
reabsorbed	100:13 129:21	81:12,16 111:1	reconstruction	53:3 68:13
291:16	150:17 164:20	111:15 117:2,3	38:1	87:25 88:7
reached 145:1	169:16,20	140:11 150:12	reconstructive	167:7 205:23
295:24 298:4	246:21,24	188:1 191:8	12:10 15:5,5,7	218:18 222:6
reaction 131:24	272:4 294:15	194:22 196:4	15:13,15	227:17 247:24
133:4 258:4	314:12 315:5	213:25 222:9	139:16,20	262:22 284:20
297:17	318:1 320:25	222:12 231:24	261:14	referenced 23:9
read 14:10	322:3	233:15,17	record 9:10	138:2 151:3
47:15,16 57:24	reask 72:1	241:21 242:12	10:19 34:16	171:22 192:4
58:2 94:21,24	reason 25:25	244:23 267:20	87:22 89:6	204:23
95:1,1 110:9	26:5 53:3 61:8	272:15 285:13	92:21 96:4	references 53:22
117:21 136:12	62:1 63:6,6	289:5 291:24	114:15 185:15	53:24 137:12
136:14 138:23	77:23 80:5	291:25 299:18	211:10,15	268:17
154:17 160:9	90:1 94:22	304:8,10	212:20 213:12	referencing
164:5 177:19	98:14 102:18	309:19,25	216:21 230:11	102:11 104:6
180:1,6,9	116:20 117:4	310:9 323:4,12	239:3 250:9	202:11 216:1
181:19 186:24	125:21 252:14	324:11 327:18	279:19 287:10	232:2 242:3
188:24 220:3	259:22 285:13	329:20	288:17 293:9	250:15
222:18 225:15	309:19 335:5	receipt 335:14	311:23 312:1	referring 59:24
225:15 226:6	336:5,7,9,11	receive 239:25	317:24 318:3,5	64:19 99:5
226:10 228:1	336:13,15,17	received 177:7	319:22 321:4	121:18 126:4
237:10,12,20	336:19,21,23	181:4 194:20	322:14 327:3	136:15 161:23
239:1,3 244:1	336:25	216:24 217:4	334:11	186:18 194:8,9
262:25 286:6	reasonable	263:24 264:7	recorded 72:14	215:4 223:19
317:8,16	323:16 325:9	receiving 272:20	records 17:1	237:21 243:11
329:19 335:3	reasons 26:3	recertifying	32:5 36:20	reflect 196:19
337:3	55:25 58:5	116:24	recovery 176:17	212:21
reading 95:3,4,6	75:22 247:1	recessed 87:20	rectus 85:12,15	reflects 216:12
95:7 144:3	248:17 252:21	134:3 171:15	86:4,21,22	287:10
147:9 172:24	254:20 294:13	192:11 224:5	120:19	refresh 289:12
188:11 200:10	recall 19:12 22:9	238:24 278:7	recurrence	regard 69:7
231:12 238:12	25:23 26:3	320:12	97:15	99:21 119:3
244:2,14	27:18 29:8,14	recognize	red 246:2	130:23 138:2
reads 59:1	30:10 31:2	141:20,24	270:16 271:10	154:2 168:5
ready 133:24	32:3 36:12	152:10 155:12	271:22	170:5 182:8
282:12 320:8	38:11,15,17,24	155:17 178:8	reduce 121:24	191:15 192:16

Daniel Steven Elliott, M.D.

Page 375

204:23 216:8	11:1 114:17	311:11,21	reported 47:19	47:24 209:16
219:5 222:2	115:8,20	312:10,13	108:8 110:5,18	212:18,23
225:2 227:1	220:22 229:16	rephrase 143:15	111:5 142:13	213:4 311:11
228:19 241:7,8	229:18,22,24	238:11	150:7 178:18	317:3
264:2 272:18	230:2 232:3	replaced 149:24	191:14 198:19	requirement
295:2	237:19	reply 322:18	232:15 236:18	321:15
regarding 51:23	relied 114:18,22	report 11:1	237:15 241:18	requires 56:14
80:8 162:4	115:22	51:21 52:18	243:22 246:6	57:16 176:15
171:20,22	relies 230:3	53:6,10,12	246:11 248:19	requiring 36:5
263:4	relieved 262:12	54:10 60:24	262:21 270:19	309:8 317:13
regards 178:2	rely 13:19 62:2	76:23 96:7,14	284:13 287:3	research 14:10
region 45:8	156:23	98:4 101:22	291:21 294:3	15:23 40:19
325:1	relying 10:16	104:5 106:8	reporter 3:10	80:13 90:2
registries 145:17	199:12 227:1	110:13 111:4,9	13:1 239:3	117:5
registry 75:10	237:17 238:3	111:14 118:17	317:21 334:4	researched
75:17 76:4,18	260:7,10	132:14 133:1	reporters 334:1	89:20
145:20	299:15	133:10,12	reporting 71:1	researchers
reinforcement	remain 147:18	148:3,6 166:1	265:15	268:10
7:23	remained	166:25 167:3	reports 60:7	reserve 320:15
reinforces	308:12	183:5 187:22	116:22 162:1	residencies
285:21	remains 148:11	191:7,10,16,18	179:16 191:19	33:19
reissued 125:25	remember 36:20	191:18,23,23	216:9,10 226:3	residency 32:15
reiterate 271:21	37:16 182:17	192:8,15	226:17 227:22	33:3,14 181:8
relates 1:6	212:22 213:2	193:14 196:1,7	314:24 316:21	resident 203:4
relative 128:13	216:5 221:8	196:17 197:5	321:17,18	residents 13:9
147:17 148:8	231:12 267:23	204:15 211:9	329:13	14:16,19
150:16,25	305:18 310:11	217:20 220:18	repose 230:10	170:21 202:25
162:5 189:2	310:16 315:6,8	222:4 225:1,6	represent	202:25
190:21 237:24	remind 16:2	228:21 229:8	139:11 271:1	resolution
292:24 297:12	remmen 62:18	229:14 230:8	representation	262:12
334:13,15	62:24 63:3	232:2 247:12	288:24	respect 107:7,19
relatively 185:1	remodeled	249:24 250:21	representing	124:19 169:14
release 263:6,8	291:16	253:13 260:8	211:12	182:2
307:23 308:13	remove 195:17	260:22 262:13	reproducible	respectfully
309:14 310:5	removed 248:2	262:22 268:8	313:18 314:16	284:8
311:10 312:10	250:4 260:17	273:8 276:19	315:12,18	responders
released 263:3	reoperation	278:10 282:14	316:1	162:21
266:12	308:23 310:6	283:23 284:1	reps 42:3	responding
reliable 254:7	317:14	284:21 285:2	request 10:1,3	230:6
301:10 305:16	repair 1:4 7:20	296:8 299:13	requested 221:2	response 131:24
305:21	151:7 169:25	301:3,21	239:4	247:19,19
reliably 261:3	170:14,14	304:24 305:7	require 137:1	248:16,16
271:5 272:6	171:7 295:22	306:7,13,25	183:14 184:10	249:8,9 253:23
273:3 302:7	repairs 240:4	321:2 324:3	271:9 310:6	258:15 267:9
303:3	repeat 137:1	325:14,19	311:20	267:10 296:23
reliance 10:20	157:17 307:22	331:5,5,15,23	required 46:17	297:15 334:8

Daniel Steven Elliott, M.D.

Page 376

responses 164:4	106:24 107:5	64:14	revision 45:24	176:20 178:17
responsiveness	107:15 108:5	return 135:2	126:7,9 145:23	178:19,23,23
168:4	108:12 109:10	335:12	revolutionary	179:2,8 180:2
rest 203:24	110:20 118:18	rev 5:23	185:10,14	182:22 183:5
294:25	119:4,14 121:5	review 7:12	200:25	184:22 189:22
restate 172:25	121:25 122:13	47:24 51:22	rid 262:2	190:5 192:13
restoril 224:18	123:13 124:3	54:11,15,16,17	ridgeland 4:14	193:9,17,20
restriction 6:15	124:16 137:15	55:2,14,19,24	ridiculous	194:11,15
126:21	140:25 141:21	58:18 59:4	280:13 281:11	205:5 206:17
restroom 87:18	141:24 142:1	60:23 61:1,2	282:7	206:23 207:2,9
result 138:14	142:15 144:20	62:17,22 68:13	right 9:21,25	207:12,19,24
183:13 308:11	146:20,21,22	69:10 76:22	19:6 21:13	208:8 209:21
324:9,14	147:6,7 149:11	77:15 79:21,24	32:9 35:7	210:17,25
resulted 135:10	167:8 174:23	88:25 89:13	50:14 51:12	212:15 213:5
results 7:4 58:17	175:10 185:4	96:6 97:22	55:8,11 60:21	214:7,8 215:10
69:11 107:13	185:25 186:3	98:3 104:4	65:24 67:21	215:24 216:11
158:14 159:19	187:22 188:6	105:16,23	68:11 71:18	219:9 222:5
159:25 180:12	188:18 189:11	107:25 110:3	72:12 75:6	223:22 236:11
186:7 207:3	189:15,25	111:4,12 113:9	77:11,18,18,18	240:7 242:16
288:6 292:23	191:11 196:9	133:16 142:6	78:3 84:22	244:3,5 250:24
295:8 298:19	197:6,12,22	185:18,23	85:1,14,25	251:12,18
298:22 299:2,7	198:17 199:25	187:16 191:6	86:3,20,22	252:2,17
retention 314:17	202:19 203:16	274:15 290:11	87:1,8,14 88:5	255:18,18,19
314:18 315:16	204:15 216:3	290:20 301:3,9	97:4 98:12	255:20,24
315:23 316:6	222:22 223:20	321:1 325:17	103:11,15	257:12,24
316:15,22,25	224:9 226:16	330:8 331:22	105:18 108:8	262:18,23
317:4,13,13	227:2 238:17	reviewed 10:16	109:23,24	263:16 267:18
retraction	240:9,21	17:1 18:7	115:25 121:19	268:20 269:20
287:20	241:19 251:6	49:24 52:8	123:22 125:4	270:24,25
retreatment	260:9 262:20	64:14 98:1	126:1 127:18	275:4,10
5:13 11:11	263:9,20 264:2	100:19 114:18	132:11 134:22	279:10 280:20
retropublic	264:3 266:10	114:22 136:3	137:12 139:3	282:11,23
26:14,15 29:22	267:4 268:12	141:9,10 152:3	139:25 141:16	285:5 287:1
33:18,23 43:4	269:9 272:21	200:4 221:4	142:17,21	288:5,13
43:6 51:11,13	276:6 282:20	228:24 229:2	143:22 144:18	289:15 290:10
51:15 56:24	301:8 302:5,12	245:5 264:1	145:1 147:7	290:22 292:25
57:13 69:14	302:19 303:2	reviewer 62:3,5	152:12 153:7,8	294:3 296:1
77:1,5,19,20	303:16 304:3	67:19 182:14	153:13,14	297:9 298:5,8
78:5,12,18,19	305:22 306:5	200:9	158:5,17,23	299:10,13
79:11 80:11,16	308:5,7 315:10	reviewing 68:7	159:25 165:7	302:15 310:25
81:13,24 82:1	328:6 329:12	227:8	165:20 167:2	311:13,14,18
82:21 83:9	retropublicly	reviews 61:13	168:17,21	311:21 312:12
96:24 97:7	276:6,14	118:16 274:4	169:4,12 172:6	314:23 315:23
98:25 99:11,24	retrospective	311:24 312:1	172:11,22	316:2,7 318:3
100:4,12,23	64:11 303:7,12	331:23	173:6,25 174:6	320:16 321:2
101:15 105:7	retrospectively	revised 126:12	175:12,18	322:12

Daniel Steven Elliott, M.D.

Page 377

rigidity 287:20	299:25 300:7	safer 190:21	243:6 245:18	scared 312:16
rind 293:19	route 26:9 29:4	safest 149:21	252:4 257:5	scarring 74:5,9
risk 37:1 57:19	29:11,12 30:20	safety 21:18	259:8 261:24	74:17 164:23
57:22,25 58:4	31:7 34:11,20	71:5 73:8 90:5	264:7 267:12	254:2 295:21
59:2 108:6,13	39:21 45:12	91:3 93:6,19	270:11 274:23	scars 287:16
113:7 195:18	77:1,2,6 78:13	94:7,10 95:11	277:12,13	scheduled 37:19
225:10 226:9	78:18,20 83:10	95:15,20,22	283:8 298:10	37:22 46:9
226:21 325:20	189:15,16	96:1 100:23	298:14 309:24	schepleng 2:17
risks 124:12	193:19	101:1,7,12,14	322:19 329:18	scientific 77:25
road 122:10	routes 110:8	101:17 102:25	says 68:10 69:12	136:7 164:11
robot 19:21,24	routine 34:24	103:3,12,25	76:25 78:1,12	254:8 256:9
20:3	37:3 42:2 46:9	131:2 134:19	89:18 90:5	scientifically
robotic 5:13	169:6	161:10 241:1	94:9 96:7	271:4 272:7
11:12 12:6	rovner 125:21	264:25 265:10	106:10 108:4	273:4 301:10
19:17	rovners 125:24	265:13 277:13	110:4 116:12	302:7 303:3
robust 135:25	126:5	sales 202:12	117:6 118:1	305:15,20
158:25	royalties 216:24	232:15,18	120:23 124:13	scientists 231:2
roger 141:18	217:3 219:4	233:8	130:9 135:24	scope 325:14
role 12:16 13:5,6	rpr 3:9 334:22	salt 27:22	136:17 140:13	se 291:25
139:7 154:9	rule 259:11	sample 20:25	144:3 146:19	seal 334:17
171:25 173:14	272:13	samples 247:6	147:16 154:12	search 32:5
249:25 254:15	ruler 165:10	saturday 3:4	157:9 168:24	40:16,24 41:7
256:5 259:1	rules 115:7	save 180:16	169:5 172:20	68:1,21 81:3,4
269:1,24	322:16	saved 331:1	173:7,25	81:5,10 152:16
271:24 273:10	run 19:24	saw 34:17 44:4	174:13 175:15	192:4 218:18
roll 48:12 49:19	running 203:5	77:18 214:7	175:24 176:11	266:17,19,20
rolling 49:18	runs 19:21	262:16,19	176:14 177:20	searched 38:18
rope 48:13	russian 247:24	263:7 291:19	177:23 179:10	81:17 160:25
307:6		301:8	180:16 189:17	searches 41:12
roping 47:8	S	sawing 301:21	190:21 204:25	80:14
48:21 49:3	s 1:6 4:1,15	303:18 304:25	211:16,17	second 74:20
95:21 227:7	sacrocolpopexy	305:3 306:10	212:15 213:3,6	104:21,22,24
256:15 270:8	5:14 11:12	saying 24:15	213:7 214:10	105:2 172:8
rosenblatt 4:15	19:18,24	27:9 57:20	215:5,6,12,20	215:16 217:15
4:15 319:6,12	224:11,14,16	58:3 65:15	215:22 216:15	247:15 286:1
319:25	275:22	69:1 73:17	229:25 247:22	293:10 323:7
rosenswath	sad 66:9	77:23 78:24	251:1,20,21	secondly 220:17
332:22	safe 33:15 87:14	79:16,22 100:3	255:4 264:24	section 12:11
rough 20:17,17	97:12 131:16	102:13 106:18	287:10 302:12	15:3,17,22
roughly 17:7	132:3 142:18	162:25 164:2,8	306:17 311:9	16:2,7,11
37:1 81:22	143:9,21	171:12 173:19	315:17 331:15	69:11 152:10
114:4 208:19	147:17 148:5	173:20,20,23	scand 88:20	179:9 186:8
258:10 259:4	175:15,21	186:4 201:7,13	scanning 242:8	192:20 207:3
284:2	190:21 263:10	203:25 210:17	243:18 245:23	214:8 253:14
round 328:17,19	264:4	215:8,25	scar 8:17 175:5	284:1 300:18
rounds 299:17	safely 259:23	218:25 237:20	254:2 294:12	sections 306:12

Daniel Steven Elliott, M.D.

Page 378

secur 304:13	230:24 231:6	317:18 318:18	322:8 334:8	122:8,12
secure 313:17	231:15 233:19	327:24 331:21	sets 136:20	127:20,22,25
see 11:16 14:3	243:7 244:2,6	segregated	setting 15:24	128:12,13,21
22:4 36:25	244:13,24	200:1	seven 38:1 92:10	129:11,23
41:8 45:10	248:23 251:15	select 119:19	99:11 213:3	130:2,3,9,16
48:21 50:1,7	254:12 255:23	225:17	247:22 248:3,6	130:23 191:3
50:17 53:9	256:6 257:6	selecting 177:14	248:20 250:3	197:3 206:14
54:13 56:10	259:16 261:24	228:22	250:20 251:2	207:17 265:20
62:20 63:5,8	262:25 263:11	selection 62:11	251:21 253:2	266:6 291:12
66:20 67:8	264:18,23	228:19	severe 287:23	293:2 297:13
68:20,22,24	269:12 272:19	selfcatheriza...	327:25	297:22 298:17
69:16 70:21,22	273:15 283:19	176:23	severely 263:1	show 60:4 83:16
70:22 74:17,21	284:22 287:3	selzer 221:9,11	268:16	95:3 100:1
77:3 80:8	288:10 289:3	sem 242:12,21	severity 47:12	101:8 124:22
89:22 91:4	301:14,18	243:5 248:4	47:19 325:20	144:25 180:21
93:8,15,23	302:20 306:25	send 17:23	sexual 111:5,13	181:1 192:15
99:3 105:4	308:9 309:25	senior 307:22	326:4 331:24	197:1 200:6
106:3,13,14	311:15,22	sense 9:18 82:6	sgs 331:21	204:3 233:25
110:13,18	326:5,7 328:2	83:20	sharp 245:9	238:7,16 243:8
125:2,7 126:18	330:11 332:3	sent 222:13	shears 2:3	243:10 245:22
126:24 127:4	333:3,10	320:19,24	sheath 48:11	249:3 254:8
133:15,16,22	seeing 24:1,3	322:18	194:2 195:16	255:14 258:24
135:5,16 137:3	44:1 48:25	sentence 94:14	195:17 276:5	264:22 266:8
138:18 140:17	49:2 188:1	104:24 105:2	283:10	274:4 276:12
140:18,21	200:11 231:12	118:14,15	sheaths 275:6	286:10 328:5
142:21,23	243:5 257:20	148:1 159:10	sheet 335:7,9,12	328:21 329:16
145:12 146:24	289:5 333:7,8	183:17 215:4	337:6	329:18 332:20
152:25 153:1,6	seen 9:23 43:7	215:18 247:22	sheets 6:21 51:1	showed 72:12
154:7,16,17,18	52:11,14 61:11	separate 15:7	51:5 155:24	105:23 215:18
155:25 157:21	82:20 97:17	59:18 166:16	shelf 202:13	221:9,12
157:22 161:11	109:25 127:9	288:8	shift 26:2	222:25 247:11
163:8 169:9	132:13 148:24	september 1:23	shifting 150:3	showing 128:19
170:2 171:11	149:3,7,10	3:4 17:11	short 7:12 70:8	158:10 184:5
172:2,8,24	156:10 165:2	160:18 172:1	96:9,15 128:6	188:15 239:24
178:21 179:14	165:12 187:15	334:18	147:14 208:6,7	332:13 333:2
181:2 186:2,3	187:17,21	sequelae 7:20	shorter 73:25	shown 107:21
186:5,18 187:2	196:7 218:6	sequence 29:8	85:19 119:6,7	128:17 134:24
187:25 188:8	220:4 222:14	31:10	119:10 121:8	263:9 268:15
189:4 190:11	223:10,12	series 36:4,5	135:1 187:11	296:9 298:22
191:11 192:1,2	226:12,15	127:1 179:5	188:20 265:25	shows 162:23
192:3 196:20	231:10 240:2	209:9,16 261:1	266:5	249:18 252:19
202:3 206:2	263:22,25	261:7,8,13	shorthand 334:9	shrink 284:4,5
207:1 210:5,15	264:5 297:20	serving 18:10	shortterm 22:11	shrinkage
213:20 215:9	301:24 303:16	19:1	69:25 70:4,8	283:21,24
221:21 225:1	305:15,20,25	set 87:3 179:10	70:10,19	284:14,15,17
227:13 228:3	316:19,21	226:22,23,24	111:21 121:13	shut 323:9

Daniel Steven Elliott, M.D.

Page 379

sign 335:8	38:12,25 40:15	34:6 37:5,12	209:17 212:25	138:15,24
signature 52:15	40:23 47:17	37:20 38:4,9	213:5 214:11	140:5 142:7,8
significance	79:19 92:9	38:21 39:2,6	224:12 251:24	143:12,24
49:8 50:11	114:7 123:22	39:18 40:22	257:21 263:8	144:13,17
296:25	303:15 310:2,4	41:16 42:21	277:20 290:2	146:15,20
significant	332:4,25	43:4 47:3,11	291:21,21	152:23 154:12
53:20 84:17	site 6:25 171:24	49:2,9,20 51:5	292:24 294:15	155:13 158:19
85:20 94:14	173:17,24	55:3 56:7,13	297:2,4 298:7	169:23 171:3
105:25 127:15	176:8,11	56:14,20,21,23	298:23 301:8	185:1 186:1,2
184:11 185:4	264:19	62:17,23 63:17	302:5 304:3	186:11,14,20
206:10 217:18	sites 266:17	63:18,25 69:15	307:23,25	186:24,25
253:9 273:16	sitting 20:3	75:20 76:1	308:13,23	187:1,5,9,11
293:17 296:22	112:9 155:6	79:13 80:5	309:14 310:5	187:23 188:5
297:14 299:3,5	164:3 278:15	85:7 86:6,15	311:12 312:9	200:18 234:21
308:24 317:12	279:12 318:8	87:3 89:18	312:13 315:1	235:5 236:15
significantly	320:11	91:3 93:6,16	315:13,20,21	237:1,1,11,15
44:9 78:13	situation 70:6	93:24 99:23	316:2,7,23	237:24 260:16
188:22 189:25	76:21 174:12	100:7 103:1	317:10,14	263:4 264:25
190:8 299:8	situations 247:3	104:6 108:21	323:19 328:8	265:11,12,12
328:5	six 8:18 18:18	113:6 116:13	331:25 332:1	273:15 274:13
similar 43:17	44:15 74:7,14	116:25 118:20	slingplasty	275:15 294:17
61:11 97:18	122:19 147:1	119:5,22	217:10	296:3 298:16
134:15 161:1	258:9,11,25	120:13 121:6	slings 6:9,12	302:13 304:25
177:21 188:15	317:4 324:9,23	122:15,25	20:15 22:20,25	308:3,4 309:4
190:7 291:4	size 20:25 46:14	123:18,20	23:5,22 27:5,5	312:24,24,25
297:20 298:23	46:24 48:6,14	124:6 126:16	27:15 29:1,16	313:9 314:17
299:3	83:25 84:6	129:2,3,15	29:18 30:2	314:22 316:16
simple 228:25	164:24 165:6	130:5,24 131:9	32:20 33:10	324:19 325:10
232:23	166:2,5 167:3	132:11,16,18	38:2 42:16	325:11
simplified 170:8	236:2 273:2,5	133:12 135:11	43:11,12 45:10	slingurethral
single 64:15	273:18 274:17	140:9,12,14,25	45:20 46:2,4	96:8 134:8
114:17 115:1	skepticism 62:6	141:25 146:22	47:19 48:2,5	small 8:12 20:25
115:21 123:25	skills 32:21	147:7 148:22	48:16,22 63:3	22:8,13 57:4
195:19 297:1	201:18	149:4 150:9,20	63:12 77:24	137:8 164:6
sir 24:24,25	sling 5:16 7:10	153:11,14,22	80:8,9 84:16	197:14,18,19
47:23 76:24	8:15,18 20:9	155:18 172:13	87:7 96:8,14	198:1,7,13,24
89:2 100:17	20:10,11,12,22	172:20 173:2,9	97:11,21 98:10	198:24 199:13
105:21 165:24	21:1 23:9,14	173:25 174:3	103:19 112:10	199:18,21
179:7 212:22	23:19 24:7,11	174:11,16,20	112:12 113:5	211:13 273:10
232:23 290:18	24:16,18,20	175:2 176:14	113:12 117:8	274:10 291:10
306:22	25:4,6,15,18	176:16,23	118:3,6,25	293:24 323:19
sis 294:21	26:10,23 27:1	184:8,20,22	119:16 120:2	smaller 61:5
296:22 297:1,4	28:25 29:7,22	185:3 186:9,15	123:1 124:17	162:18 163:13
sister 139:4	29:25 30:17	186:18,19	126:22 127:3	274:17
317:8	31:12 32:23	188:14,18	134:8,17,20,23	smoke 269:14
sit 14:5 19:14	33:6,18,24	204:10 205:2	136:1,23	smoking 234:2

Daniel Steven Elliott, M.D.

Page 380

269:1	159:9 160:16	280:23 281:4,7	132:22	29:19,19,22
snell 4:11,11 5:3	163:18 164:10	281:14,19,24	somewhat 17:4	30:25 32:1
9:6 10:23 11:8	166:15 167:16	282:3,11,14	57:11 102:8	33:16 34:7,10
11:14 45:22	167:20 168:3,6	283:10 284:8	121:11 151:11	34:12,14,18,19
46:1 48:1 52:4	168:14,23	284:10 286:2	189:1 270:25	35:10,12,20
52:11 54:1,6,9	169:1 171:9,18	286:12,21	soon 48:23,25	36:10,18,23
55:1 56:22	173:2 176:13	288:19 289:3	49:1	37:12 39:5
57:12 60:20	178:1,8,17	289:22,24	sophisticated	40:4,14 43:18
66:24 67:1	182:4,5 184:19	293:9,12	248:11	45:16 47:7,9
68:17 70:15,17	185:17 192:13	304:22 308:25	sorry 10:13	48:5,16,22
70:24 71:9,11	195:6 197:20	310:14,23	42:10 54:12	49:9,22 50:4
71:16,22 72:1	197:21 198:6	311:23 312:2,7	58:21 59:7,9	51:1 82:3,22
73:15 76:2,4	199:4 204:14	316:11,13,21	74:24 75:4	83:10,12 84:1
76:12,15 78:12	204:22 206:15	318:4,22	86:13 87:15	84:13 85:17,24
79:4 81:9	208:15 210:21	319:10,15	89:2 100:13	86:24 251:23
87:16,22 89:12	210:22 211:16	320:2,14 322:9	101:9 104:11	290:25 291:2
90:4,12,21	211:21 212:1,5	322:13,21,25	142:21,23	302:1
91:2,11,14,17	212:8,10,16,22	323:6,13,21	146:23 147:8	sparcs 36:6
91:21 92:1,4,7	213:3,13,16	324:8,12	147:10,25	speak 33:21
92:13,17,20,24	215:9 216:23	325:13,25	157:13 159:12	41:18 46:8
93:2,5,14 94:1	217:19 218:9	326:10,13	190:23 205:4	49:23 98:18
94:8 95:22	218:21 219:18	330:1,17,20,24	210:8 214:4	132:23 176:5
96:23 97:4,17	219:22 220:2	331:2,10,13,16	225:13 231:10	197:9,10 237:7
99:9 101:22	220:11,15,25	331:18,20	244:7 246:24	261:9 275:17
102:22,24	221:5,8,12,15	332:8,15,18,20	253:13 285:23	313:23 315:24
104:2,4 106:23	221:22 223:7	332:25 333:5	300:8 306:22	315:24
107:11,23	224:3,7 229:15	333:12	323:7,9 326:1	speaking 92:20
110:23 111:3	229:18,23	snodgrass 2:9	sort 239:8 250:5	230:4,5 262:2
113:15,21,24	230:4,9,13	snow 4:8,12	288:14	specific 14:3
114:24 115:11	232:14 237:10	societies 11:15	sound 207:19	17:25 18:3
115:14,23,25	238:23 239:1	11:19 102:19	sounds 24:21	23:12,24 37:13
116:2 117:15	239:11 240:14	134:13 139:5	190:7	63:16 100:22
117:20,24	240:20 241:2	161:2 163:3	source 138:6	102:7 109:9
118:8 121:4	242:2 244:3,15	234:23 235:16	226:7 254:17	111:2,15
122:21 125:2	245:15 250:14	235:18	262:4	112:21 113:6
125:24 128:8	251:16,25	society 11:20,24	sources 53:25	127:14 157:6
128:10 130:8	260:21 262:5,6	11:24 12:1,2,3	south 135:12,12	179:12 196:4
130:10 131:19	264:12 265:23	12:4,4,5,7,15	southern 1:1	200:5 201:23
132:4,6 133:7	269:5,7 272:2	12:17,20 163:9	southwestern	208:3 213:2
133:9 134:1,6	273:13 276:20	235:25	250:13	217:23 222:3
139:2 141:9	277:4,18 278:1	soexhibit 293:12	southwesterns	230:18 231:24
143:18,20,22	278:6,9,12,14	solo 203:5,8	250:19	234:20 235:19
144:6,9 145:4	278:18,22	somebody 24:2	space 335:6	236:13 245:14
146:8 151:16	279:1,3,6,10	258:7 309:10	spaces 163:20	246:4 253:16
151:19 154:5	279:19 280:3,9	315:13	164:13	271:13 272:15
155:23 157:17	280:12,15,19	somebodys	sparc 26:19	278:18 279:13

Daniel Steven Elliott, M.D.

Page 381

280:16 286:15	staff 32:19 33:13	199:20 206:8	188:24 191:15	55:19 269:2
305:2,11 306:1	33:14 125:6	215:3 231:20	199:12 200:7	stay 124:9
306:19 307:1	181:9	246:18 247:6	218:11 225:22	176:17 184:4
318:11 326:23	stand 181:12	252:9,10 253:6	230:7 236:25	188:21 203:24
329:9	320:24	254:13 265:7	244:12 258:17	stayed 98:5
specifically	standard 37:8	268:14 272:18	260:2 263:4	309:5
16:25 17:3,3	130:15,22	273:12 278:2	264:13 265:9	stays 183:14
37:7 42:4	140:15,23	300:1 307:1	291:24,25	184:6,10
66:17 97:13	141:3 147:19	322:13 324:5	292:18,19	step 210:17
107:9 111:21	148:14,15,23	335:5	297:12 316:9	246:3 276:25
124:23 128:3	149:4,8,11,16	stated 47:25	316:12 320:24	steps 253:20
128:13,25	149:20,22	55:13 72:6,14	321:3 322:17	254:5 313:13
167:24 176:11	150:2,14,16,22	72:19 73:3	statements	sterilize 195:14
192:23 193:7	162:3 163:1	77:4 80:4	146:10 156:12	steven 1:24 3:1
196:5 198:4	164:7 199:9	86:10,20 95:7	163:9 220:4	5:2 9:2,11,11
208:6 213:25	214:11 218:5	97:18 105:23	226:6 263:18	337:8
220:21 224:25	222:16 235:6	118:5 155:15	264:6 295:1	stevens 2:8
226:25 227:5	236:1	159:17 164:9	329:23 332:13	stiffness 291:6
235:8 236:10	standardized	166:3 173:23	states 1:1 3:10	292:12,13
239:9 247:16	248:20	196:1 198:23	30:23 39:24	293:3
273:10 296:21	standards	203:15 211:1	95:8 111:12	stillonthemar...
300:16 325:6	149:24	228:12 232:22	112:9 124:2	51:14
329:1,20	start 18:14 35:5	252:8 273:7	127:8 137:10	stipulate 110:24
specifics 194:22	169:17 242:7	284:4 292:17	138:7 157:19	stitches 57:9
speculation 52:7	279:14 318:14	293:1 299:8	163:6,16	174:19
237:5 261:23	started 27:6	305:24 321:12	173:11 196:23	stone 129:23
speech 9:15	29:13 30:4,6	324:2	202:9,19 205:6	stop 92:1 123:10
71:23 233:1,4	48:23	statement 6:4,8	206:9 214:15	stopped 27:9
233:7	starting 104:22	6:11,14 36:2	214:22 215:20	29:21
spell 63:9 66:15	147:2,5 284:2	41:18,25 53:16	216:13 219:24	story 129:19
109:7	starts 104:25	56:18 57:17	231:8,21	132:23
spelled 88:18	194:13 253:20	82:25 90:8	232:16 252:13	straightforward
spent 17:8,10,21	state 3:8 9:9	100:9 105:4	255:22 287:12	177:19,25
167:4	30:22 39:23	106:3,4,15	305:23 316:4	strain 162:25
sphincter	56:7,11 67:12	107:3 110:22	334:4	166:21
145:16	69:17,20,24	116:4,17 117:6	statically 262:2	strategies
spinal 120:3,4	78:16 90:11,17	117:11,16,18	stating 111:2	152:17
spinning 72:18	96:12 97:25	121:7 125:10	162:4,24	strength 258:10
splice 86:2	111:7 117:10	127:10 128:19	182:11 183:1	291:5 296:9,13
spoke 35:21,22	117:14,16	134:7,15 135:8	226:11 300:19	stress 5:17,22
35:24	126:14 134:24	135:19 136:19	301:10	6:6,9,12 7:6,11
sponsored 54:20	147:21 155:20	137:11 138:24	statistically	15:10,18 16:4
sprout 1:8	161:6 163:4,9	142:17 160:1	66:11 225:4	16:12 19:6
squared 48:4	173:12,15	161:12 170:5	273:16 317:11	20:7 24:21
237:3	187:14 189:20	170:25 171:14	328:5	27:16 30:18
stab 57:7	190:3 196:8	184:8,20 185:3	status 18:19	32:12 33:3,11

Daniel Steven Elliott, M.D.

Page 382

37:4 42:15,21	276:11,15	136:7 147:17	183:7 184:5	100:1,2,25
43:13 48:2	277:19 281:20	148:4 223:3,15	187:19 188:14	102:14 103:13
51:18 55:3	282:21 283:22	223:16 251:22	189:5 191:19	103:16 107:23
56:9 76:15	284:12 301:23	259:9 267:15	192:22 196:11	113:8 114:14
78:6 89:20	304:3,23 305:7	268:6,15	196:17 197:1,5	115:17,21
96:25 97:5,14	306:6 307:14	271:14,16	197:7,12,15,22	117:2 122:6
97:20 100:6	307:15	272:1 273:13	199:1,25	129:13,15,18
105:24 106:20	stressing 94:18	294:2	201:11 203:25	130:7,8 133:15
106:25 107:10	stretch 292:5	studies 11:3,6	204:2,6,14	133:17 137:13
107:16 109:3	stretched 283:17	40:20 41:4,11	205:20 210:13	137:15 140:2
116:5,14,23	stretching 43:21	41:14,23,23	211:8 220:9	177:6 178:3,4
124:1 125:18	strict 290:20	54:21,22 59:3	222:23 223:9	178:9,18 179:3
134:8 136:3	strike 13:5	60:5,6 61:5,9	225:2 226:25	179:17 194:19
138:16 140:16	26:21 28:9	61:10,20 62:10	238:5,14	195:5 197:14
141:11,19,23	32:1 33:8	62:12 63:12,13	239:23 240:22	199:7,10 201:9
144:14 145:5,6	37:10 44:23	63:15,23 64:8	247:10,10,17	201:25 202:1,1
145:9,14	48:25 53:10	64:12,15,24	248:11 249:2	202:2 206:2,11
146:14 147:20	58:8 66:2	65:22 66:4,14	249:24 254:8	206:14,24
148:15 151:1	70:15 71:9	66:18 68:25	258:24 260:14	209:23 211:1
151:20 152:21	76:2,12 80:17	70:1 71:13	263:2 265:4,5	211:16,22,22
153:10,16,23	102:22 104:2	72:4,8 77:19	265:15,16,20	213:14 216:1,2
154:14 155:14	107:23 113:8	77:20 80:15,18	265:25 266:2,5	216:4,5 220:21
156:7 157:2,5	118:18 128:9	80:21,21 81:20	266:8 275:2	225:8 226:14
157:7 158:11	130:21 132:4	83:6,24 87:23	276:11 277:12	226:15 236:7
159:22 164:19	133:7 141:21	90:10,19 91:1	283:20 284:11	239:10 240:18
166:11 169:7	144:10 149:14	91:5 93:17	284:16 296:8	241:17,18
170:1,6 172:14	151:21 161:5	94:10 95:5	298:6 301:13	243:25 244:16
173:3,8 175:16	168:3 177:1	99:8,10,16,22	301:18 302:21	244:23 246:1,3
175:22 183:24	193:4 197:20	100:11,16,19	305:6,16,21	246:10 247:1
185:5 189:19	203:13 205:9	100:21 101:7,8	328:9,21 329:1	248:9,23
191:13 192:17	205:15 210:21	101:10,16	329:9,19,23	249:19 250:10
200:17 201:1	218:11 231:11	102:1,7,10,10	330:2,8	251:11,18
213:18 214:2,5	238:8 262:5	102:18,21	study 7:19 17:5	252:15,22
214:25 216:7	269:5 275:11	103:2,15	17:15 20:25	257:6,7 259:12
218:4,14 222:3	284:9 310:3	105:15 106:5	21:18,24 22:8	259:17,19
222:22 223:8	316:9	110:19 111:20	22:12,15 37:7	262:8 263:11
223:14,21,25	string 81:10	111:21 112:1	44:21 56:1	263:12 266:14
234:20 235:3	strip 174:20	114:4 115:2	60:11 61:21	268:11,22
235:14 236:4,5	striping 49:13	122:4 124:10	62:8,9 63:8	271:9,15
237:2 238:5,15	50:19	124:24 127:14	67:22,24 68:6	272:17,22,25
240:1,5,10	strips 174:1	132:25 133:10	69:17 70:13	284:25 286:10
241:10,20	strong 300:18	133:22 136:13	74:22 75:9	286:11,16,17
262:20 263:5	strongly 105:10	137:7 144:25	78:1 79:16	287:10 288:17
265:12,25	109:13 159:21	151:14,15	83:17 87:24	288:21 289:24
272:21 273:14	studied 65:16	167:7,9,22	88:11,13,16,17	291:19 292:9
274:2 275:2,14	78:5 87:25	179:23 181:22	91:23 94:12	292:23 293:2,6

Daniel Steven Elliott, M.D.

Page 383

293:13,16,22	suburethral	supervision	255:8,14,23	8:15 15:7
294:8 296:17	7:10 116:12	203:6 334:11	surgeon 26:17	24:10,14,22
297:25 298:10	117:8 118:3	supplemented	28:12 32:18	37:5 45:24
299:10 302:21	126:22 186:2,9	234:10	64:18 82:11	74:13 76:16
303:17,20,21	186:11,14,17	supply 139:21	111:24 112:4	85:3,4,4 97:5
304:2,7,9,21	186:20 187:1,9	support 117:7	122:9 140:24	104:9 106:2
307:24 308:8	187:11 205:2	118:2 124:24	160:2 174:1,8	116:14 121:19
308:12,14	209:17 212:24	135:25 138:21	196:22 201:2,3	121:20,20
312:19,21	213:4 214:11	221:20 229:8	201:5,6,8,14	122:20 124:2
317:8 328:25	success 169:20	229:25 230:20	202:6 203:13	134:25 137:1
329:4,12,16,17	170:16 197:17	292:23	203:14,15,21	139:16,21
329:19 330:11	successful	supported	218:15,16,25	145:11,21
studying 233:25	164:15	109:13	219:1,3 222:15	147:20 149:17
257:10	succumb 65:23	supporting	232:5 233:14	157:24 158:2
stuff 52:14	sufu 6:11 12:17	225:25	248:24 256:16	158:12,14
130:14 221:10	12:24,25 13:3	supports 124:8	276:2 326:22	159:23 176:19
221:13 248:25	13:4,7 14:14	127:10 138:14	surgeons 12:4,5	201:2 202:5
278:20 281:12	15:22 21:23	suppose 24:9	12:10 15:5	214:11 266:1
281:17 321:1	138:23 139:3,8	84:25 119:18	70:2 75:15	290:2 292:24
subclinical	139:13,17	158:3	111:23 112:2,8	309:14
195:24 303:11	142:17,25	supra 187:5,6	112:19 119:8	surgical 6:5,15
subjected 80:10	311:3	suprapubic	142:2 159:18	6:17 7:6 8:7
242:8 243:18	suggest 109:13	26:11,12 29:11	159:24 161:8	15:9,18 16:3
subjective 77:2	suggests 210:20	30:21 31:3,7	170:16 196:12	16:11 20:6
subjects 288:22	sui 8:8 117:9	34:9 37:2	197:4,7,18,23	24:13 27:15
submission	118:4,7 126:23	43:12,18 45:12	198:8,13,19,25	30:18 56:8
262:25	135:14 157:10	308:5 317:2	199:18 200:12	78:7 89:20
submucosa 8:12	157:15,20	325:1	200:14,16,21	116:5 121:24
291:10 293:24	158:21 214:11	supris 25:20,22	200:24 201:15	122:1,13
submucosal	235:8 236:10	26:9,19,22	201:17,19	126:23 127:7
297:5	236:12,15	28:25 29:6	202:3,8,18,24	138:16 140:15
subscribed	264:14 265:19	38:9,14 40:22	203:2 231:8,15	142:1 151:20
337:14	suis 261:15	42:6,17 79:23	231:20 232:7	151:25 153:4
subsection 12:9	suitable 104:7	80:3 84:5,6,7	254:14 261:14	153:15,22
155:3 157:5	105:3,7 142:1	84:12	275:11,12,17	154:13 155:13
subsequent	suite 4:4,9,13	sure 49:16 52:5	294:17 313:20	155:19 157:10
293:6	summarizing	52:6 66:23	326:15	157:16,20
subsequently	57:24	87:19 88:4	surgeries 14:19	158:11 160:7
133:5 177:9	summary 55:7	89:8 144:10	14:20 23:25	172:22 173:7
178:5 267:10	55:12 114:20	168:16 177:5,8	32:11 33:4	175:15,21
295:25	145:22 225:6	177:9,17	108:25 116:23	200:2 201:18
subspecialty	summer 55:20	204:20 229:24	118:21 135:20	212:11 235:18
139:15	superficially	265:24 267:1	183:23 200:17	245:6 254:10
substance 337:5	257:25	surface 242:22	200:21,22	256:14 260:6
substances	superior 127:7	245:23 246:19	312:16 316:3	264:13
294:2	127:12	248:4 252:25	surgery 7:23	surgically 170:6

Daniel Steven Elliott, M.D.

Page 384

surgis 18:14	system 1:4	306:8 307:3	205:1,15	176:18
surprise 80:1	161:25 235:5	317:25	214:12,13	ten 21:4,5
194:23	235:15 249:10	talked 253:18	215:5 216:9,9	tend 171:1,3
surprised	273:8	254:16 260:16	248:1 276:12	217:24
279:15	systematic 61:13	270:14 281:17	taperelated	tends 109:12
surveyed 199:24	142:6 331:22	311:3 327:5	110:6	tensile 237:23
surveys 102:16	331:23	talking 14:4	tapes 108:4	296:9,13
suspect 121:2	systems 145:16	52:6,17 86:5	190:8,10,17,17	tension 49:19
suspension	145:20 146:4	86:11 93:18	taping 7:17	82:12 312:25
172:21 173:9	T	95:16,20,20,22	taught 33:19,20	313:1,8,19
187:6 300:21	t 118:15 336:1	95:24 99:2,4	33:24 170:21	314:13,20
suspensions	table 67:1	99:14 102:8,20	235:21 314:1,3	315:7,17,18,19
169:25 170:12	237:14	105:15 106:19	315:6	tensionfree 7:4
suture 49:15	tables 67:18	124:12 129:6	tcartmell 4:5	81:10,15
50:22 131:11	tablets 123:8	130:6 133:22	teach 112:25	174:16,20
131:17,20,21	tactile 84:23,25	134:23 141:13	176:22 203:2	176:16 185:3
132:9,15,24	take 27:21 28:9	151:9 157:9	teaching 315:4	214:12
133:2,10,13	29:5 61:19,21	168:16 171:7	tearing 35:3	tensioning 50:23
314:25	62:9,13 68:21	172:5 176:25	tears 35:4	292:2 315:2,9
sutures 58:16	87:16 108:21	177:2 182:3	technique	term 23:12
74:1 131:8,15	123:9,11	187:19 189:19	144:18 214:13	65:22 66:4
131:18,23	124:24 139:19	192:25 198:16	254:10 256:15	73:25 90:10
132:7,8,20	139:22 144:19	208:15 214:23	techniques	94:9 95:5 96:9
314:20	183:17 192:10	214:25 215:4	127:7	96:15 99:7
swapped 31:9	207:22 220:3	215:17 227:3,9	technology	100:5 101:7
swedish 88:6	224:3 231:11	227:18 228:7,8	275:1	125:7 127:23
sworn 3:2 9:3	238:22 245:7	230:7 232:10	telephone 102:5	128:11 148:17
334:6 337:14	257:9 275:24	233:10 240:12	tell 9:17 22:22	148:23 149:2
sympathize	276:25 278:5	260:5 267:3	34:17 45:14	162:5 265:16
320:5	280:14 332:7	270:8 274:7,8	92:19 111:22	265:25 266:2,5
symptoms 67:12	taken 90:8	274:20 278:2	111:23 113:14	277:6 291:17
106:1 187:13	109:25 204:10	290:17 302:14	183:11 198:22	294:16
synthetic 7:9	276:3 334:9	316:25 319:9	211:23 212:9	terminology
27:5 30:17	takes 200:23	324:17,21,24	218:13 237:22	28:10 142:3
33:10,16	209:6 256:16	324:25 325:2,5	247:13 255:9	305:2,11 306:1
116:12 117:8	talent 200:23	325:6	257:17 259:14	terms 129:25
118:3 126:21	talk 10:21 102:3	talks 134:16,19	278:12 279:3	terrible 31:13
127:2 158:20	105:15 108:1	172:13 215:10	279:15,17	tertiary 119:20
161:7 174:1,21	111:10 137:5	tape 7:5 8:4	281:8 304:19	199:16
186:9 187:9	146:14 167:4	69:13 78:15	313:5 315:13	test 248:21
188:5 237:1	175:10 186:13	81:11,15 83:9	315:19,20	269:20 275:13
263:4	193:15,15	110:7,8 136:25	telling 192:7	275:15
synthetics	239:7 258:22	161:7,21	251:17 278:24	tested 275:6
184:17,24	259:17 263:1	174:21 179:12	280:24 311:16	testified 9:3
186:21 298:20	288:1 292:10	179:17 190:1	319:19 321:16	14:14 19:10
syst 5:23		190:10,13,25	temporary	testify 334:6

Daniel Steven Elliott, M.D.

Page 385

testifying 212:1 240:7	82:13 83:4,22 84:23 85:2,21	209:19 210:9 210:17 213:6	319:22 320:19 321:7 322:12	226:20 227:11 229:16,18
testimony 13:14 13:20 14:6 16:17 20:13 53:13 70:18 91:7 93:11 138:3 177:15 178:2 180:3 334:12	86:9,19 87:18 88:5,18 90:17 91:11 93:21 94:23 95:1,8 95:25 96:5,12 97:23,24 98:1 98:16 100:8 103:7,20 106:9	214:15,22 215:16,20,25 216:2,10,13 218:19 219:23 220:21 221:25 223:18 225:25 226:5 227:3,21 228:15 229:22 230:15 233:8 233:24 235:15	326:8 329:8 330:7 331:4 332:2 theoretical 119:22 241:13 theoretically 121:15 theorize 39:9 theory 267:7 therapy 157:9 157:20 158:16 thered 174:25 therell 61:8 theres 14:12	230:16 234:12 235:7 241:2,13 248:14,15 249:20 255:6 257:8 258:4 271:23 280:11 283:10,13 295:4 297:6,16 300:17 308:22 313:4,5,6,10 313:13 315:3 315:18 321:15 329:12,23 theyre 22:14
testing 14:20 140:4 306:14	108:8,10,14 110:16,25 111:7 117:10 117:11,14,15 117:19,21,24 119:7,12,17,22	236:1,9,11 237:19 238:2 243:1,1 244:21 245:19,20,20 251:6,19,21,25 252:2,2 253:18 254:12,16 256:2,9 257:22 257:23,24 258:10 260:2,4 261:1 263:17 265:7,21 269:19 270:13 278:6,18,22 279:4,6 280:7 281:10,25 283:4 284:16 285:1 286:19 287:12 288:5,8 288:15,25 291:13 292:8 292:16,16 293:1 294:13 295:11 296:6 297:12,23 299:11,22 300:5,7,18,19 303:13 307:24 309:24 310:10 310:11,15,16 311:2,14,17 312:11,20 313:25 314:3 317:2,5,15	17:13 18:15 20:12 21:7 24:4 26:2 45:11,11 52:22 53:2,19,20,22 53:23 59:8 62:11,16,22 64:1,2 66:14 67:4 69:2,3,4 72:9,19 73:23 74:5,9,13 75:22 76:9 77:13 78:1 79:6,8 82:8,10 83:4,22 85:16 85:20,21 86:1 86:7,23 91:23 98:20,23 99:6 99:7 108:13 112:20 124:7 129:8,9 141:13 153:23 161:25 165:4,4,5,25 181:13,15,15 182:10 192:1 195:17 199:19 202:21,23 203:11 204:11 205:20 212:3,4 215:5 226:17	24:13,15 46:15 50:4 58:15 60:16 61:9 68:22 70:23 78:24 95:15,19 95:20,22,24 102:5 106:18 106:19 109:11 111:21 112:15 113:5 121:2 134:23 136:15 139:4 155:2,6 157:8 159:19 164:20 166:17 186:18 187:3,3 187:7,19 189:19 197:19 199:22 203:8 204:11 226:21 228:17 229:13 229:15 232:12 236:23 246:2 265:20 266:6 268:11 277:13 298:17 301:14 301:16 302:14 302:18 309:10 309:11 330:9 330:10 theyve 12:21
testings 243:8 244:9	117:11,14,15 117:19,21,24 119:7,12,17,22 120:4,4 124:11 124:15 127:8 127:18 131:25 132:22 133:18 136:19 137:10 137:16 145:12 147:4,21 148:23 150:2 150:18 154:11 155:20 156:22 157:3 158:7 162:15,16 164:18 165:8 167:11,14 169:1 170:17 171:7 173:11 173:14 174:6 174:10,11,23 175:7 178:13 181:6 184:1 186:16,16 187:6,14 188:3 188:12,25 189:20,21 190:3,4,16 191:4 193:7 196:23 199:9 202:5,6 203:25 205:6,19 207:17 208:8			
tests 22:19 243:7				
thank 9:20 60:18 242:20 285:25 333:13				
thanks 34:13				
thatd 17:15				
thats 10:8,20 12:12,17,23 14:1 15:23 17:14 18:5,8 18:23 20:16,17 20:21 21:12 22:21 23:8,9 23:14,14 24:2 25:5,25 26:19 26:20 27:17 30:24 32:14 35:9,13 36:2 46:12 47:9 48:18 50:23 52:24 53:2,5 56:11 57:6,17 58:5 59:25 61:15,24 62:10 62:11,12 65:15 66:1,11 67:18 67:24,25 69:1 69:19,24 71:6 71:18 72:19 73:12 74:13 75:5 76:16,20 79:22 81:7				

Daniel Steven Elliott, M.D.

Page 386

145:1 203:9,9 222:13 248:23 263:24 264:7 thing 57:7 84:8 91:8 130:25 132:24 150:3 152:9 161:3 236:1,1,6 240:17 254:19 259:23 296:13 299:22 305:13 323:1 things 10:6 13:13,23,24 14:15 15:14 17:15 31:10 41:20,21 44:12 50:6 53:11 68:23 73:25 81:19 95:21 108:19 148:20 156:17 158:3 180:12 185:11 220:9 222:17 229:19,25 233:1 239:8 257:10 258:4 266:7 290:23 303:12 313:2 332:12 think 15:15 21:25 25:10,16 29:12 30:2 35:17 56:19 57:15,17 63:6 63:7 68:4 71:22 77:16 88:18 100:8 115:23 116:21 119:1 122:22 124:7 127:12 128:8 141:16 143:15,18 146:16 159:14 160:3 168:14 170:25 189:1	192:23 200:20 204:6,20 206:4 208:10 211:11 211:13,16 224:24 226:20 233:11 235:9 235:20 236:6,8 238:19 247:24 251:2 254:15 259:1,18 260:12 261:22 271:17 281:12 286:10,11 298:17 299:13 299:21,24 301:4 307:1 310:10 319:10 319:20 322:5 324:17 thinking 58:15 thinks 71:17 third 134:22 153:3 thirty 335:13 thomas 4:6 thought 46:10 83:1,2 147:9 168:10 228:7 293:3 309:2 thousands 80:15 216:17 222:13 thread 49:14 three 14:2 48:19 66:18 91:13 147:3 185:13 206:18 227:12 252:3,7 257:21 258:20 313:13 314:25 320:7 threehour 113:2 thrown 148:17 149:1 thumb 10:19,22 10:23 115:11 115:12 tie 313:15,17	315:15 tied 193:2 280:25,25 tier 151:12 tighten 292:5 time 8:9 17:5,20 19:3 20:18,18 22:10 27:18,22 29:10,10,13 31:4,5 33:13 37:16,21,23 38:23 39:8,19 41:3,24 42:20 47:24 49:8 50:12 64:21 68:21 69:3 91:10 93:3,4 99:6 119:6 121:8,13 124:3 131:8 145:21 167:4 176:4 179:22 187:12 188:21 191:6 206:1,8,16,20 206:24 208:7 208:20 210:19 212:10 224:2 237:12 238:23 249:21 254:13 255:1,11 262:24 289:16 292:13,20 295:24 296:5 296:12 299:7 308:23 309:8 309:13 312:4,6 317:15,17,20 322:7,8 331:1 332:10,10 timedependent 8:16 times 24:3 91:9 91:13 92:11 135:2 183:5 213:10 217:22 220:18 225:9	226:8 250:9 253:19 260:4 273:7 tiny 50:6 tired 322:4 tissue 23:15 24:1 45:20,24 46:12 46:19 56:15 120:6 129:7,8 162:17 166:6 174:2,3 175:2 175:5 295:16 297:4 325:1 tissues 291:23 292:14 title 62:19 237:22 286:19 today 9:17 10:12 10:15,22 14:5 19:5,15 38:12 38:25 47:17 79:19 114:7 115:6,7 134:13 140:25 155:17 160:3 175:12 196:16 228:16 278:20 281:1 296:6 303:15 310:2,4 318:9 321:14 322:2 332:4 told 30:24 39:22 46:11 92:15 115:9 130:13 131:15 132:3 211:24 262:8 278:16,22 279:5 280:18 282:4,5 315:14 318:1 319:4 320:17 321:10 321:25 322:1 322:14 tolerable 327:12 tolerance 286:7 287:4,11	tolerated 285:10 tom 71:23 91:21 91:24 92:2,4 92:18 113:16 114:24 167:17 211:22 212:2 212:16 221:2,5 321:8 323:7 tomblin 2:16 tomorrow 282:6 tonganoxie 334:18 tonight 279:24 top 36:19 43:5 43:12 52:22 61:12 82:8 119:1 153:7 195:9 247:21 253:15 311:15 313:15 topdown 26:19 29:12,23,24 34:20 42:22 51:17 79:11 108:11,20 302:14 topic 173:2 topics 13:13 toptobottom 26:23 77:2 78:19,23,25 79:21 82:2,21 83:10 84:3 189:16 topup 109:11 tot 214:13 251:23 303:20 total 17:14 18:8 31:21 totality 159:7 183:17 totally 212:6 tough 112:14 touted 185:14 touting 199:8 track 72:16 73:5
--	--	--	---	--

Daniel Steven Elliott, M.D.

Page 387

73:9 145:24	175:11 199:5	96:25 97:19	34:25 82:7	51:10,13,15
182:25	214:13 215:5	106:20 116:5	109:25	53:22 54:3,7
trading 226:23	291:20 308:5	117:9 118:4	trochars 48:11	56:23 57:10,13
traditional 23:8	312:24 313:3	135:14 136:2	57:6 84:11	74:2 77:5,11
134:25 186:11	313:11	138:16 140:15	108:14 276:14	77:20 78:2,4
186:13 187:10	transobturators	141:7 142:4	283:13	78:18 79:1,11
309:2	31:6	144:14 145:14	trouble 319:17	79:12 80:11,16
traditionally	transvaginal	147:18,18	true 66:6 75:14	80:17 81:5,6,7
258:9 327:21	26:12 218:16	148:8,11,20	78:11 110:16	81:13,14,20,22
traffic 20:4	286:4	151:20,25	111:24 149:23	81:24,24 82:1
trained 97:16	transvaginally	152:18,21,24	149:23 163:22	82:20 83:8
161:14,18	241:15	153:7,15,20	164:2 169:18	84:14 85:17
202:5 203:7	travel 16:19	158:21 169:7	181:21 183:18	86:24 96:20,24
training 13:10	17:13,18	170:1,20	196:10,14	97:7,12,19
14:16 15:6,8	treat 32:12	205:17 213:18	200:20 203:21	98:9,24 99:11
82:4 112:22	33:10 42:21	223:8 241:10	214:20 261:4	99:23 100:4,12
trans 37:10	43:13 107:10	263:5 283:23	265:9,19 296:6	100:22 101:1,7
141:21	118:7 145:4,5	301:22 306:5	334:11	101:15 102:10
transabdominal	145:7 155:14	309:9	truly 221:18	103:15 105:6
184:18	170:9 172:13	treatments	trust 208:10	106:23 107:4
transabdomin...	173:3,7 175:16	63:20 151:12	trusting 40:1	107:15 108:12
298:3 299:12	175:22 191:12	235:8	truth 180:14	110:15,20
transcript	210:25 216:7	trial 59:11,14,15	311:16 334:6	112:7 113:12
335:14,16	223:21 236:5	59:16 60:15	truthful 14:8	118:17,25
transcription	237:2 240:10	61:13 105:23	try 9:15,17 56:2	119:4,13 121:5
337:4	247:10 262:20	151:14 215:15	164:13,15	121:25 122:5
translate 195:20	282:20 304:3	218:23	211:23 244:8	122:12,23
195:22	304:23 305:7	trials 38:9,13,22	249:11 252:23	123:13,17,19
translation	305:22 309:10	39:1,7,13	295:10	124:3,16 128:4
162:9	treated 24:18	58:19 59:6	trying 31:2 60:3	130:13 137:14
transmission	224:24 241:20	61:7 64:9 70:3	60:7 128:10	137:17,19,21
295:17	307:25 327:11	70:4,17,19,19	147:8 178:13	138:1,8 140:25
transmitted	treating 96:21	78:6 80:2,10	206:11 211:19	141:25 144:20
45:6	106:25 107:16	80:19 113:10	254:13 269:19	146:21 147:6
transobturator	109:14 170:6	113:11 118:17	270:2 310:17	148:22 149:10
20:14,22 21:1	185:5 218:4	118:23 123:21	tube 317:2	150:17,21
24:20 25:3	235:13 240:1	127:2 150:8	tug 43:20	164:24 165:9
26:14 29:3,11	307:10	265:1 301:21	turn 52:21 142:2	165:18 166:10
29:25 30:7,20	treatment 6:6,18	328:5	160:21 282:17	166:18 167:8
31:4,8 34:11	7:6 15:10,18	trick 211:19	301:5	167:24 168:5
38:5,21 39:18	16:11 19:11	310:17	turned 36:3	174:23 177:20
39:21,24 40:8	20:7 22:16	tricking 211:21	tvm 286:3	177:21 179:10
43:10,16 84:16	24:15 27:10,16	211:23	tv 7:5 17:2,3	180:16,17
85:6 86:12,14	30:18 46:18	tried 202:16	18:1 26:18	184:16 185:4,8
87:2 109:12,16	48:3 56:8	298:8	33:13 43:5	185:10,25
142:15 146:20	59:18 89:20	trochar 34:23	47:7 49:12	186:25 187:22

188:14,18	268:12,15	two 14:2,2 18:7	typographical	120:19
189:8,11,24	269:8,16	19:4 20:18,19	52:25	understand 9:16
190:13 191:11	272:20 273:3,5	28:18 30:12	tzartzeva 247:25	22:14 29:24
191:19 192:3,9	273:23 274:7	31:5 32:16	250:2,17	30:14 48:24
192:16,23	274:17,17,23	42:7,12,17		57:18 59:7
193:4,5,8,18	274:24 276:6	46:5,7,10,20	U	80:20 109:1
193:21,23	276:13,25	48:19 59:17,18	u 1:6	130:12 137:14
194:20,24	277:1 279:12	74:14 87:23	ucla 299:16,20	144:9 232:25
196:3,9 197:5	280:6 281:20	96:2 114:3	300:1,16	234:25 238:12
197:12,22	282:20 283:22	129:21 131:16	ui 169:7 170:1	241:6 265:24
198:16 199:25	283:24 284:4,5	140:10 173:8	ulmsten 112:24	269:20 277:24
200:25 202:5,9	284:12,14	179:10 185:13	178:4 180:10	318:25 320:4
202:19 203:15	288:6 289:7	203:20 226:15	181:4 182:12	understanding
204:15,23	291:4 301:22	252:7 257:21	183:7	13:22 23:11
205:17 213:24	302:1,5,12,15	258:20 268:17	ultimate 241:14	69:25 77:8,10
214:12 215:1,5	302:21 303:1	305:19 313:2,7	ultimately 180:2	77:11 109:3
215:8,13,19,21	303:16,20,21	313:10,16,23	256:17 285:16	149:13,15
215:23 216:3,8	304:2,23,24	314:7,10,25	312:10	164:12 166:17
217:1,6,12	305:6,13,21	315:15 320:4	ultrapro 217:4	288:9 304:14
218:3,23	306:5 315:10	326:17 331:2	275:15 284:21	unequivocally
221:20 222:2	315:17 318:7	twothirds 67:14	284:23 285:15	35:4 199:20
222:21 223:4	318:14 320:16	tyler 2:18	umbrella 12:13	unethical 277:16
223:13,18,20	320:21 323:18	type 25:18 26:6	umhum 121:10	unfair 211:11
224:8,23 225:2	323:19 324:8	31:22,25 41:21	156:11 242:20	unfortunate
225:4,5 226:16	324:14,18	45:10 60:11	306:9	259:20
227:2,13,22	325:10,19	73:25 95:18,21	unable 246:17	unfortunately
228:1 231:21	326:7,25	131:20 150:23	unacceptable	76:20 140:18
235:1 236:18	327:13,16	159:22 161:20	35:6 44:12,25	181:6 261:4
237:8,24 238:6	328:3,6,21	161:23,25	45:1,2 277:17	uniform 165:6
238:10,17	329:12,24	215:13,23	unacceptably	united 1:1 30:23
239:9,20 240:9	331:7	216:9 217:10	217:17	39:24 112:9
240:9,21	tvtaa 78:23,24	217:16 218:3	unaware 50:11	124:2 196:23
241:10,19	79:5,14,18,20	234:10 246:18	80:4 100:25	202:9,19
245:25 246:22	81:7 83:17	314:19 324:23	234:3 248:22	219:24 231:8
247:10,11,17	tvto 304:6,7,11	326:6	276:8,10	231:21 232:16
248:2,7 249:3	304:14,16	typed 10:11	284:16 305:10	316:4
250:1,3,20,22	tvts 81:8,15	types 13:24	uncommon	universities
250:23 251:6,9	106:8 196:22	14:20 15:14	137:2 234:17	201:19
251:11,17,23	201:4 250:25	44:12 60:8	uncommonly	university 64:3
252:3,7,11	308:7	61:23 116:23	317:3	unknown
258:20 260:9	tvtscur 81:7	136:8,10	undergo 24:14	204:18
260:19 261:16	245:8 258:21	166:21 295:5	underlying	unqualified
262:14,17,20	303:20,24	308:3,4 313:11	113:14 246:13	201:3
263:9,20 264:1	304:5,17	typically 159:24	258:14	unquote 82:25
264:3 266:10	twice 13:18 62:4	203:1 236:15	underneath	unreliable
266:15 267:2,4	167:15	300:12	12:12 87:8	173:17

Daniel Steven Elliott, M.D.

Page 389

unsafe 143:12 143:14,24 144:5,15,18	116:15,23 124:1 134:8 136:4 138:16 140:16 141:11 141:20,23 144:15 145:9 145:14,16 146:14 148:15 150:16 151:2 151:25 152:22 153:16 154:6 154:15 155:7 155:14 156:7 157:2 158:11 160:18 170:6 171:20,23 172:5 175:16 175:22 183:24 185:5 189:19 191:13 192:17 213:18 214:2,5 214:25 216:7 218:4,14 222:4 223:21,25 234:20 235:3 235:14 237:2 238:15 240:1,5 240:10 241:20 266:1 273:14 274:2 276:11 277:19 282:21 284:12 307:14 307:15 315:23 316:5,15	urogynecology 5:19 7:3 33:21 34:2 urologic 11:23 12:4,6 urological 6:3 116:3 urologist 140:23 urologists 11:22 116:24 171:1,3 urology 5:11 8:9 8:16 11:25 12:7,11,12,13 12:21 13:11 14:16,17,25 15:12,13 16:6 33:20 62:5 112:10 119:2 152:9 155:4,4 156:15 170:24 176:3 290:8,11 290:19,21 293:14 300:1 300:18 usa 113:3 use 6:4 20:7,15 22:20 23:21 25:21 29:1 30:23 44:25 54:18 80:25 83:11,12 84:22 87:17 109:24 116:4 117:7 118:2 126:21 128:11 130:22 131:17,18 135:25 136:4 138:14 145:13 148:12 155:16 156:12,18,25 161:8,13,13,14 161:17,20 192:17 202:9 216:7 222:3 223:24 225:17 225:23 226:4,8	226:10 230:18 231:15,21 235:2,13 236:3 238:6 239:25 240:19 241:18 245:14 266:10 270:2 288:1 291:17 292:23 294:14 297:4 305:2,8,19 307:9 313:20 314:6,12,19 useful 107:16,20 uses 26:23 174:1 176:3 203:15 225:24 231:18 289:10 usual 258:11 usually 24:3,10 131:14 156:22 176:17 183:14 200:21 291:17 ut 250:12 utah 250:19 utility 107:4,8 utilized 42:22 utilizing 43:4 305:21	vaginal 6:5 7:5 7:16 8:4 37:2 43:24 69:12 78:15 81:10,15 83:9,21,25 84:7 116:4 171:6 206:9,22 208:2,24 209:10,13 213:8 214:12 217:11 225:10 226:9 233:16 234:3,5,7,16 247:2 248:1 249:20,25 267:20 268:4 268:23,25 269:2,11,22 270:1,5,9,12 270:23 308:6 325:3,11,23,24 326:2,3 vaginas 195:13 vague 101:19 102:9 107:7,19 124:19 125:8 125:21 182:2 261:22 267:2 validity 116:20 valley 12:2 value 225:20,22 vanbuskirk 1:21 variable 22:24 48:10 83:5,23 variables 24:5 82:10 83:6 203:11 variant 132:22 variations 8:10 8:16 varies 20:17 306:11 various 60:5 102:2 148:3 185:9 192:22 196:17 197:2
--	---	--	--	--

Daniel Steven Elliott, M.D.

Page 390

227:9 236:7	199:18 200:2	310:17 317:23	176:8,11	319:2,22 322:1
249:10,11	202:20 265:15	317:24 318:24	264:18 266:17	whats 22:12
289:25 290:22	volumes 14:20	319:3,16,23	webb 1:12	31:19 57:8
298:16 306:12	201:22,24	332:8,20,23	webster 309:3,3	128:5 210:2,19
vary 23:7	202:13	333:3	wed 34:8 102:13	229:21,22
vast 59:3	vyp 181:22	wanted 114:21	133:16 202:11	258:16 269:3
vaughn 3:9	182:4,6,9,18	275:12,20	283:25	289:19 306:24
334:3,22	216:24 217:2,7	278:14 305:19	week 122:22	311:4 319:8
verified 78:10	273:20 284:18	312:5 321:24	227:11,12	wheres 173:1
verify 117:5	284:20,22,23	wanting 277:5	245:8,20	212:11
version 7:12	285:3 286:3	wants 220:12	278:16 279:13	whichever
115:3,15	287:11,21	warned 105:12	weekend 279:21	182:17
versus 118:25	288:10 289:6	270:17	318:11	whisner 2:15
122:5,14	289:10	warning 321:1	weekly 328:2	white 173:21
123:19 124:16		warnings 322:7	weeks 74:7,14	whos 182:25
162:1 171:1	W	washington 4:10	121:22 122:19	280:9 286:20
185:25 218:23	wagstaff 3:6 4:3	wasnt 21:25	258:9,11,20,25	wide 236:16
229:21 232:16	wait 39:19	26:1 126:8	293:19 317:4	283:4
293:23 301:19	114:15 182:1	150:21 250:20	324:9,23	widespread
304:5	walberger	318:16 319:25	weight 45:5 48:1	240:19
vicious 254:1	318:10	320:3 328:15	222:16 234:19	width 236:24
vicryl 131:18	wang 192:24	330:21	235:4,11,15,20	282:23 314:9
288:11 289:11	193:6 204:19	waste 312:3	235:22,24	wires 165:5
289:15	204:22 205:7	wasting 93:3	237:2 238:7,16	wish 282:2,3,4
views 218:2	267:17 268:22	water 9:15 32:2	238:19 239:7	withdraw 35:16
virginia 1:1	269:10 272:13	watkins 278:19	239:18,25	172:25 262:18
vivo 247:4	272:15	279:12 280:10	240:8 241:4,9	278:4 316:11
voice 9:13	want 14:18	280:16 318:11	275:5,13 276:4	withdrawn
voiding 78:14	17:14 24:15,18	wavelength	306:16 323:19	305:17
79:10 82:2,9	34:9 35:18	235:10	weights 236:8	withinnamed
82:20 124:14	58:18 60:20	way 23:13 26:20	237:15	334:5
124:20 125:2,7	68:4 87:12,17	45:23 53:8	wellknown	witness 5:2 9:3
125:9,17,20	113:13,15	73:2 75:1 80:6	191:9 300:16	211:12,23
136:24 145:7	115:16 120:7	120:22 132:19	went 73:2	220:16 320:14
187:12 188:20	120:20 129:25	138:4 143:8	212:12 253:4	321:21 322:22
189:25 208:3	130:10,14	175:16,22	312:10 330:15	334:5,7,12,17
208:25 209:11	168:15 180:3	185:11 196:5	330:18	335:1
209:13 317:12	183:16,17	198:11 202:11	west 1:1	witnesses 53:14
volume 70:2	188:16 199:21	234:18 271:4	weve 87:17	woman 56:15
88:20 100:5	208:17 211:11	283:3 301:10	89:13 118:5	73:24 75:23
139:22 160:7	211:14 216:21	322:25 326:7	131:15 132:3	76:10,19 95:18
196:12 197:10	220:7 224:7	ways 168:9	148:9 158:22	103:20 105:11
197:12,14,15	232:25 239:21	wellp 4:5	175:11 178:9	153:7 164:22
197:16,18,19	248:24 256:20	weaknesses 61:8	188:12 196:16	165:22 203:22
197:23 198:13	257:9,18 267:1	web 6:25 171:24	204:12 253:18	210:18 273:1
198:20,24	271:6 302:5	173:17,24	255:13 259:3,3	327:25

womans 75:18	158:4 170:13	written 36:3	204:7 208:5	204:11,15,17
women 5:17	172:10 219:5	125:3 129:22	209:8 211:18	210:2,3,3,9,14
6:24 7:11 8:5	259:23 301:1	wrong 63:7	232:5 233:16	210:17,18,23
41:9 55:4	319:7	91:21 221:7	234:18 236:22	211:2,4 234:10
58:22 71:2	worked 16:21	253:4 296:2	236:23 237:7	240:22,22
76:6 88:11,15	240:8 310:21	315:9 322:19	238:2,13,23	248:3 255:4
96:25 97:6	working 199:16	329:22	243:1 246:12	269:3 300:21
104:7,9 105:3	199:16 267:24	wrote 64:21	249:21 250:2	329:14
105:7,24	works 238:17	125:6,22 191:6	250:12,18	yesterday 10:10
106:25 125:12	280:24 313:24	199:4 222:4	279:1 288:19	youd 48:13
126:23 142:20	world 196:20	233:20 262:13	313:22 314:2	49:18 68:5
143:7 144:20	276:2	263:7 295:20	319:15 320:2	70:12 100:1
152:19,22	worlds 225:20	296:3 312:8	year 20:15 21:10	101:8 120:18
153:8,10,16	worldwide		22:21,21,22	122:12 174:14
154:14 160:18	135:15 140:14	X	23:3,6,22 27:5	184:7 243:10
166:11 167:9	158:19	x 5:1	30:12,15 31:16	260:2 329:18
169:8 172:6	worrisome 79:1	xenograft	37:24 48:20	youre 14:4
175:25 191:13	243:1	296:18	49:6 112:11	18:10 19:1,20
191:19 192:17	worse 79:15	Y	113:6,12	24:22 42:17
193:5 205:22	166:20,23	yeah 13:23 15:1	141:12 178:12	51:12 52:6,10
206:22 207:1,7	168:19 190:20	15:4 20:19	178:14,22,25	52:16 53:18
207:23,25	190:22 210:20	21:9 22:23	178:25 201:9	59:24 60:7
208:22,23,23	228:17 234:9	30:4 34:19	203:22 204:11	61:20 64:18,24
211:4 234:8,14	294:23	46:5 50:21	263:10,15	71:8 73:17
238:15 239:24	worsen 160:14	52:24 53:2	265:2 309:16	82:7 84:19
241:19 243:2	worst 190:21	61:15 63:8	309:17	85:1,13,25
246:15 247:11	worth 330:10	64:13 65:1	yearly 75:13,18	86:3,4 91:21
248:1 257:10	worthless	67:16 68:20	76:5,19 300:5	91:24 92:17,20
257:13 266:8	294:23,24	88:6 97:23,25	years 5:20 18:7	93:3,4 95:4
272:20 275:2	wouldnt 32:21	98:13 104:19	20:18,19,19	98:12 99:4,4,9
276:16 304:23	80:1 120:9	105:19 106:23	28:1,4 30:20	102:8 104:14
305:8,16,21	188:9,10	109:6 113:20	31:19 38:2	116:7,10,21
310:25 327:1	242:16 318:17	125:5,12	48:19 64:6	121:12 124:23
wont 62:12	332:18	126:13 127:18	65:2 66:12,18	129:17 132:18
315:16	wound 57:7	127:24 134:1	70:11 71:1	133:22 134:10
wood 247:21	257:23	134:21 139:4	73:21,25 83:13	141:12 151:9
word 72:20	write 180:13	141:12 142:3	94:18 99:11	152:25 158:2
162:10 201:6	199:1,18	153:12,18	100:11,22	158:10 160:2
207:22 223:12	305:14 323:8	157:1 162:14	104:23,25	160:23 168:20
305:18	writer 173:13	162:14,15	106:2 119:19	171:7,11
worded 239:5	writing 51:21	168:18 171:24	122:10 127:3	172:24 179:4
words 12:22	176:6 214:1	175:8 178:24	128:18 129:14	182:3 184:23
72:18 111:16	217:20 334:10	178:24 185:14	129:21 137:9	185:21 190:23
245:14 270:2	writings 220:4,5	187:3 188:8	140:10 176:4	192:2,8 194:8
305:19	220:6 222:2	190:19 202:1	178:5 179:5,5	194:15 196:18
work 16:15 18:1	263:23		185:13 188:3	200:11 211:18

Daniel Steven Elliott, M.D.

Page 392

212:1,5,16	45:5 48:14,15	277:20 282:24	66:12 129:15	312:9
220:20 226:2	64:5 68:4	283:4 301:7	130:17,19,25	1400 4:13
226:23 229:20	132:21 215:12	302:3,4 303:1	134:3 151:18	146 6:14
230:4,4,7	317:7,7 329:13	10 6:11 23:3,21	151:19,24	14cv09195 2:11
237:17,20	zimmern 250:10	28:1,4 31:21	156:8 188:3	14cv09517 2:12
238:3 240:7	250:18,19	34:21 64:6	204:4 265:15	14cv10640 2:13
243:10 244:2	260:15	65:2 66:18	293:19 307:5	14cv12756 2:14
244:13,19	zumbro 12:2	70:11 72:21,24	320:11	14cv13023 2:15
246:14,21	<hr/>	73:21 83:13	1200 27:4 61:7	14cv14664 2:16
247:12 249:2	0	85:17,24 86:24	127 67:16	14cv16061 2:17
249:14 260:7	0 207:15,20	100:11,22	12cv02952 1:7	14cv19110 2:18
261:24 264:15	213:10 217:12	121:20 122:10	12cv07924 1:8	14cv22079 2:19
270:4 276:2	271:2,3	123:8 127:3	12cv09765 1:9	14cv24911 2:20
278:1,2,24,24	00 279:25 280:1	139:1 161:6	12md0237 1:4	14cv24999 2:21
280:25 284:18	000 69:14 136:1	165:7 183:5	13 6:19 30:19	14cv28620 2:22
285:15 286:11	136:14 162:24	199:2,5 210:2	65:19 67:17	14cv29624 2:23
286:12 290:16	180:10,15	240:22 285:5	154:4 157:8,19	14year 66:16
295:23 297:9	181:3 303:1	291:20 292:1	247:14 253:15	15 6:22 30:20
311:16 312:3,3	01 134:4	321:7 329:14	282:8 317:21	62:4 65:2
313:18 316:24	05 224:5	100 7:25 27:4	330:18,23	112:7 119:9
316:25 317:22	07 224:6	30:14 44:5	1300 81:6,22	123:8 128:18
318:23 322:19	0895 334:23	48:19 49:5	134 6:8	160:15 198:14
332:24	<hr/>	64:4 165:18	139 6:11	199:17 200:10
youve 13:14	1	213:7 220:18	13cv02565 1:10	201:8 210:14
24:17 26:25	1 5:8 9:1,21	242:7,10	13cv02919 1:11	247:21 250:3
43:10,11 48:8	36:14 58:3	268:18,20	13cv04517 1:12	255:4 269:3
57:24 71:3	59:16 60:12	269:8,13,14,16	13cv04730 1:13	313:15 314:14
74:12 91:13	69:14,19,22	1015 5:20	13cv07283 1:14	315:15
97:17 127:9,22	74:21 84:2,2,8	1020 4:13	13cv10150 1:15	150 27:5 30:15
130:13 138:23	84:8,21 112:10	105 237:8	13cv11022 1:16	48:19 49:5
141:9 148:24	124:23 134:4	1052 3:9 334:22	13cv14355 1:17	114:4
149:3,7,10	139:11 161:6	10year 65:8	13cv14718 1:18	151 6:17
160:6 169:11	161:20,23,25	100:15,17	13cv14799 1:19	154 6:19
183:25 197:1	162:24 171:15	103:8 329:12	13cv16183 1:21	155 6:21
199:2 203:15	171:16 191:1	11 5:10,11 6:14	13cv16564 2:2	156 65:7
211:12 223:19	199:22 206:12	52:19 53:7	13cv17012 2:3	16 6:25 94:16
224:10 266:20	206:13 207:24	87:20,21 146:7	13cv18479 2:4	171:17,19
281:22 299:8	208:8,11	146:9 179:5	13cv22606 2:5	253:15
316:21 317:8	209:21 210:24	247:24	13cv24393 2:6	160 6:22
318:18 321:24	213:9 215:13	110 17:16 31:16	13cv29260 2:7	17 7:3 67:10,13
328:9 331:3,20	215:23 216:9	31:23	13cv29918 2:8	67:16 137:9
332:11,12	236:16,17,17	1100 4:5	13cv31818 2:9	178:5,7,12,14
yshaped 275:23	236:19,19	113 58:22	13cv31881 2:9	179:5 192:11
<hr/>	241:11,11	1156 65:12	13cv32627 2:10	211:14 212:4
Z	275:6,6 276:4	116 6:3	14 6:21 30:19	212:14,14
zero 28:21 37:24	276:4 277:20	12 6:17 58:22	155:22 311:11	213:7 267:19

Daniel Steven Elliott, M.D.

Page 393

268:3 284:2 285:9 307:19 171 6:25 160:17 178 7:3 17year 137:13 137:16 177:2 180:9 18 7:8 94:17 167:6 185:16 306:15 185 7:8 1885 4:10 18th 172:1 19 7:13 204:21 260:18 261:15 262:1 19034 4:10 1972 146:6 1993 88:20 1st 17:11	210:3 213:15 222:8,25 269:3 282:17 316:23 337:15 200 77:16 2000 28:15 178:15 2000s 327:23 2001 178:16 2002 37:17 2003 30:10 32:7 37:17 2004 27:6,11,22 30:9 204:24 288:23 290:9 293:2 2005 27:22 2006 293:13 2007 237:21,25 301:15 2008 216:12 237:25 298:4 2009 141:13,14 2010 216:10 241:23 2011 185:19 2012 141:14 152:12 153:19 331:21 2013 16:13 20:12 25:16 26:1,2 27:3,12 27:12 31:19 42:25 43:2 116:6 126:3,4 126:11 140:1 155:24 160:18 263:3 264:14 264:21 2014 43:2 2015 1:23 3:4 5:23 17:8 21:23 55:17 56:5 77:15 154:6 155:8 172:2 247:22	301:9 334:18 204 7:13 21 7:21 65:9,12 65:18 66:7 69:14 176:4 242:1,3 285:1 302:8,25 303:4 306:7 213 7:20 22 8:3 67:4 87:20 250:7 306:14 23 8:6 264:11,12 2327 1:5 24 8:9 130:19 131:1 132:17 133:14 160:21 192:24 193:6 289:23,24 242 7:21 24hour 22:19 25 8:16 11:21 113:5,12 278:7 293:11,12 307:19 250 8:3 26 1:23 264 8:6 266 243:15,16 243:17,22 244:4,5 267 4:10 244:4 269 246:25 26th 3:4 27 264:21 28 192:12 289 8:9 29 65:7,13,15 67:12 293 8:16 298 88:20 29th 334:18	67:22 68:2,10 68:12 72:25 74:21 80:23 146:16 161:6 191:1 206:12 206:13,16 217:10,16 224:5,6 238:24 238:25 328:16 328:22 30 23:1,4,6 71:1 75:12 134:3 188:3 210:18 269:3 284:6 319:4 335:13 300 4:4 30:15 301 88:21 31 70:3,17 238:24 31st 17:8 32 54:10,12 238:25 311:10 323 5:4 326 5:3 33 320:12 35 242:25 243:2 39157 4:14 3s 274:22	42 278:8 320:13 45 171:15 256:6 256:12,21,22 257:1 259:4,7 4740 3:6 4:3 48 281:23 49 232:9
<hr/> 2 <hr/> 2 1:4,7,8,9,10,11 1:12,13,14,15 1:16,17,18,19 1:21 2:2,3,4,5 2:6,7,8,9,9,10 2:11,12,13,14 2:15,16,17,18 2:19,20,21,22 2:23 5:10 11:2 11:7 30:15 57:19,25 60:14 60:15 69:19,22 74:21 80:23 110:8 112:10 136:1,14 173:3 176:4 192:11 192:12 199:22 205:24 206:10 208:19,21 210:6 267:18 267:22,23,25 268:2 270:4,12 301:7 302:3,4 20 7:20 21:7				<hr/> 5 <hr/> 5 3:5 5:18 10:9 11:9 36:14 37:1 60:18,19 67:1 75:3 84:2 84:8 85:16 86:23 94:17 127:3 146:13 163:20 164:14 178:22,25,25 179:5 184:2 207:25 208:1 208:11 210:2 210:17,23 211:4 213:10 236:17 240:22 306:17 320:12 320:13 333:15 50 71:1 75:12 171:16 307:3 310:3 500 4:9 109:21 139:8 51 232:9 510k 262:17,19 262:25 264:2 266:15 513 4:10 54 3:5 5:15 333:15 55 255:16,19 256:14 56 178:19 271:2 271:3 57 207:15,20 5711 4:14 58 67:16 5th 281:1 319:11
		<hr/> 3 <hr/> 3 5:11 11:8,13 11:21 19:17	<hr/> 4 <hr/> 4 5:15 54:25 55:2 57:19,25 66:12 77:15 205:24 206:10 207:16,23,23 208:1,5 267:18 267:22,23,25 268:2 270:4,12 271:2 278:7,8 300:4 328:13 328:15,18 40 71:1 75:12 400 4:9 109:21 180:10,15 181:3 41 3:5 87:21	

Daniel Steven Elliott, M.D.

Page 394

5 year 179:3	7 centimeter 184:3	98 74:14 258:10		
6	7 hour 280:14 321:15	99 28:15 211:4		
6 5:19 66:25	7 nanometer 252:24			
94:16 179:10	8			
184:3 206:21	8 6:3 65:8,16			
268:21 269:9	66:8 87:25			
269:21 279:25	103:8 116:1			
280:1 330:20	204:11 306:17			
60 5:18 17:8,9	80 20:16,19			
21:10,17 22:2	105:24 137:6			
71:1 237:3	163:17 243:2			
268:1,22	800 145:16			
601 4:14	81 58:19 61:7			
620 288:20,23	113:9,11 114:4			
64112 4:4	262:3,11			
66 5:19	816 4:5			
68 210:6	82 242:13			
69 210:7	84 242:8,14,15			
7	242:25			
7 5:23 89:11,12	85 309:4			
179:5 204:11	86 311:20			
206:2 209:15	312:12			
212:3,23	89 5:23			
278:25 317:21	9			
319:22 321:5	9 3:5 5:3,8 6:8			
321:14 322:15	31:16 52:21			
330:15,17,18	67:22 68:2,10			
330:22 332:24	68:12 72:25			
70 48:4 237:8	88:7 94:17			
700 16:16,18	134:5 208:19			
17:17 139:11	209:8 217:11			
205:22 207:16	281:6,10			
207:24 208:5	307:21 319:21			
209:9 267:19	322:1 328:16			
271:2	328:22			
700s 208:11	90s 150:1			
701 4:5	93 311:10			
72 88:20	948 4:14			
75 162:13,18,20	97 54:13 206:21			
163:7,13	268:21 269:9			
164:17,25	269:21			
165:7				
799 page 332:3				
333:10				